

COMMISSION OF INQUIRY  
INTO FORENSIC DNA TESTING IN QUEENSLAND

Brisbane Magistrates Court  
Level 8/363 George Street, Brisbane

On Friday, 14 October 2022 at 9.30am

Before: The Hon Walter Sofronoff KC, Commissioner

Counsel Assisting: Mr Michael Hodge KC  
Ms Laura Reece  
Mr Joshua Jones  
Ms Susan Hedge

1 <KYLIE DALE RIKA, on former oath: [9.34am]

2

3 THE COMMISSIONER: Mr Diehm, I think I interrupted you  
4 before you finished tendering.

5

6 MR DIEHM: Yes, the email chain that I was going to  
7 tender, that's quite so.

8

9 THE COMMISSIONER: Yes. You had tendered the draft  
10 report.

11

12 MR DIEHM: Yes, it was a 2022 email chain that I had just  
13 been asking the witness about. I'm just trying to lay my  
14 hands on those documents at the moment. It is the document  
15 in the Commission's website, or the portal,  
16 [WIT.0014.0147.0001].

17

18 THE COMMISSIONER: What is it?

19

20 MR DIEHM: It is a chain of emails.

21

22 THE COMMISSIONER: The date at the top of the facing page?

23

24 MR DIEHM: 23 July 2020, I should have said, not 2022.  
25 23 July 2020 is the last email in the chain.

26

27 THE COMMISSIONER: Thanks. That's exhibit 87.

28

29 **EXHIBIT #87 EMAIL CHAIN, THE LAST EMAIL OF WHICH IS DATED**  
30 **23 JULY 2020, BARCODED [WIT.0014.0147.0001]**

31

32 THE COMMISSIONER: Have you finished?

33

34 MR DIEHM: I have.

35

36 THE COMMISSIONER: It was you, Mr Hickey.

37

38 <EXAMINATION BY MR HICKEY:

39

40 MR HICKEY: Q. Could we start, please, Mr Operator, with  
41 document [WIT.0011.0024.0001]. It is exhibit IM-14 to the  
42 statement of Dr Moeller, which we were looking at  
43 yesterday. Thank you. Could we scroll, please, to page 2  
44 of this document and could we scroll in, please, to the  
45 header of the email immediately below that signature panel  
46 in the middle of the page.

47

1           Just to orientate you, Ms Rika, this is an email that  
2 you sent on 17 December 2020 to Ms Allen. Now, if we can  
3 scroll down, please, Mr Operator, to the text of the  
4 message itself, you can see here that you have written to  
5 Ms Allen in respect of "John's email" about some FSS survey  
6 results. I understand that to be Mr Doherty?

7 A. That's right, yes.

8

9 Q. I should say, perhaps just to short-circuit how many  
10 questions I need to ask you, do you happen to have seen  
11 this email recently or --

12 A. No, I haven't.

13

14 Q. I will orient you before I ask you the things I'm  
15 interested in. Perhaps the quickest thing is for me to ask  
16 you just to read that email to yourself to familiarise  
17 yourself with it?

18 A. Yes.

19

20 Q. This email, as I apprehend it, was sent in the context  
21 of the process of feedback being undertaken during the  
22 investigations by Workplace Edge; is that right?

23 A. No, this was in response to the annual Working for  
24 Queensland staff survey.

25

26 Q. In particular, I'm interested in the fact that in the  
27 second-last paragraph you say that you:

28

29           *... note there was concern from staff*  
30           *(based on the survey) --*

31

32 and that's no doubt the survey to which you have just  
33 referred --

34

35           *about "my manager" and "my senior manager"*  
36           *and so staff may not feel safe to express*  
37           *their ideas on actions relating to these*  
38           *areas in front of their manager ...*

39

40 So that's the first point that you take up with her?

41 A. Yes.

42

43 Q. You recall that correspondence?

44 A. Yes.

45

46 Q. Then if we can scroll, please, Mr Operator, back up to  
47 the first page, and at the bottom of the first page we see

1 Ms Allen's response to you. We can't see when and at what  
2 time she sent that, but can I suggest to you it was shortly  
3 after you sent your email to her?

4 A. Probably.

5

6 Q. And she says some things in response to the issues  
7 that you had raised in your earlier email. In particular,  
8 she thanks you for your email and says that from her  
9 perspective she doesn't:

10

11 *... think that Forensic DNA Analysis is*  
12 *mature enough in our journey to undertake*  
13 *the exercise that you've proposed.*

14

15 She's obviously, don't you agree, including herself among  
16 "Forensic DNA Analysis", when she describes "our journey"?

17 A. Yes, I would say so.

18

19 Q. And what she's really getting at, wasn't she, is that  
20 there were significant cultural issues that had been  
21 identified that were attempting to be addressed through  
22 various means at that time and that her response to you was  
23 she didn't really think it would be helpful to address them  
24 in the way that you were suggesting at that time?

25 A. Yes, that seems to be what she was indicating.

26

27 Q. Then she says in that same paragraph:

28

29 *As Tess is working with us on a number of*  
30 *things, and one of those being interactions*  
31 *with each other and acceptable behaviours,*  
32 *perhaps in time, we will move to a place*  
33 *where behaviours improve, feedback is given*  
34 *and responded to positively, which will*  
35 *influence the scores.*

36

37 Can I just ask you, you were aware of the Tess to whom she  
38 referred?

39 A. Yes.

40

41 Q. Who was that?

42 A. Her name was Tess Brook from 1st Call Consulting, who  
43 was a cultural and communication - sorry, cultural change  
44 and communications consultant that was brought in to our  
45 lab to help us work through the significant cultural and  
46 communication issues.

47

1 Q. Thank you. I think I had in mind 1st Call when I said  
2 "Workplace Edge" a few moments ago.

3 A. Oh, right.  
4

5 Q. No, no, that's my mistake, not yours. You were aware  
6 of the fact that she was doing that work at that time?

7 A. Yes.  
8

9 Q. You were aware that one of the things she was working  
10 on was interactions with each other and acceptable  
11 behaviours?

12 A. Yes.  
13

14 Q. And it was the case, wasn't it, that that work was  
15 directed both at the staff in the laboratory?

16 A. Yes.  
17

18 Q. But also at the management team, if I can put it that  
19 way?

20 A. Yes.  
21

22 Q. It was concerned with the flow of communication  
23 between both directions?

24 A. Yes. I think Tess did try to - initially, Tess did  
25 come in to try to do some work on our whole forensic DNA  
26 Analysis Unit, including staff and managers. But as things  
27 moved forward, I got the impression that it kind of moved  
28 from all of us needing to work on this to a point where  
29 I felt that it became a thing where the problem really was  
30 with reporting staff. We - I felt that we were the ones -  
31 that indications from what Tess was doing, and moving  
32 forward later on down the piece, seemed to be that she had  
33 been influenced - this is my perception, that she had been  
34 influenced that, you know, management's fine; it's the  
35 reporting staff that we need to focus on.  
36

37 Q. There is a lot to unpack in that. When you said your  
38 perception was that that had occurred, and you used the  
39 words "later on in time", just so I can identify where I am  
40 in the chronology, at the time this correspondence was  
41 going backwards and forwards between you and Ms Allen, had  
42 you already developed that perception or did it come later?

43 A. Oh, I don't recall when I - I don't recall, sorry,  
44 with that.  
45

46 Q. That's all right. But, in any event, what Ms Allen  
47 says to you here in the first paragraph, in the second-last

1 line, is - she says:

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

26

27

28

29

30

31

32

33

34

35

36

37

38

39

40

41

42

43

44

45

46

47

*I also think that an important piece of work hasn't been completed yet, which is the Values and Behaviours piece that Paula is undertaking.*

A. Yes.

Q. You were aware that that was still being undertaken?

A. Yes.

Q. And you would agree with Ms Allen's suggestion that it was an important piece of work that hadn't been completed yet?

A. Yes.

Q. So, in all, can I suggest to you, what Ms Allen was saying there was, "Look, I recognise the things that you say need to be addressed" - the management, the senior management issues that you had identified in your first email?

A. Yes.

Q. "But there is this other work that needs to be done before it can be addressed in the way that you are proposing"; would you agree that that's a reasonable summary of the exchange to that point?

A. Yes, that is fair.

Q. Despite that, she then goes on to say in the next paragraph:

*I think that there are a number of other areas that staff could suggest action items that they could do to improve the workplace, other than around Managers.*

Now, at the time she sent this, you understood, didn't you, that she wasn't saying, "We're not going to work on managers"; instead, she was saying, "There is this other work that is ongoing. Until that is completed, why don't you do suggestions around these other areas, because that will be a more positive approach"?

A. That's one way to look at it. For me, the context that I had, based on my history with multiple Working for Queensland surveys, was that I - for every single Working

1 for Queensland survey that came through, I had staff  
2 members come to me and say, you know, they felt that there  
3 "continued to be issues with my manager or my senior  
4 manager, and why is it that that can't be a priority for  
5 action plan around what are the problems with those  
6 managers?"

7

8 Q. Could I suggest to you that what is the problem with  
9 that was what Ms Allen was explaining in her first  
10 paragraph?

11 A. That - so this was for this particular Working for  
12 Queensland survey. So at that time, we did have 1st Call  
13 Consulting, so I understand that. But, for me, reading  
14 this email in the context of remembering all the other  
15 times that we've tried to get - my staff have raised with  
16 me and then I've taken it up, trying to get management team  
17 members to acknowledge and be accountable for their own  
18 part in the negative Working for Queensland survey results,  
19 to me, in that context, this was another example of, "Well,  
20 we don't need to worry about managers."

21

22 Q. All right. But you accept, don't you, that she wasn't  
23 saying, "You don't need to worry about managers"? She was  
24 saying, "That's going to be addressed in a different  
25 forum"; that's the effect of what she was saying to you?

26 A. Potentially for this particular one.

27

28 Q. Well, this is the one we are talking about.

29 A. Yes, so that's fine, yes. But there is that  
30 background context that I just wanted to explain.

31

32 Q. I understand. You agree with me, don't you, that this  
33 was correspondence that was taking place between Ms Allen  
34 in her role as the managing scientist --

35 A. Yes.

36

37 Q. -- and you as a member of the management team?

38 A. Yes.

39

40 Q. You would agree with me that there are times when it's  
41 appropriate and, indeed, necessary for members of  
42 a management team to be able to speak to each other frankly  
43 and candidly?

44 A. Yes.

45

46 Q. And that there are times when it's inappropriate for  
47 one member of the management team to reveal to people who

1 aren't members of the management team things that might  
2 have been said by another member of the management team?  
3 A. There are occasions for that, yes.

4  
5 Q. You would agree with me that ordinarily, in your  
6 experience as a member of the management team,  
7 conversations about administrative and management issues  
8 such as this are things which, at least at first blush,  
9 would be expected to be kept confidential between the  
10 members of the management team?

11 A. I don't consider this email exchange to be one of  
12 those examples.

13  
14 Q. Can I suggest to you that in your email to Ms Allen,  
15 the first one we looked at on the second page, there wasn't  
16 anything in your email to Ms Allen which would have  
17 reasonably suggested to her that you intended to share  
18 whatever her response to you was with the rest of the team?

19 A. No, there wasn't.

20  
21 Q. Can I suggest to you that given what you have written  
22 in that email, there was no reason for Ms Allen to think  
23 that whatever she said to you by way of response would be  
24 shared with the rest of the laboratory staff?

25 A. I don't know what Cathie may have assumed about the  
26 way that I would handle the situation. But, for me, this  
27 particular scenario was one that I felt needed full  
28 transparency with staff, because that was actually one of  
29 the main issues that came from that particular Working for  
30 Queensland survey, it was that staff needed more  
31 transparency around decision-making and other cultural  
32 issues.

33  
34 Q. Do you agree that transparency is a two-way street?

35 A. Yes.

36  
37 Q. As you have said, it was important to you that there  
38 be transparency around decision-making for the staff, but  
39 similarly Ms Allen was entitled to expect transparency from  
40 you, wasn't she?

41 A. In what way?

42  
43 Q. In your dealings with her in respect of this  
44 particular issue.

45 A. Well, I think I was being transparent about how,  
46 basically, I had sent her a message saying, you know, "This  
47 is what my staff and myself are thinking about responses to



1 and actions for the Working for Queensland survey", so  
2 I think I was transparent about my - what I was trying to  
3 achieve.

4

5 Q. You were transparent with Cathie, you suggest?

6 A. Yes, because I emailed her.

7

8 Q. Could we scroll then, please, to the top of the first  
9 page, Mr Operator. If we can just scroll down a little,  
10 what we see here is an email that you then send on  
11 18 December, which is the next day, so shortly after  
12 Ms Allen's response to you --

13 A. Mmm.

14

15 Q. -- and we can see that you have forwarded her email to  
16 you to the members of RT2; that's right, isn't it?

17 A. That's right, yes.

18

19 Q. You say:

20

21 *Please see below thread for context around*  
22 *an appointment I will send where we can*  
23 *discuss the WfQ staff survey*  
24 *results ... please have a look at the*  
25 *results I sent you the other day ...*  
26 *Thanks*  
27 *Kylie.*

28

29 Then by way of postscript, you say:

30

31 *... please keep the contents of the email*  
32 *string below within RT2.*

33

34 A. Yes.

35

36 Q. Significantly, having regard to what you have said  
37 about transparency, you don't include Ms Allen in that  
38 email?

39 A. No, because this was an email to my team about the  
40 greater context of where we are at so far with trying to  
41 sort this situation out, so I didn't feel it was necessary  
42 to include Cathie on that, and I wanted to keep the  
43 contents of this whole email string to my team because I do  
44 understand that when we're talking about Working for  
45 Queensland survey results and cultural issues about "my  
46 manager" and "my senior manager" and things like that,  
47 that's contentious, so I didn't want my staff to be sharing

1 that with everybody else, because that - that's - that  
2 could cause issues, because, you know, like, we were asked,  
3 each individual team was asked, to come up with action  
4 plans. So I was dealing with that for my particular team.  
5

6 Q. Can I suggest to you that you knew at the time that  
7 you sent this email that Ms Allen had never intended that  
8 her remarks to you would be broadly distributed amongst the  
9 RT2 team?

10 A. I don't know what she might have - I mean, I can't  
11 really comment on what she - what her intentions were.  
12 I mean, I - like, right now, I'm happy - like, I don't  
13 see - I don't see that there's an issue. Like, if I've  
14 accidentally left Cathie off or anybody else off that  
15 should have known about it, that's fine, I'm happy for them  
16 to have that.  
17

18 Q. Isn't it the case, though, that you were actively  
19 cultivating the impression among the staff of RT2 that  
20 Cathie Allen, as the senior manager, was not really  
21 interested in receiving feedback from them about her or  
22 other members of the senior management team?

23 A. I don't think I was cultivating - I don't think I was  
24 cultivating a negative view of Cathie as being senior  
25 manager. What I was doing was looking at the Working for  
26 Queensland survey results, listening to my staff about what  
27 they wanted to see as actions, and trying to manage that  
28 situation as best as I could.  
29

30 Q. You knew, didn't you, when you emailed Ms Allen  
31 initially in this thread that the staff that were  
32 answerable to you had some discontent about whether or not  
33 their feedback about senior management would be received?

34 A. Oh, yes, yes.  
35

36 Q. And that was part of the reason you wrote to Ms Allen?

37 A. Yes.  
38

39 Q. You accepted a minute ago that what Ms Allen said to  
40 you by way of response was, "I acknowledge that's  
41 a concern, and there will be an avenue for that to be dealt  
42 with" --

43 A. Yes.  
44

45 Q. -- "in particular in respect of this Working for  
46 Queensland survey"?

47 A. Yes.

1  
2 Q. So it would have been open to you, wouldn't it, for  
3 you to simply have written to your staff and to have said  
4 something to explain to them that the Working for  
5 Queensland staff survey results had been assembled and that  
6 you were going to have a meeting with them and that you  
7 wanted them to look at the results that you had sent them  
8 the other day to think about one or two actions --

9  
10 THE COMMISSIONER: I'm very sorry to interrupt you,  
11 Mr Hickey. Could you just give me a moment?

12  
13 MR HICKEY: Of course.

14  
15 THE COMMISSIONER: I just need to make a non-publication  
16 order, Mr Hickey. I'm sorry to interrupt your question.

17  
18 MR HICKEY: Certainly. Shall I sit down?

19  
20 THE COMMISSIONER: Not yet. I direct that all copies and  
21 versions of exhibit 80, document [WIT.0002.0096.0001], and  
22 subsequent pages to page 0038 that were downloaded or  
23 published before 12pm on 14 October be deleted by those who  
24 have them and not published and that any information or  
25 documents from these versions must not be discussed,  
26 published or made publicly accessible.

27  
28 Yes, Mr Hickey, you can continue. Why don't you start  
29 your question again.

30  
31 MR HICKEY: Thank you, Commissioner.

32  
33 Q. If we have regard to the body of the email that you  
34 sent to your team at 8.05 on that Friday morning, it would  
35 have been possible, wouldn't it, for you to have conveyed  
36 everything that's in that email without going to the next  
37 step of sharing with them private communications that had  
38 been had between you and Ms Allen?

39 A. This is where perhaps myself and Cathie have different  
40 thoughts around what really needs to stay private. Like  
41 I said earlier in this piece of evidence, I don't see any  
42 privacy matters around this email string. This is all  
43 about finding ways to address the staff concerns, actions  
44 that we might put forward, because we were asked to do  
45 that, but also I did, like I said, mentioned earlier -  
46 I did say, "Keep this within RT2", because, you know, this  
47 was our team's task that we needed to do. Like I said

1 before, each team was asked to do this. So, you know,  
2 another team might have a different approach or different  
3 feedback. And so Cathie, who oversees all of the  
4 individual teams - I can't see why there would be a reason  
5 for her viewpoints about how to manage Working for  
6 Queensland survey results to be a secret.

7

8 Q. Do you accept that against the background of staff  
9 discontent about their feedback about senior management  
10 being as it was - let me try it again. In circumstances  
11 where the staff were unhappy about the way their feedback  
12 about the management was received --

13 A. Yes.

14

15 Q. -- do you agree that sending them Ms Allen's email  
16 might have caused those people who held those views to feel  
17 as though she was being dismissive of their feedback around  
18 senior management?

19 A. It's possible that they may have felt that way, but  
20 all of these people in my - in that team at that time are  
21 highly intelligent, competent, capable staff members who  
22 can read an email thread and take from it what they need in  
23 order for us to do the tasks that we were asked to do. So  
24 I don't - I'm not quite sure that - I don't have power to  
25 manipulate their minds on what their own thoughts are,  
26 because they are highly intelligent, capable people.

27

28 Q. I'm not suggesting you have the power to do that, but  
29 you know, don't you, because they are all very well known  
30 to you, that notwithstanding their intelligence, they are  
31 human beings with emotions?

32 A. Yes.

33

34 Q. Indeed, in December 2020, emotions were high generally  
35 within the lab?

36 A. Yes.

37

38 Q. About all sorts of issues?

39 A. Yes.

40

41 Q. You knew, didn't you, that part of your job as  
42 a manager was to manage the team?

43 A. Yes.

44

45 Q. And that part of that job meant that you personally  
46 were responsible, within the scope of your particular role,  
47 to ensure that the broader team remained as harmonious as

1 possible?

2 A. Yes.

3

4 Q. And it was part of your role, wasn't it, to act as  
5 a conduit between members of the senior management of the  
6 lab and the workers at the lab level?

7 A. Yes.

8

9 Q. That is to say, for instance, somebody like  
10 Dr Moeller's evidence yesterday was that she personally had  
11 very little to do with Cathie Allen?

12 A. Yes.

13

14 Q. And that would be true of many of the people within  
15 RT2?

16 A. Probably, yes.

17

18 Q. And so those people didn't have the benefit of the  
19 kind of proximity to Cathie Allen in terms of regular  
20 communication that you had by dint of your role?

21 A. I - that is true, although in saying that, I don't  
22 have as much communication or interaction with Cathie as,  
23 say, my supervisors, Justin and Paula.

24

25 Q. I understand that, but nevertheless you have far  
26 greater degrees of communication with Ms Allen than the  
27 likes of Dr Moeller and others within RT2?

28 A. Yes.

29

30 Q. And so you have the benefit, can I suggest to you, of  
31 a far greater degree of knowledge and information, through  
32 your dealings with Ms Allen, in order to properly give  
33 context to her remarks than does, say, somebody like  
34 Dr Moeller?

35 A. I don't know about that, but what I do know is that  
36 over the years I have definitely tried to be, like you  
37 suggested, a conduit, you know, and I have, over the years,  
38 protected my staff, and the other way, up to management -  
39 I've gone both ways with trying to protect everybody with  
40 contentious or sensitive issues, and that in itself has  
41 caused a great deal of disharmony because there is nothing  
42 worse than an unauthentic leader who is just following the  
43 party line because that's what's expected of me in my role.

44

45 Now, like I've mentioned in other evidence, I'm  
46 a manager, yes, I'm a middle, lower-level manager, but I'm  
47 also a court reporting scientist. So at some point in this

1 whole me trying to be a conduit between the science of  
2 court reporting scientists and upper management, I got -  
3 there have been times where my integrity has not allowed me  
4 just to wrap things up in a nice fluffy bundle to give to  
5 either my staff or upwards, because, one, everyone's  
6 intelligent and should be able to handle the truth in  
7 whatever form it is; and, second of all, I don't want to be  
8 an unauthentic leader that people can't trust, because  
9 I knew from feedback through the Working for Queensland  
10 surveys and through feedback from all different staff to  
11 me, not just within RT2 but across all of forensic DNA  
12 analysis, staff have come to me and said things like, "You  
13 are the only manager who we can trust, and you're the only  
14 manager who seems to have both a brain and a heart."  
15

16 And so, you know, to enable honest - honest - issues  
17 to be aired on the table so we can get to the bottom of  
18 them, they need an authentic leader who they can trust and  
19 go to. So for me to be authentic, sometimes there are  
20 occasions where you have to just say, "Here's everything as  
21 it stands. You know, I'm trying to do this part. You're  
22 trying to do this part. Cathie's trying to do this part."  
23 What's wrong with that, in my view?  
24

25 Q. You willingly accepted the role of being a lower-level  
26 middle manager, as you describe it?

27 A. Yes.  
28

29 Q. You are paid more for that role?

30 A. Yes.  
31

32 Q. You could have removed yourself from that role at any  
33 time if you wished to do that?

34 A. No.  
35

36 Q. Can I suggest if you had thought your integrity was so  
37 fundamentally conflicted by the responsibilities of that  
38 lower-level management role, you could quite easily have  
39 returned to simply being a reporting scientist?

40 A. Well, I have thought about the situation I'm in where  
41 I do feel stuck between a rock and a hard place. I've  
42 thought about that a lot. And I have thought about waiting  
43 for a HP4 reporting scientist position to become available;  
44 maybe I should just do that and leave all the politics and  
45 rubbish aside.  
46

47 But then I think about - well, in the bigger picture

1 of things, that's an easy way out, because, like I said,  
2 staff rely on me to bring their issues to the table, even  
3 if they are difficult issues and contentious issues and  
4 whatever. And so I'm like, I have an obligation to the  
5 science and to the staff and to the wider community to stay  
6 in a position where I have a voice - well, I try to have  
7 a voice in the management team as much as I can, because if  
8 I'm not going to do that, who within the reporting  
9 scientists is going to do that for them?

10

11 Q. It was open to you, wasn't it, at any time, if you  
12 felt your integrity was compromised by being a lower-level  
13 middle manager in the role that you now hold, to remove  
14 yourself from that role?

15

16 THE COMMISSIONER: But did Ms Rika say her integrity was  
17 being compromised?

18

19 MR HICKEY: Certainly she gave that evidence a few answers  
20 ago, with respect, Commissioner.

21

22 THE COMMISSIONER: Did she? All right, well, you  
23 continue.

24

25 THE WITNESS: Sorry, can you just say that again? So if  
26 my --

27

28 MR HICKEY: Q. If your role as the senior scientist of  
29 RT2 put you in a position where you felt as though your  
30 integrity was being compromised, it was open to you to  
31 remove yourself from that role?

32

33 A. Yes, but it was also - there were also other  
34 possibilities, like I just explained, which was I could  
35 remove myself, or I could continue to do the best job that  
36 I can to ensure that a wide range of voices are being  
37 heard, because that's also a level of integrity.

37

38 Q. Could I suggest to you that your primary loyalty at  
39 all times was to the people that reported to you rather  
40 than to the good governance and proper management of the  
41 laboratory?

42

43 A. No. My loyalty is around doing what is best for the  
44 casework, for the science, for my team and what they need  
45 to do to do their jobs properly, and for the wider  
46 community. That's the reason I'm in the job.

46

47 Q. Can I suggest to you that you must have known, when

1 you sent that email on to your team, that it was  
2 inappropriate to forward Ms Allen's private correspondence  
3 to you without first checking with her whether she was  
4 comfortable with you doing that?

5 A. I don't think it was inappropriate at all.

6  
7 Q. You must have known that doing that would have the  
8 effect of causing members of your team to doubt her concern  
9 for receiving their feedback about senior management?

10 A. Members of my team already had their own views about  
11 how Cathie would receive feedback, so me providing an email  
12 chain with all the relevant information and context - like  
13 I said before, these are smart people who can make up their  
14 own minds about the information in front of them. So  
15 I didn't see a need to filter - I mean, there's no need for  
16 me to insult my staff by filtering out the situation at  
17 hand, parts of the situation at hand.

18  
19 Q. You would agree with me that part of your role as  
20 a middle manager was to, at the very least, maintain the  
21 level of culture within the lab, not make it worse?

22 A. Yes.

23  
24 Q. And you would agree with me that there is nothing  
25 about sending this email to the staff which would be likely  
26 to improve their relationship with Ms Allen?

27 A. I - my concern wasn't about - at this time, based on  
28 years of experience, my concern wasn't about trying to make  
29 my staff have an excellent relationship with Cathie Allen.  
30 Cathie can do that for herself if she wants to.

31  
32 My job was to help my staff through difficult times,  
33 as was displayed in the Working for Queensland survey  
34 results, because we were asked, as individual teams, to  
35 come up with action items. So I got my team together and  
36 said, "This is the task we've been asked to do. Here's the  
37 greater context around, you know, Cathie's thinking maybe  
38 we need to wait for the values and behaviours work, and all  
39 the rest of it. So with all of that in mind, let's have  
40 an" - I think I said, yes, "Please see below the thread for  
41 context around an appointment I will send where we can  
42 discuss this."

43  
44 Now, at no point have I anywhere in the email said,  
45 "Oh, by the way, have a think about how all of this makes  
46 you feel about Cathie." Like I said, they are smart  
47 people. They have their own opinions about things. I was



1 just trying to do my job, the task that was assigned to me  
2 and my team.

3

4 Q. Can I suggest to you that by forwarding the email in  
5 that way, against the background of what you have just  
6 said, their being intelligent people, and what you have  
7 previously said about their own positions, this was  
8 a deliberate act of passive aggression towards Cathie  
9 Allen?

10 A. No. I don't think it was passive aggressive.

11

12 Q. Could we then go, please, to the statement of  
13 Dr Moeller. Mr Operator, it is [WIT.0011.0010.0001 at  
14 page 0007]. This is some evidence that Dr Moeller has  
15 given to the Commission about raising some concerns about  
16 the changes to the process for sampling in June and shortly  
17 thereafter in July 2022.

18

19 What she says in paragraph 36 is that she had been  
20 away from work. In paragraph 37 she says that on the 17th  
21 she emailed Lara Keller raising some concerns about the  
22 process. In paragraph 38 she says that Lara Keller replied  
23 to her and asked her to speak to Justin Howes or Cathie  
24 Allen about it. In paragraph 39 she tells us about some  
25 concerns she had. But then in paragraph 40 she tells us  
26 that on 20 June she did in fact email Cathie and Justin  
27 telling them of her concerns, and then in paragraph 41 she  
28 says:

29

30 *Cathie replied saying that Justin would*  
31 *speak to me in relation to the 15µL*  
32 *decision.*

33

34 Now, part of her evidence yesterday was to the effect that  
35 Mr Howes hadn't spoken to her. You're familiar with  
36 Dr Moeller's concerns around this issue, aren't you?

37 A. Yes.

38

39 Q. You were aware that she had some particular concerns?

40 A. Yes.

41

42 Q. And you were aware that she had approached Ms Allen  
43 and Mr Howes about that?

44 A. I can't remember if - I'm aware of it now, but I can't  
45 remember at the time if I was aware of it.

46

47 Q. Can I try to refresh your memory by suggesting this to

1 you, that when Dr Moeller contacted Ms Allen and Mr Howes,  
2 Mr Howes spoke to you, being Dr Moeller's line manager, and  
3 that you offered to speak to Dr Moeller about this issue?  
4 A. I don't - I don't remember that.

5  
6 Q. And that on 21 June, you wrote to say that you were  
7 only getting to the email thread on that day, after  
8 Mr Howes had called you to check that you had passed on the  
9 information?

10 A. I - it's hard to know the context of that without  
11 seeing the email. Are we able to - am I able to see the  
12 email?

13  
14 Q. No, not at present.  
15 A. Oh, okay. So - okay, so say that again, sorry?

16  
17 Q. I will put the whole suite of suggestions to you  
18 collectively, in fairness. It's this: Mr Howes, against  
19 the background of Dr Moeller's concerns, spoke with you  
20 about them, and you offered to speak to Dr Moeller about  
21 those issues in your capacity as her line manager?

22 A. I mean, I may have. I don't - I don't actually  
23 remember, but maybe I did.

24  
25 Q. And that on 21 June you wrote to Mr Howes to say that  
26 you were only getting to the email thread that day, when  
27 Mr Howes contacted you to see whether you had actually  
28 followed up with Dr Moeller?

29  
30 MS HEDGE: I object to that question. The witness said  
31 she couldn't answer without seeing the email, so either she  
32 should be shown the email or --

33  
34 THE COMMISSIONER: But it's not an objectionable question.

35  
36 MS HEDGE: Well, she said she couldn't answer it, and it  
37 has been asked again.

38  
39 THE COMMISSIONER: Yes, but it's cross-examination. He  
40 can ask again.

41  
42 MR HICKEY: Q. Do you recall corresponding with Mr Howes  
43 after he followed you up to see whether you had spoken to  
44 Dr Moeller?

45 A. From my memory, I do remember Justin and I having  
46 conversations about the 15 microlitre decision, but I can't  
47 remember anything about who was supposed to get back to

1 Ingrid about it. I don't remember any of that part.

2

3 Q. If, in fact, there had been that discussion between  
4 you and Mr Howes, it wouldn't have been inappropriate for  
5 that to have been a subject that you would liaise with  
6 Dr Moeller about, would it, as her line manager?

7 A. Yes, yes, definitely, I would have said to Ingrid, you  
8 know, "Justin's asked me to talk to you about this  
9 15 microlitre decision. Here's the information that  
10 I have" - yes, we would have had a conversation like that.

11

12 Q. Given the work environment, it wasn't inappropriate  
13 for Mr Howes to delegate that kind of task to you, was it?

14 A. No, but bearing in mind that I - even to this very  
15 day, I still don't really understand the whole  
16 15 microlitre decision. I don't understand how the  
17 decision was made. I don't understand the basis for it.  
18 It doesn't make sense to me. So if he's going to delegate  
19 that to me, which he can do, and that's fine, I still -  
20 it's hard for me - it would have been hard for me to then -  
21 like, I can tell Ingrid what Justin said, but on my own two  
22 feet, I still don't really understand all of the  
23 information around it, so - and I don't know if Justin did  
24 or not, I don't know if Cathie did or not, but somebody who  
25 made that decision - it would have been better coming from  
26 the horse's mouth, really, because I still don't understand  
27 it.

28

29 Q. Could we go then, please, to paragraph 46 of Ms Rika's  
30 statement.

31

32 THE COMMISSIONER: Dr Moeller's statement?

33

34 MR HICKEY: No, I'm moving back to Ms Rika's statement  
35 now, Commissioner.

36

37 THE COMMISSIONER: Have you moved on from the matter that  
38 you were asking about?

39

40 MR HICKEY: Yes.

41

42 THE COMMISSIONER: Q. Do I understand you to be saying  
43 that you might well have been asked by Mr Howes at some  
44 point to convey information or an explanation to Dr Moeller  
45 about the decision that was the subject of paragraph 41 of  
46 Dr Moeller's statement but that you are not aware now of  
47 how you could have done that, because you don't have the

1 information to explain it?

2 A. That's right, yes.

3

4 THE COMMISSIONER: Thanks. Yes, Mr Hickey.

5

6 MR HICKEY: Q. Now, in paragraph 46, if we could zoom up  
7 on that, please, Mr Operator, you tell us some things about  
8 the example that you have given demonstrating:

9

10 *... the danger in moving important*  
11 *[questions] of testing to the*  
12 *implementation phase, as it can easily be*  
13 *missed.*

14

15 You say:

16

17 *In my view, the management team wanted*  
18 *a quick sign off on the verification ...*

19

20 And so on and so forth. Now, if you need to scroll further  
21 back up in the evidence to locate yourself, we can do that,  
22 but here you are talking about the verification of ProFlex,  
23 do you recall that, Project #199?

24

A. That's right, yes.

25

26 Q. Now, isn't it the case that you signed off on the  
27 ProFlex final report on 20 December 2021?

28

A. Yes, probably.

29

30 Q. And so in those circumstances, it was open to you,  
31 wasn't it, to not sign off on it if you felt the process  
32 had been rushed?

33

A. With the information that - with the information that  
34 I had at the time, I was assured that even though I - even  
35 though I didn't want the Model Maker to be - well, I wasn't  
36 sure if the Model Maker should be part of verification or  
37 implementation, but I did say at some point that, "I can't  
38 see - what's the downside in putting it into the  
39 verification", because I didn't understand the rush to  
40 verify it and then leave parts for implementation stage.

41

42 However - sorry, getting back to your question, the  
43 information that was presented to me through the form of  
44 all of the project documentation, it showed me that the  
45 STRmix trainers group, their advice was - and I trust their  
46 advice, because I'm not an - like, they are the subject  
47 matter experts with that, with Model Maker and STRmix -

1 their advice was that it's okay to do it as part of  
2 implementation.

3  
4 So - but, and as you can see from my statement,  
5 basically the reason that I say, "This example demonstrates  
6 the danger in moving important components of testing to the  
7 implementation phase" is because I later discovered, when  
8 I was going through the minor change register, that the  
9 Model Maker work that was supposed to be done for  
10 implementation phase hadn't - it didn't appear to have been  
11 done, and I raised that immediately with Justin.

12  
13 Q. You make reference to the management team in that  
14 paragraph.

15 A. Yes.

16  
17 Q. You were a member of the management team, weren't you?

18 A. Yes.

19  
20 Q. And so to the extent that that decision, with the  
21 benefit of hindsight, might have been a wrong decision, it  
22 was a decision which you, in part, were responsible for?

23 A. Like I've just said, with all the information that  
24 I had at the time to sign off, I made very clear the  
25 exception to my sign-off was that the Model Maker work was  
26 actually going to be done and that the STRmix trainers  
27 group were happy with that going into the implementation  
28 phase.

29  
30 THE COMMISSIONER: I'm not following the science of this  
31 at the moment, Mr Hickey. Are you going to extract that  
32 or --

33  
34 MR HICKEY: I'm not myself so interested in the science,  
35 Commissioner. I'm interested in the process around  
36 decision-making and the degree to which Ms Rika is involved  
37 in that and willing to take responsibility for it.

38  
39 THE COMMISSIONER: I understand that.

40  
41 MR HICKEY: So if that's something the Commission is  
42 interested in, I can't assist with that.

43  
44 THE COMMISSIONER: All right. Just excuse me a moment.

45  
46 Q. Do you have a copy of your statement there?

47 A. Yes.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25  
26  
27  
28  
29  
30  
31  
32  
33  
34  
35  
36  
37  
38  
39  
40  
41  
42  
43  
44  
45  
46  
47

Q. Do you have the exhibits attached to it?

A. Yes, I do.

Q. Would you go to exhibit 5, please. I just want to understand what it is we are talking about, and then Mr Hickey can ask you about the decisions you took in relation to it. Exhibit 5 is an email chain, KR-05, and at the top of that page is 12 January 2022, Mr Howes to you. Have you got that?

A. Yes.

Q. If you go, then, to the second-last page of that bundle, which is an email from you to Mr Howes, 12 January 2022 --

A. Yes.

Q. -- and read that, would you, to yourself?

A. Yes.

Q. So the ProFlex verification had been achieved about a year earlier?

A. Yes.

Q. Now, on 12 January 2022, some months afterwards, you are writing to say that you "noticed with Project #199 ProFlex", which you had signed as approving, in relation to that, "there appears to be a gap" in something called "finalisation re Model Maker"?

A. Yes.

Q. What is that?

A. Model Maker is a component - well, is a component that is necessary to be completed to allow STRmix to understand our biological model within the context of all of our instrumentation and processes.

Q. STRmix, as we have learned, is a software program --

A. Yes.

Q. -- that is used to, in a way, analyse profiles by performing certain calculations upon it, and the work that it does then assists those who interpret the profiles in interpreting the profiles?

A. Yes.

Q. But in order to do that reliably, it needs to be

1 informed of certain parameters that pertain to the  
2 particular lab in which it is doing its work?

3 A. That's right, yes.

4

5 Q. And you're telling me that Model Maker --

6 A. Yes.

7

8 Q. -- is that part of STRmix which takes that information  
9 and creates the model of the lab in which it's doing the  
10 work?

11 A. Yes. That's right, yes.

12

13 Q. All right, go on. So what were you talking about  
14 here:

15

16 *... there appears to be a gap in*  
17 *finalisation re Model Maker.*

18

19 What's that? I'm looking at your email of 12 January 2022,  
20 9.56am, on the fourth sheet of KR-05.

21 A. Yes, so I said to Justin, "There's a gap in the  
22 finalisation of that", so I directed him to the location of  
23 the project documentation, including the feedback, and  
24 I said:

25

26 *You will see some [communications]/advice*  
27 *from our STRmix trainers about doing [Model*  
28 *Maker] at implementation stage.*

29

30 And I said:

31

32 *I can't find this documented anywhere.*  
33 *I am also unsure if it was done or not.*

34

35 Q. So what are you talking about there? What wasn't done  
36 in finalising Model Maker?

37 A. What wasn't done in finalising Model Maker?

38

39 Q.

40 *... there appears to be a gap in*  
41 *finalisation re Model Maker.*

42

43 A. Yes, well, then Justin said, "Oh, okay, we'll look  
44 into that."

45

46 Q. But what wasn't finalised? What was it that you were  
47 concerned about that wasn't finalised?

1 A. That I was - first of all, I wasn't sure if the Model  
2 Maker work had been done as part of implementation, and, if  
3 it was --

4  
5 Q. That is, the Model Maker work is the completion of the  
6 entry of parameters into Model Maker so STRmix understands  
7 the environment it is working on?

8 A. That's right, yes.

9  
10 Q. You were concerned whether the final work of setting  
11 the parameters had been completed --

12 A. Yes.

13  
14 Q. -- before ProFlex was introduced?

15 A. Was being used, yes, yes.

16  
17 Q. Because ProFlex was a parameter that had to be  
18 introduced into Model Maker?

19 A. Yes, because STRmix and Model Maker - if you are  
20 changing a part of our process or instrumentation that has  
21 an effect on how STRmix understands the variables or the  
22 variances, you need to actually assess that whole chain of  
23 work.

24  
25 Q. So I think I'm understanding it now. ProFlex was  
26 being introduced --

27 A. Yes.

28  
29 Q. -- and had to be validated, and work was done to  
30 validate it, that it was working correctly?

31 A. Yes.

32  
33 Q. But as part of that, what you're saying is that the  
34 way that ProFlex is working must be part of the information  
35 that STRmix receives through Model Maker so that it can  
36 take into account this new instrument that is being used in  
37 doing its work of interpretation?

38 A. Yes.

39  
40 Q. And you didn't know whether the ProFlex validation had  
41 or had not taken into account the necessary variation to  
42 Model Maker in STRmix to give that information to the  
43 software program?

44 A. That's right, and so - sorry.

45  
46 Q. That's all right. At the bottom of that email of  
47 12 January at 9.56am, you refer to communications "from our



1 STRmix trainers about doing Model Maker at implementation  
2 stage", so I take it that you're referring to people who  
3 are familiar with STRmix, those responsible for it --

4 A. Yes.

5

6 Q. -- having said that the necessary work to Model Maker  
7 to take into account the introduction of ProFlex after its  
8 validation --

9 A. Yes.

10

11 Q. -- would be done when ProFlex is finally implemented  
12 into the system and starts being used?

13 A. Yes, that's right.

14

15 Q. And they can introduce parameters at that point?

16 A. Yes, that's right.

17

18 Q. So you are raising the question there, has that been  
19 done, if I have understood correctly?

20 A. Yes, yes.

21

22 THE COMMISSIONER: Yes, Mr Hickey.

23

24 MR HICKEY: Q. Can we go then, please, to paragraph 9 of  
25 this statement. The Commission has received some evidence  
26 about the work procedure that existed prior to the  
27 introduction of the work list system?

28 A. Yes.

29

30 Q. You yourself have given some evidence about that in  
31 paragraph 9 of your statement, the second statement that  
32 we've been dealing with this morning.

33 A. Yes.

34

35 Q. In paragraph 9 you tell us that:

36

37 *Prior to the introduction of the work list*  
38 *system ... The scientist would often case*  
39 *conference with other relevant experts ...*

40

41 It is not the case, though, is it, that case conferences  
42 happened in every case?

43 A. With the old system?

44

45 Q. Yes.

46 A. Not for every case.

47

1 Q. They only happened in major crime cases?

2 A. Yes, major crime, yes.

3

4 Q. But even then, not in all major crimes?

5 A. Not all of them, no.

6

7 Q. Usually murders and cold cases?

8 A. And some other serious matters.

9

10 Q. But even then, not in all of those cases, either?

11 A. No.

12

13 Q. You would agree, wouldn't you, that there are a large  
14 number of volume crime and other major crime - in  
15 particular, sexual assaults, for example - where case  
16 conferencing rarely or didn't happen under that old model?

17 A. Yes.

18

19 Q. Now, in paragraph 35 you give us some evidence about  
20 your having been on maternity leave when PP21 in  
21 combination with STRmix was validated and implemented, and  
22 you tell us that when you returned you did not receive any  
23 formal training. At the time you returned from maternity  
24 leave, your line manager was Ms Caunt; that's right, isn't  
25 it?

26 A. Yes.

27

28 Q. Can I suggest to you that you in fact received the  
29 same training on PP21 and STRmix as everyone else in the  
30 lab?

31 A. Yes.

32

33 Q. That is to say, you did receive formal training?

34 A. Oh, so I actually don't know what everybody else  
35 received, but when I came back, like I say in my statement,  
36 I was given some PP21 profiles and was told to have a look  
37 at them and that it would take me some time to adjust, and  
38 I was also assigned a mentor to help me navigate the case  
39 management processes as part of refresher training.

40

41 Q. Who was your mentor?

42 A. I think it was Penelope Taylor.

43

44 Q. Could we go then, please, to exhibit KR-05 to  
45 Ms Rika's statement. The document number is

46 [WIT.0006.0152.0001]. Here is some correspondence that you  
47 have exhibited to your statement. If we can zoom in,

1 please, to page 4 of this document, and zoom in to that  
2 email in the centre of the page. Now, this, helpfully, is  
3 the one that the Commissioner took you to a moment ago.

4 A. Yes.

5

6 Q. And so I need not refresh your memory about that. You  
7 are familiar with what it was you were asking Mr Howes?

8 A. Yes.

9

10 Q. Then if we scroll up, please, Mr Operator, to the  
11 bottom of the previous page, page 3, there we see Mr Howes  
12 responds to say, "Okay, thanks, I'll look into this through  
13 Paula/Kirsten"?

14 A. Yes.

15

16 Q. Who was Kirsten?

17 A. Kirsten is our lab's quality manager.

18

19 Q. Then if we scroll up to the top of that page and zoom  
20 in, please, on the email so it is a bit easier to read,  
21 here is Mr Howes coming back to you, and he is copying in  
22 Sharon. Presumably, is that because she was the team  
23 leader of the other team?

24 A. Yes.

25

26 Q. Sorry, the senior scientist is the right language,  
27 isn't it?

28 A. Yes, yes.

29

30 Q. So he tells her that he had "spoken to Paula about  
31 it", yes, identifies that it wasn't added to the  
32 implementation plan in the way that you had identified. So  
33 you would agree with me, wouldn't you, that he has  
34 responded to the issue that you've raised with him?

35 A. Yes.

36

37 Q. He discussed with her ways to improve the  
38 implementation process. Now, again, that's that issue that  
39 you have given evidence about in that paragraph that I have  
40 taken you to a few moments ago --

41 A. Yes.

42

43 Q. -- which is separate to the validation/verification.  
44 This is an example, isn't it, of the kind of responsiveness  
45 that you had come to expect from Mr Howes in particular?

46 A. Yes, at this particular time, Justin and myself had  
47 done a lot of work on our professional relationship and

1 communication and all of those things, and I felt like in  
2 a really good space with our professional relationship at  
3 that time.

4  
5 Q. You would agree with me that in the same way that you  
6 had done a lot of work, your impression was that he had  
7 similarly done a lot of work to repair whatever  
8 difficulties there might have been between the two of you?  
9 A. Yes, he may have. I don't know what he's done, but --

10  
11 Q. That was your impression?  
12 A. Yes, he was being very collaborative with me at that  
13 time.

14  
15 Q. And you had no reason to think he was insincere in his  
16 wish to improve his working relationship with you?  
17 A. At that time, no.

18  
19 Q. Then we can see examples, can I suggest to you, of his  
20 attempts to encourage you to be frank and fearless in the  
21 advice that you were providing in the language that he uses  
22 in his sign-off. He thanks you expressly for remembering  
23 the issue. Do you agree with that?  
24 A. Yes.

25  
26 Q. And then if we scroll up, we see you respond to him,  
27 telling him some things that are going to happen, at the  
28 bottom of that page, and then again --  
29 A. Oh, yes.

30  
31 Q. Sorry, did someone say something to me?  
32 A. Oh, sorry, I just said, yes, I can see that.

33  
34 Q. Sorry. Then if we scroll to the top of that page and  
35 zoom in, please, to that email, you can see there he is  
36 promptly responding in the back-and-forth with you,  
37 engaging on the substantive issues, and again gives you  
38 effusive praise, can I suggest, in the send-off to the  
39 email?

40 A. Yes, I remember this, because I actually felt really  
41 proud because he was praising me, and, like, I don't -  
42 I haven't had many emails like that, so I was very happy  
43 with that.

44  
45 Q. And then if we scroll up to the bottom of the next  
46 page, and unfortunately there will be some redacting for  
47 you, Mr Operator - oh, you beat me to it. We can see that

1 you have suggested to him in the bottom line that his  
2 actions and reply had eased your anxiety instantly?

3 A. Yes.

4

5 Q. You sent him a smiley face?

6 A. Yes, yes, because we were in a - I was trying so hard  
7 at that time, and I could see that he was trying as well,  
8 to have a good professional relationship.

9

10 Q. Then if we scroll to the very top of that page, we see  
11 that he then comes back and says, "Here is some more  
12 anxiety-reducing stuff" and makes a deliberate attempt to  
13 even tell you some more things that might be of assistance  
14 to you?

15 A. Yes.

16

17 Q. In the course of my taking you through that chain of  
18 correspondence, you said that you had done quite a lot of  
19 work on improving the relationship; that's right, isn't it?

20 A. Yes.

21

22 Q. Can I suggest to you that the reason the relationship  
23 was, and indeed appeared to be, so effective at this point  
24 in time was because you yourself had finally taken steps to  
25 address the problems in your own behaviour towards  
26 Mr Howes?

27 A. Sorry, I'm laughing because this - at this point,  
28 I refuse to - I understand that you're acting for Justin  
29 Howes and Cathie Allen, and I refuse to take any more  
30 moments of feeling gaslit. And so part of that gaslighting  
31 that I feel that I've experienced over the years is  
32 messages that I have received from Justin, Cathie and other  
33 management members of, "Oh, it's - well, very good, Kylie,  
34 that you're working on yourself, because, you know" -  
35 basically, it's just this whole feeling that I've had over  
36 the years of, well, yes, I'll definitely come to the party  
37 with being the best self that I can be, but the messages  
38 just keep getting rammed home to me that, "Oh, well, it's  
39 good that you can acknowledge that about yourself", and,  
40 "It's good that you're working on yourself."

41

42 It just - to me, constant messages like that have been  
43 a form of emotional gaslighting, for me, because it's made  
44 me go, "Hang on, these people think that the problem is  
45 just me." So when you said that to me just now, it's  
46 another example, for me, where, "Okay, so you're  
47 acknowledging that - you know, that you needed to do a lot

1 of work on yourself for this relationship", but  
2 relationships are a two-way street. So, you know, I was  
3 very - throughout our cultural change journeys, with the  
4 management team in particular, I was very vulnerable by  
5 putting out exactly what I was willing to do, asking for  
6 their feedback about me so we can put it on the table and  
7 work through it, all of these things, and somehow that  
8 still wasn't enough.

9  
10 So I'm sorry that I've kind of gone off on a tangent  
11 with that, but that type of question, to me, is just -  
12 triggers me, because it's a gaslighting tactic and I'm  
13 not - I'm not standing for that anymore.

14  
15 THE COMMISSIONER: Mr Hickey, I must say, I was going to  
16 interrupt and ask you about that question, because you had  
17 been asking questions to the effect that it takes two to  
18 make a relationship and that each person in a relationship  
19 where there are difficulties has an obligation to consider  
20 the position and compromise and adapt. But that last  
21 question of yours put to Ms Rika whether she had at some  
22 point realised that she had to work on her own behaviour.

23  
24 Now, I haven't heard any evidence, apart from that  
25 email that Ms Rika sent to her team communicating  
26 Ms Allen's earlier email that you cross-examined about that  
27 you said should have been kept confidential - apart from  
28 that instance, I haven't heard any suggestion, and  
29 I haven't seen any evidence, relating to any behaviour of  
30 Ms Rika that could have justified your question.

31  
32 MR HICKEY: Well, with respect, Commissioner --

33  
34 THE COMMISSIONER: Correct me if I'm wrong.

35  
36 MR HICKEY: There is still evidence to come, of course.

37  
38 THE COMMISSIONER: Yes.

39  
40 MR HICKEY: The Commissioner understands I'm obliged to  
41 put an alternative view that inevitably --

42  
43 THE COMMISSIONER: Of course you are, and of course you  
44 have instructions, and you have your brief, which - it's  
45 your brief and you have to execute it, and you have  
46 information I don't have and you know what is going to come  
47 in the future that I don't know yet.

1  
2 MR HICKEY: Yes.

3  
4 THE COMMISSIONER: But when you ask a question of  
5 a witness which seemed to me to be a question that was  
6 a culminating question, "So you realised you had to do this  
7 to be fair, Ms Rika", you put to her as though it had been  
8 accepted that there was behaviour that had to be altered,  
9 but I haven't seen anything said about that.

10  
11 MR HICKEY: With respect, Commissioner, it seemed to me to  
12 be implicit in the evidence that Ms Rika gave about her  
13 doing lots of work on the relationship, and perhaps  
14 I misconceived the effect of that evidence. I am happy to  
15 ask some clarifying questions about that.

16  
17 THE COMMISSIONER: Certainly. You go ahead.

18  
19 MR HICKEY: Q. You said to me during the course of the  
20 exchange we had before your last answer that you, in order  
21 to get to the position where the communication between you  
22 and Mr Howes at this point was so apparently collegiate and  
23 cohesive and cooperative, had done a lot of work, and  
24 I rather assumed what you meant by that - and explain to me  
25 if I am wrong - that that had included your reflecting on  
26 your own contribution to the relationship with Mr Howes and  
27 improving aspects that might have been your responsibility  
28 for the dysfunction between the two of you. Am I wrong  
29 about that?

30 A. Part of that is correct. Part of the work that I was  
31 talking about, going into improving Justin and my  
32 relationship, was - when I say "work", yes, I'm constantly  
33 asking people for feedback about, you know, "Did that come  
34 across okay?", you know, "What do you think of this, about  
35 how I handled that?", those sorts of things, definitely.

36  
37 But also, in the sense of work going into that,  
38 I learned that what I was hoping or expecting to get from  
39 Justin was a level of self-awareness and reflection on his  
40 own shortcomings that I personally didn't feel there was  
41 much capability in that from him. So what I mean by extra  
42 work was I almost had to, for want of better words, kind  
43 of - not pander, but I had to find ways to talk to him and  
44 deliver my information to him in a way that would make him  
45 feel comfortable so that he didn't get defensive or think  
46 I was unduly challenging him or anything like that. So  
47 I had to kind of become a contortionist to appease, for

1 want of a better word, his ego, so that we could maintain  
2 a professional relationship.

3

4 Q. Could I suggest to you that what you have just said,  
5 in almost identical terms, is what Mr Howes is likely to  
6 say about his relationship with you?

7 A. Okay.

8

9 Q. Is he wrong in thinking that?

10 A. He - like, there's - I don't think there's any right  
11 or wrong in what people think about other people. That's  
12 their perceptions, and we've talked about that before.  
13 I don't really know what else to say about that. I mean,  
14 I - if he has felt that he has had to do what I've done to  
15 him, that's - he can talk about that, that's fine.

16

17 Q. Were you aware that he felt that way?

18 A. Which way, sorry?

19

20 Q. The way I've just described to you, that he would  
21 describe his relationship with you - that is, the converse  
22 of the way you have just described your relationship with  
23 him?

24 A. No.

25

26 Q. And might that be because he'd never directly said as  
27 much to you?

28 A. Yes.

29

30 Q. Would it have been helpful if he had done that, do you  
31 think?

32 A. Yes, and I asked him on a number of occasions, "Let's  
33 just talk about it, you know. What are the problems?", and  
34 I didn't get very far with that.

35

36 Q. And similarly, can I suggest, he was never given the  
37 benefit of that clear explanation that you have just given  
38 us here today in order to learn what your problems with him  
39 were, either?

40 A. I - no, I have spoken to him, I have spoken to him  
41 about how I feel, that when I raise issues, that, you know,  
42 I feel like he's - I have said to him, "Sometimes I feel  
43 like you're not considering them as seriously as I am",  
44 like, I feel like, you know - what's the word? - almost  
45 like a defensive but also dismissive response to me.

46

47 THE COMMISSIONER: Q. You mean as a reaction to



1 professional issues you have raised?

2 A. Yes, yes. And so I have spoken to him about how  
3 I like - like, I've spoken to him about these things and  
4 I've spoken to him about how I like to receive feedback,  
5 I like positive and negative feedback, constructive, face -  
6 like, directly to me. I don't like to hear about his  
7 feedback about me to another staff member who then - that  
8 gets back to me. I don't like that. I've had these  
9 conversations with him.

10

11 MR HICKEY: Q. Finally, were you aware, Ms Rika, that  
12 members of the reporting team 2 referred to themselves as  
13 the "FRIT fuckers"?

14 A. Yes.

15

16 Q. Is that something - when did you first become aware of  
17 that?

18 A. I don't remember when, but what I do recall about that  
19 was one of my staff members confiding in me that they felt  
20 that there was a negative view of FRIT - so forensic  
21 reporting and intelligence team - by Cathie because - and  
22 the staff member that came to me said that - I'm trying to  
23 remember the details, but either they heard - they either  
24 had a conversation with her or they overheard her say, you  
25 know, something about, "I'm going to take away that  
26 photocopier, the multifunction printer, from over in that  
27 block, because those FRIT fuckers keep printing in colour",  
28 and - and so I don't know when that was, but I do remember  
29 a staff member coming to me with that concern that they -  
30 because of that conversation - well, what they had heard  
31 from Cathie, that, you know, basically, "What chance do we  
32 have as a forensic reporting and intelligence team when  
33 she's referring to us as that?"

34

35 Q. Is it the case that that occasion when that suggested  
36 comment was overheard was some time ago rather than more  
37 recently?

38 A. Yes, probably, yes.

39

40 Q. That must have alarmed you when you heard that it was  
41 alleged that Ms Allen had said that?

42 A. It wasn't great, but based on other experiences that  
43 I had had over the years, I wasn't really surprised,  
44 either.

45

46 Q. You didn't, though, think to raise it with your line  
47 manager, Mr Howes?

1 A. Well, it's one of those things that, you know, at that  
2 time, to be honest with you, I didn't feel - the way that  
3 I feel like I've been put on the outer with the management  
4 team, I don't feel I have support in complaining about  
5 other members of the management team to other members of  
6 the management team, because I feel like - you know, like,  
7 I don't - I don't feel like I have support, basically. So  
8 I don't think that it would have been a helpful exercise  
9 for me to go to Justin and say, "Hey, this is what I heard  
10 Cathie has said", because I've raised issues about Cathie  
11 before to Justin, and either he may have done something,  
12 I don't know, but I've also had comments back from him  
13 along the lines of, you know, "Well, you know, she's going  
14 through a rough time", and, you know, all these sort of  
15 defending her things. So I - you know, it probably, in my  
16 mind, was a pointless exercise.

17

18 Q. You would agree that describing other members of the  
19 team in that way, if indeed that's what occurred, is an  
20 entirely inappropriate way to speak within the workplace?

21 A. Yes.

22

23 Q. And could I suggest to you that if, in fact, that is  
24 something that you had become aware of, the correct thing  
25 for you to have done, if you didn't feel comfortable  
26 raising it with Mr Howes, was to press the issue in writing  
27 with the human resources representatives at the lab?

28 A. Oh, I have spoken about probably that issue but also  
29 a million other issues to do with inappropriate behaviour  
30 and culture in our lab with very high-up people within  
31 Queensland Health, so I've done that part.

32

33 Q. You say you've spoken. My question was you didn't put  
34 this concern or any of those concerns to which you have  
35 just referred in writing?

36 A. Oh, no, I have put some concerns in writing. I don't  
37 think I put that "FRIT fucker" concern in writing because -  
38 and I think I've mentioned, I don't know if I have or not,  
39 but, you know, when you go to the level above Cathie, which  
40 is the executive director - and at that time in my mind I'm  
41 thinking about John Doherty - and you say, "I'm really  
42 concerned about these behaviours. I'm concerned about how  
43 I should raise issues. I'm concerned about how I'm feeling  
44 on the outer with the management. I'm concerned about all  
45 these things", and he said to me, "Well, you can consider  
46 putting in a grievance", and I said, "Well, I'm really  
47 scared because I feel like that's all just going to get

1 turned around on me because of all the gaslighting and the  
2 manipulation that I can see happens."  
3

4 And he said to me, yes, in his experience, a grievance  
5 process is a very stressful process for people, especially  
6 the person putting the grievance in, and in his experience,  
7 most of the time they come back on the side of the manager.  
8 In this case, it would have been Cathie if I did the "FRIT  
9 fucker" grievance. So I've gone to the executive director,  
10 who's Cathie's boss, and basically he said to me, "Chances  
11 are it's going to come back on Cathie's side." So what  
12 else do I do?  
13

14 Q. You were aware that the staff within RT2 were  
15 referring to themselves in that way?

16 A. I may have heard a couple of - what's the word I'm  
17 looking for? - flyaway comments around the place.  
18

19 Q. You did hear them talk about themselves in that way,  
20 didn't you?

21 A. Like I said, I can't remember exact details, but  
22 I probably have heard them talk about it like that.  
23

24 Q. You didn't admonish them for using that term to  
25 describe the team?

26 A. I can't recall the conversations I've had around that  
27 particular - those particular conversations.  
28

29 Q. You didn't discourage them from using that term?

30 A. I don't know about that particular term, but I always  
31 encourage my team to follow the code of conduct and  
32 I always say to them, "If you have an issue about something  
33 or about someone, take it up the line."  
34

35 Q. You enjoyed the fact, didn't you, that your team felt  
36 they had greater rapport with you than with Mr Howes and  
37 Ms Allen?

38 A. Enjoyed it? I wanted all of us to be on the same  
39 page.  
40

41 Q. You took personal satisfaction from the fact that the  
42 staff came to you with their concerns rather than going to  
43 Mr Howes or Ms Allen?

44 A. No, I actually feel very sad that my staff feel that  
45 they can only come to me. So that's why I'm always there  
46 for them. Now, it would be wonderful if my staff felt that  
47 they could go to any of the managers - Justin, Cathie, any

1 of them - but the reality is, based on what they have said  
2 to me, they are too scared to, because they fear  
3 retribution, but also they don't think anything will come  
4 of it.

5

6 Q. And you didn't discourage that view in your  
7 subordinates, did you?

8 A. The view of?

9

10 Q. That if they raised concerns, nothing would come of  
11 it?

12 A. No, I've constantly continued to say to my staff,  
13 "Raise concerns. You can raise it with me, you can raise  
14 it with anyone, and we will do the best that we can within  
15 the constraints of what we're working within", which, in my  
16 view - and I'll be completely honest, I have said it to the  
17 Commission before, it's a toxic culture at our lab. So we  
18 do the best that we can.

19

20 Q. Can I suggest to you that to the extent there is  
21 a toxic culture in the lab, that's squarely the  
22 responsibility of the management team?

23 A. They've got a huge part to play in it, but as per our  
24 values and behaviours work that we did with 1st Call  
25 Consulting and Paula, we - a message that came through that  
26 was everyone has a part to play in that.

27

28 Q. And to the extent that you, throughout, have been  
29 a member of the management team, you have had a big part to  
30 play in the toxic culture within the lab?

31 A. No, I don't think that anything that I have done,  
32 said, implied - anything - has been to encourage a toxic  
33 culture at all. Now, I will explain that by saying that -  
34 and again, I understand you represent Cathie and Justin -  
35 you know, if their view of me is that I am divisive or  
36 encouraging a toxic culture or anything like that, that -  
37 they can have those thoughts about me, I don't care.

38

39 What I care about is the fact that, you know, I have  
40 raised issues and - along the entire part of my time at  
41 Queensland Health, I have raised issues, scientific issues,  
42 and some of those the Commission has heard, and we've also  
43 heard from other world-renowned forensic scientists,  
44 Professor Wilson-Wilde and I think it's Dr Bruce Budowle -  
45 we've heard from these other world-renowned forensic  
46 scientists, who, with the issues that I've raised or spoken  
47 about in this Commission at least, and there are others,

1 that these experts have, in their evidence, said that my  
2 concerns were valid.

3  
4 So I guess the bigger thing for me to think about, and  
5 maybe for everyone to think about, is if these issues that  
6 I've raised have been validated by forensic -  
7 world-renowned forensic experts, why were they not  
8 validated by Cathie and Justin?

9  
10 Q. Do I take it then, from that answer, that to the  
11 extent that you yourself have been involved in endorsing or  
12 otherwise bringing to a conclusion decisions made by the  
13 management team of which you were a part, and which have  
14 now been criticised by others, independent experts, you  
15 refuse to accept any degree of personal responsibility for  
16 those shortcomings?

17 A. Oh, no, I can take some responsibility, because in the  
18 context of - okay, so this is how it works: so when  
19 I first started at the lab, the process for signing off on  
20 projects and validations and implementations and things  
21 like that, the process was, the decision-making group was  
22 the management team. So as a manager, I was part of that  
23 team. That was the process, so I had to do that process.

24  
25 Now, wherever I can, when I'm looking at what's in  
26 front of me in terms of a project or a validation, if  
27 I don't know something - because I will put my hand up and  
28 say, "I don't know" - like, my strength is I know where to  
29 go to get the information that I need to make an informed  
30 decision. So I will go to Emma for STRmix, I will go to  
31 Rhys for stats, I will go to Angelina for bones, whatever,  
32 because that's how it should be. The decision-making and  
33 sign-off on things should be made - have the input from  
34 subject matter experts, not just because you are sitting in  
35 a management position.

36  
37 So I have tried my very best, and like I said, I put  
38 my hand up, like, I don't know everything. I know where to  
39 go to get the information I need. And I have tried my best  
40 to do it that way in terms of my responsibility to do the  
41 process of management signing off on things. I don't  
42 actually agree with the process, but that's the process.

43  
44 I think a better process would be to have subject  
45 matter experts or a scientific advisory board set up, and  
46 they are the ones that should be looking over projects and  
47 validations and implementations and experimental designs.

1 But I followed the process as a manager.

2

3 So, yes, I do take responsibility for signing off some  
4 things that maybe are going to be criticised by other  
5 people, but I think that's - I have done the job, my job,  
6 as per that process, the best way that I can. And I think  
7 that's actually a bigger issue for the lab to consider  
8 moving forward, is do we have the right people in the right  
9 positions making decisions on the science when, just  
10 because you are a manager, doesn't mean that you are  
11 a subject matter expert in a particular component of our  
12 work. And like I said, I put my hand up, I know that  
13 I have my areas where I don't know everything.

14

15 Q. You said a moment ago that it's important to have the  
16 right people in the right positions. You are covetous of  
17 promotion within the lab, aren't you?

18 A. What - sorry, "covetous"? What does that mean?

19

20 Q. You wish to have Mr Howes' position or, indeed,  
21 Ms Allen's?

22 A. Oh, gosh, no. No, I'm happy where I am. I just want  
23 to be able to do my job. I just want to be able to have  
24 the tools to do my job the way that I think it needs to be  
25 done. I don't want Justin's job or Cathie's job. I don't  
26 want that extra - those extra layers of stress in my life.

27

28 Q. Their jobs are stressful, are they?

29 A. I would say so, the higher up you go.

30

31 MR HICKEY: Those are the questions, Commissioner.

32

33 THE COMMISSIONER: Thank you, Mr Hickey. Any  
34 re-examination?

35

36 MS HEDGE: No, none. Might Ms Rika be excused?

37

38 THE COMMISSIONER: Thank you, Ms Rika. You are free to  
39 go. Thank you for your assistance.

40

41 <THE WITNESS WITHDREW

42

43 THE COMMISSIONER: We will adjourn until 25 to 12.

44

45 **SHORT ADJOURNMENT**

46

47 THE COMMISSIONER: Yes, Ms Hedge.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25  
26  
27  
28  
29  
30  
31  
32  
33  
34  
35  
36  
37  
38  
39  
40  
41  
42  
43  
44  
45  
46  
47

MS HEDGE: Thank you, Commissioner, I call Dr Duncan Taylor.

<DUNCAN TAYLOR, affirmed: [11.39am]

<EXAMINATION BY MS HEDGE:

MS HEDGE: Q. You are Dr Duncan Taylor?

A. Yes.

Q. You are the chief scientist of forensic statistics at the South Australian laboratory; is that right?

A. That's right.

Q. You have produced a report for the Commission, which is dated 7 October 2022, titled "Review of the validation material from the Queensland Health Forensic and Scientific Services"?

A. That's right.

Q. We've already tendered that report. Could we have it on the screen. It is [EXP.0003.0001.0001]. You can see that, can you, Dr Taylor?

A. Yes, I can.

Q. That's your report?

A. Yes.

MS HEDGE: Commissioner, could I tender, by providing a list of documents, the key documents that underpin Dr Taylor's report.

THE COMMISSIONER: Yes.

MS HEDGE: We have a list prepared, which I have provided to all of the parties, of 35 documents, which include all the validation reports and other key materials.

THE COMMISSIONER: The list of 35 documents relied upon by Dr Taylor is exhibit 88.

**EXHIBIT #88 LIST OF 35 DOCUMENTS RELIED UPON BY DR TAYLOR**

MS HEDGE: Would the Commissioner like us to provide the individual exhibit numbers later on this list, or will they be tendered as a bundle?

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25  
26  
27  
28  
29  
30  
31  
32  
33  
34  
35  
36  
37  
38  
39  
40  
41  
42  
43  
44  
45  
46  
47

THE COMMISSIONER: The list is exhibit 88. Are the documents annexed to Dr Taylor's report or not?

MS HEDGE: No, they are not.

THE COMMISSIONER: Why don't you prepare a bundle of the documents, and that will be exhibit 89 when you have done that. I will give it that number now.

MS HEDGE: Yes, that can be done electronically. Thank you.

**EXHIBIT #89 BUNDLE OF DOCUMENTS LISTED IN EXHIBIT 88 RELIED UPON BY DR TAYLOR**

THE COMMISSIONER: I will mark Dr Taylor's report exhibit 90, shall I?

MS HEDGE: It was already tendered during my opening.

THE COMMISSIONER: All right.

MS HEDGE: Q. Could we turn to page 94 of that report, please, operator. These are your qualifications, Dr Taylor, on the screen?

A. Yes, that's right.

Q. They include, in 2001 to 2005, a PhD in biological sciences?

A. Yes.

Q. And in 2016 to 2019, a PhD in statistics?

A. Yes.

Q. In particular, that PhD in statistics is in the statistical valuation of forensic DNA evidence?

A. That's right.

Q. Could we turn, then, to page 115. At the bottom of this page, we have your current employment, chief scientist in forensic statistics in the biology group?

A. Yes.

Q. If we scroll down further, please, operator, this sets out your expertise in forensic DNA and particularly the statistics of forensic DNA; is that correct?



1 A. Yes, that's right.

2

3 Q. It says there that within Forensic Science SA, you  
4 validated certain items. Approximately how many  
5 validations would you have been involved in in your career,  
6 just as an estimate?

7 A. I suppose it would be --

8

9 Q. Are we talking hundreds or tens --

10 A. Maybe not hundreds. Tens, tens.

11

12 Q. Could we now turn to page 113. At the bottom of the  
13 page there, under the heading "Positions held", this shows  
14 your recognition as an expert in this field from your  
15 positions in a number of research councils and boards and  
16 so forth; is that right?

17 A. Yes.

18

19 Q. Thank you, operator. Could you tell us in a general  
20 sense to start what the role of a validation is within  
21 a forensic DNA laboratory?

22 A. Validation is carried out on an instrument or  
23 a process or a particular method or statistical software  
24 that a laboratory wishes to use within their routine  
25 casework or as part of their operations, and before any  
26 process can be implemented, you need to know how well it  
27 works and under what circumstances it works and, I guess,  
28 the limits of where it could be used. So you carry out  
29 a validation firstly to ensure that the instrument or the  
30 method or the process is working and is fit for purpose in  
31 the way that you wish to use it, and then also to generally  
32 understand the limits of the use of that method and the  
33 functioning of the method in general.

34

35 Q. To lead to your report that you have prepared for the  
36 Commission, you were asked to review and assess a number of  
37 validations of current instruments used in Queensland's  
38 laboratory?

39 A. Yes, that's right.

40

41 Q. Can I just list them for you, so we can identify them:  
42 the QuantTrio instrument, the Quant Studio 5 software, the  
43 3500 Genetic Analyzer, the Hamilton STARlet machine, the  
44 ProFlex machines, the bone crusher cleaning method, and the  
45 QIA Symphony for bone extraction?

46 A. Yes.

47

1 Q. Across those seven instruments or processes, there  
2 were in fact approximately about 15 validation reports that  
3 you had to review?

4 A. That's right.

5

6 Q. The reason for those two numbers being different is  
7 because the Queensland laboratory, for some instruments,  
8 validated things in a number of steps or produced a number  
9 of reports leading to the validation of a number of  
10 different particular machines; is that right?

11 A. That's correct.

12

13 Q. Can we deal first with the question of statistics. In  
14 five of the reports - that is, the validation reports that  
15 you reviewed - there was some inappropriate use of  
16 a statistical test?

17 A. Yes, that's right.

18

19 Q. Can we turn to page 52 of the report, please,  
20 operator. Under that heading "6.1.10 Experiment 9", the  
21 first two paragraphs, so from lines 1715 to 1729, is this  
22 an example of a difficulty with using appropriate  
23 statistical tests?

24 A. Yes, that's correct. This particular test that has  
25 been carried out is seeking to look at whether or not there  
26 is a significant difference between two sets of values, and  
27 the test that has been used hasn't been used appropriately.

28

29 Q. This was on the 3500xL machine number B?

30 A. Yes, that's right.

31

32 Q. So a student's t-test was used, but instead you  
33 suggest the Wilcoxon rank sum test would have been more  
34 appropriate?

35 A. Yes, that's right.

36

37 Q. Can you just tell us as briefly as you can, or as  
38 simply as you can perhaps, why that was a problem for that  
39 validation, to use that test?

40 A. When you're using - I suppose I should start off by  
41 saying that this is quite a common issue that you see, the  
42 use of this, what's called a student's t-test on data, that  
43 perhaps it might not be best suited for use on the - the  
44 student's t-test is a test to determine - in this  
45 particular instance, a test to determine whether or not  
46 there is a difference between the means of two groups of  
47 numbers. And in order to use that test, there are a number

1 of underlying assumptions about the data. I suppose one of  
2 the assumptions in this particular case is that the  
3 underlying data is at least reasonably close to being  
4 normally distributed - that is, it has a nice bell-shaped  
5 curve, if you were to plot out the distribution of the data  
6 points. I can go into the reasons for that, I think,  
7 although they are rather technical, or we can just leave it  
8 as saying this is one of the assumptions of the test.

9

10 Q. Yes, that's fine.

11 A. There are other tests that don't have those particular  
12 assumptions, and those tests are called non-parametric  
13 tests, which I have listed in lines 1717 to 1720, and when  
14 your data doesn't have that nice sort of bell-shaped curve,  
15 then these other tests are generally more appropriate.

16

17 The second aspect to using the student's t-test - and  
18 it's sort of exemplified here in this particular example -  
19 is that when you carry out a t-test, comparing the means,  
20 you typically will designate a probability or  
21 a significance level beyond which you are going to say that  
22 there is a significant difference between the means of  
23 these groups, and like with all probability, there is  
24 a chance that even having made the designation that there  
25 is a significant difference between the groups, there is  
26 a chance that there is actually not a significant  
27 difference between the groups, but just through sampling  
28 effects, it appears as though there is a difference between  
29 the groups. So this is called a type 1 error.

30

31 Now, if you do lots and lots and lots of t-tests on  
32 different aspects of the data, it increases the chances  
33 that just by pure chance, you are going to see  
34 a significant difference in one or more of those tests when  
35 none exists. So when you have a lot of different datasets  
36 or a lot of different groups of data all relating to the  
37 same general comparison, you generally try not to carry out  
38 multiple t-tests. You tend to try and group those results  
39 so that you are only carrying out one test of significance.

40

41 Q. Thank you. Is this an example that's similar to the  
42 other statistical problems you found in other reports -  
43 that is, applying the wrong statistical analysis or an  
44 inappropriate statistical analysis?

45 A. Yes, and in particular the use of the student's  
46 t-test, there was a number of instances where it was  
47 probably not the most appropriate test to have been used or

1 it was not used in the most appropriate way.

2

3 Q. Can we turn, then, to page 81 and to your  
4 recommendations about statistical expertise. In  
5 recommendation 4, you recommend that for every validation  
6 carried out that requires a statistical analysis, an  
7 individual with formal training or qualifications should be  
8 involved?

9 A. Yes.

10

11 Q. And you posit some options for how that might occur.  
12 Is that recommendation directly referable to those errors  
13 that you saw?

14 A. Yes, so this recommendation is designed to cover off  
15 on those issues that I saw, so someone that has a knowledge  
16 of the tests, when they should be used and the assumptions  
17 underlying those tests should be advising or be involved if  
18 those tests are going to be used.

19

20 Q. And so is it implicit, then, that a person with  
21 a biology degree and a masters of forensic science wouldn't  
22 necessarily have the statistical skills to do this, and  
23 that's why you recommend formal training or qualifications  
24 in statistics rather than in those fields?

25 A. Yes, that's right. You will find that in many  
26 university degrees, forensic science, even forensic  
27 biology, there is usually a small component of statistics  
28 within that training, but often that is only a small  
29 component, and for people carrying out work in a forensic  
30 lab, that can be many, many years having been done in the  
31 past. So someone with contemporary and more extensive  
32 training in statistics would preferably be involved in  
33 these sorts of validations.

34

35 Q. Recommendation 3, which is above that, relates to  
36 acceptance criteria being objective rather than related to  
37 a previous instrument?

38 A. Yes.

39

40 Q. Then recommendation 5 says that that criteria should  
41 be set by professional statisticians --

42

43 THE COMMISSIONER: Those criteria.

44

45 MS HEDGE: I'm sorry.

46

47 Q. -- that those criteria should be set or devised by

1 a professional statistician. Do you see that?

2 A. Yes.

3

4 Q. And so that also is directly referable to concerns  
5 that you found in the validations; is that right?

6 A. That's right. And can we just, whilst we're on this  
7 page - on line 2670, the first word there says "less", it's  
8 saying "less than". It should actually say "greater than"  
9 in order for that example I've given to be consistent.  
10 That's just a small note that I found the other day.

11

12 Q. Thank you. I should have asked you whether you had  
13 any other corrections. Do you have any other corrections  
14 to the report?

15 A. No. That was the only - the one that I found.

16

17 Q. Thank you. Can I ask you now a little about  
18 experimental design. Can we turn to page 10 of the report.  
19 At the bottom of the page, looking at that "3500xL Genetic  
20 Analyzer Validation for Reference samples Amplified with  
21 Powerplex21", you say:

22

23 *There are a number of aspects of this*  
24 *validation that have not been carried out*  
25 *in an appropriate manner.*

26

27 Do you mean by saying that that what has been done fell  
28 below best practice?

29 A. Yes, that's right.

30

31 Q. You identify:

32

33 *In particular the assessment of*  
34 *sensitivity, repeatability and*  
35 *reproducibility have not been carried out*  
36 *appropriately.*

37

38 Could I ask you to briefly explain what those three terms  
39 or issues mean?

40 A. Yes. Sensitivity is when you are testing the limits  
41 of the instrument with regards to whatever analyte it's  
42 testing. So in this case, it would be amplified DNA  
43 product. A sensitivity test would determine when you have  
44 generated different levels of amplified DNA profiling  
45 product, so in this case using PowerPlex 21, at what point  
46 do you reach a lower concentration where those analytes are  
47 no longer detected, so you will no longer see peaks

1 appearing in a DNA profile, and at the other end, as you  
2 generate more and more DNA, at what point do the peaks  
3 become so intense that they start to saturate the  
4 instrument with the detection levels of the instrument. So  
5 the sensitivity analysis looks at how sensitive that  
6 instrument is to different concentrations of the analyte of  
7 interest.

8

9 Repeatability is a test generally that looks at how  
10 repeatable results are when an analyst runs them multiple  
11 times, so one analyst runs them multiple times typically on  
12 the same day. Reproducibility extends that to see the  
13 amount of variation there is between results when - the  
14 same results run by different analysts on different days  
15 and potentially on different instruments.

16

17 Q. Then at the top of page 11, if scroll down, your  
18 conclusion on that particular validation was that while  
19 there was no evidence of unreliable DNA profiles being  
20 produced, there are aspects of the validation that warrant  
21 revisiting; do you agree with that?

22

A. Yes.

23

24 Q. For this particular validation, how it was done fell  
25 below best practice, but you're not concerned by  
26 unreliability of output?

27

A. That's right.

28

29 Q. I'm sorry, go on?

30

A. I was just going to say that that is - in general,  
31 a lot of my findings fall into that sort of category, where  
32 perhaps the data could have been analysed in a more  
33 appropriate way or more extensively, but there's no  
34 indication that unreliable results are being produced.

35

36 Q. In fact, there are two more examples of exactly that  
37 immediately below that, that one and the next one that you  
38 can now see on the screen from line 329 to about 350 -  
39 those two validations also had aspects that had not been  
40 carried out in accordance with best practice, but no  
41 evidence of unreliability?

42

A. Yes.

43

44 Q. Does that suggest that as well as the need for  
45 expertise in statistics, there is a need for expertise in  
46 experimental design in the Queensland laboratory - an  
47 increase in that expertise?

1 A. Yes.

2

3 Q. How would that be obtained? How would the skills of  
4 the staff there be increased to ensure that there are no  
5 such experimental design errors in the future?

6 A. There are courses and training that can be undertaken  
7 which deal with aspects of experimental design. Often  
8 courses that fall under the umbrella of biostatistics will  
9 deal with experimental design.

10

11 Q. So is that the same sort of formal qualifications you  
12 are recommending for statistics, or are they separate?

13 A. I would imagine that an appropriately targeted  
14 training course would include both aspects of experimental  
15 design and the properties of formal statistics. You could  
16 probably find training courses that concentrated on those  
17 aspects as individual aspects, but a properly targeted  
18 training course I imagine would cover both.

19

20 Q. Thank you. By way of summary, there were three  
21 validations that you considered fell below best practice  
22 and there was some risk of unreliability, is that right:  
23 there's the QuantTrio and Quant Studio that work together,  
24 and then there's the ProFlex machines?

25 A. Yes.

26

27 Q. Can we deal with the QuantTrio and Quant Studio first.  
28 As I understand it, the QuantTrio is the machine or  
29 instrument, and the Studio is the software that works along  
30 with it; is that correct?

31 A. QuantiFiler Trio is the quantification kit that's used  
32 to carry out the quantification, so the reaction mixture  
33 itself, and the Quant Studio is the hardware, the  
34 laboratory equipment that runs that QuantTrio reaction.

35

36 Q. Your conclusion on those two together - can we turn to  
37 page 32, please, operator, and zoom in on that middle  
38 paragraph - was that while the general part of the  
39 validation may have been acceptable, the determination of  
40 the limit of detection was not best practice?

41 A. That's correct.

42

43 Q. In particular, at line 1067 you identify that there  
44 was no analysis of solutions that had a concentration of  
45 DNA below 0.001 ng/ $\mu$ L?

46 A. Yes, in that limit of detection section of the  
47 validation report, they tested the DNA between 0.09 and

1 0.001 ng/ $\mu$ L.  
2

3 Q. Can you just explain for us why that means that you  
4 don't know what the limit of detection is if you only test  
5 in that range?

6 A. I believe from their validation report, in all of  
7 those samples, they detected DNA. So a limit of detection,  
8 in a sort of formal statistical way when you are  
9 determining that for a quantification instrument, it's  
10 usually set at a level whereby your probability of  
11 detecting DNA is less than a predefined value, so typically  
12 95 per cent, so your limit of detection is defined as the  
13 concentration of DNA which you won't be able to detect DNA  
14 in your instrument more than 95 per cent of the time.  
15

16 In this particular validation, DNA was detected all  
17 the time, including the values of 0.001 ng/ $\mu$ L, so they  
18 haven't technically found the limit of detection. They  
19 have found some value just above that limit of detection,  
20 and to fill out and formally determine the limit of  
21 detection would require some additional steps between 0.001  
22 and going down to zero, so blanks, and then using all of  
23 that data, I suppose, and formally analysing all of that  
24 data to find the point at which you reach that 95 per cent  
25 chance of detecting DNA, so the concentration that  
26 corresponds to that value.  
27

28 Q. If we can turn to the recommendations to resolve that  
29 issue, if we turn to page 82, looking at recommendation  
30 number 9, this is the testing that you say should be done  
31 to appropriately determine the limit of detection; is that  
32 right?

33 A. Yes, that's right.  
34

35 Q. And then recommendation 10 is what should happen in  
36 the meantime, before that - on the assumption that work is  
37 done, what should happen between now and when that work is  
38 done, and you say that:  
39

40 *If a LOD value for QuantiFiler Trio is*  
41 *going to be used as a decision threshold,*  
42 *then until its value has been appropriately*  
43 *calculated ... all quantified DNA samples*  
44 *should be treated (with respect to decision*  
45 *making or laboratory processes) as though*  
46 *they have exceeded the LOD.*  
47



1 A. Yes.

2

3 Q. I just want to put that in some practical terms around  
4 how the Queensland laboratory operates. You understand  
5 that the Queensland laboratory uses the LOD, being  
6 0.001 ng/ $\mu$ L, to determine that samples will be reported as  
7 no DNA detected and not further processed?

8 A. Yes, I understand that.

9

10 Q. So is the practical outcome of recommendation 10 that,  
11 in your view, until the work is done that is in  
12 recommendation 9, the Queensland lab should not report any  
13 sample as no DNA detected and should process all of them?

14 A. Yes, or they should treat them as they would treat  
15 other samples with a low quantification value.

16

17 Q. So they should treat them as though they have  
18 a quantitation value above 0.001 ng/ $\mu$ L, however it is that  
19 they treat those other things?

20 A. Correct.

21

22 Q. Thank you. Can we turn, then, to the ProFlex  
23 machines. Can we turn to page 72, please, of your report,  
24 and the middle section under "11.9 Overall conclusion".  
25 These are your conclusions in relation to the ProFlex  
26 validation?

27 A. Yes.

28

29 Q. Now, as I understand it, you identify three reasons  
30 why the ProFlex validation was not conducted in accordance  
31 with best practice, the first being that STRmix was not  
32 used in the validation but was included after  
33 implementation?

34 A. Yes, that's right.

35

36 Q. The second being the number and variation of the  
37 samples that were processed?

38 A. Yes.

39

40 Q. And the third being the pooling or grouping of all of  
41 the ProFlex instruments as a group rather than looking at  
42 each of them individually for the generation of Model Maker  
43 parameters; is that right?

44 A. Yes. Ultimately it may be fine to pool all of the  
45 results from the ProFlex instruments, and it's likely that  
46 that will be the ultimate outcome from - and I say that  
47 with other research in mind where the differences between

1 different instruments of the same model have been tested.  
2 But until they show that some of those instruments are  
3 performing similarly, then best practice would be to test  
4 some of those instruments, or test those instruments  
5 against each other or individually to ensure that they can  
6 be pooled safely.

7  
8 Q. Can you just explain for us what is involved in  
9 generating Model Maker parameters, just what that concept  
10 means?

11 A. Sure. If you think about this from a very high level  
12 about the way that STRmix works, you have DNA profile data  
13 and you have models and you have results, and you can think  
14 of these as like three points of a triangle. When you are  
15 using STRmix in everyday casework, you give it your DNA  
16 profile data, and you have the models within STRmix and it  
17 uses those two points to complete the third point of the  
18 triangle, in that it gives you the results.

19  
20 Model Maker is a system of STRmix which calibrates  
21 STRmix for data produced in your lab, and in order for  
22 Model Maker to run, you provide it the profiles and you  
23 provide it the results, in that you tell STRmix what the  
24 DNA profiles you are providing it should be, and it uses  
25 those two points of the triangle to complete the third,  
26 which is the models. So it really informs STRmix of what  
27 models it should be using to be best suited to your  
28 laboratory.

29  
30 What you would need to do in order to run a STRmix  
31 analysis is to provide it some profiles, and the best  
32 practice is to provide - well, the recommendation is to  
33 provide approximately 100 samples, or at least 50 but  
34 preferably 100 samples, of a range of DNA concentrations,  
35 and these are single-sourced DNA profiles, so DNA from  
36 a single contributor, and they can be used to generate  
37 these Model Maker settings that are ultimately then used in  
38 STRmix.

39  
40 Q. If those Model Maker settings are wrong or incorrect  
41 in STRmix, would that affect the likelihood ratios that  
42 STRmix outputs?

43 A. That is a possibility. Studies have shown that STRmix  
44 is reasonably robust to small changes in these settings,  
45 but if you had dramatically different settings or dramatic  
46 changes in those settings, then that's going to affect the  
47 likelihood ratios that are produced.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25  
26  
27  
28  
29  
30  
31  
32  
33  
34  
35  
36  
37  
38  
39  
40  
41  
42  
43  
44  
45  
46  
47

Q. At line 2370 that we see there, you conclude that you cannot say that it's unreliable, but equally there is limited ability to demonstrate reliability?

A. Correct.

Q. So at this stage, it is just unknown yet whether the settings are reliable or unreliable?

A. Yes, and this is largely based on this ProFlex validation which didn't involve STRmix. It mainly looked at the average peak heights or compared the average peak heights across the ProFlex instruments, because, as I understand just from perhaps testimony a couple of days ago and an email received a couple of days ago, STRmix has been used to generate Model Maker settings for the ProFlex, but there was some error with the way that that was carried out.

Q. We will come to that. Can we turn to page 13, please, operator. This is part of your executive summary about the same validation for ProFlex. At the bottom of the page, at line 418 you say that same or a similar thing, that there is a risk of unreliable results being produced and reported, ultimately reflected in the likelihood ratio produced to QPS, if there is an undiagnosed divergence in performance - and we just don't know whether there is or not yet. However, you say at line 422 you do not believe a suspension of laboratory functions is required while this additional validation work is carried out, and your opinion is based on three matters, which include three factors - the current STRmix settings, the robustness that you just described, and number three is the ability of well-trained and expert scientists to identify issues?

A. Yes.

Q. Now, after this report, were you provided by the Commission an email from Ms Emma Caunt, which I will have up on the screen, [WIT.0004.1245.0001]. There might be a redacted version. Thank you. Ms Caunt, through this email - the Commission provided you this email yesterday, I believe?

A. It may have been the day before yesterday, yes.

Q. All right, yesterday or Wednesday. It explains that although the laboratory did run a Model Maker experiment, there was some error found and they didn't implement those results?

1 A. That's right.

2

3 Q. So you now understand that the settings in STRmix  
4 relate to the instrument that existed in the laboratory  
5 before the ProFlex, which was the 9700?

6 A. Yes.

7

8 Q. So going back to page 13 of your report, does that  
9 extra information change any of those three factors in your  
10 mind - number 1, 2 or 3?

11 A. Yes, it does. It changes - most significantly it  
12 changes the first of those factors, because obviously that  
13 first factor is now no longer the case. The results from  
14 the pooled ProFlex instruments are not being used in  
15 STRmix; they're using the 9700. So the first factor is  
16 discounted.

17

18 I suppose it also then plays partially into the second  
19 factor, because we now are in a state where we have STRmix  
20 settings produced on the 9700, and there are a series of  
21 ProFlex instruments, as I understand it, which have not had  
22 any valid Model Maker analysis carried out on them, so we  
23 simply don't know quite how well they do align with the  
24 9700 STRmix settings. So if we were to still accept that  
25 everything that is being produced is being produced  
26 reliably, it requires an alignment of the 9700 and the new  
27 ProFlex instruments, which we simply don't have that  
28 information about. So it affects the first and second  
29 points.

30

31 The third point it doesn't affect so much, because  
32 still if there were dramatic differences in the data being  
33 produced to the results, the DNA profiles being produced to  
34 the likelihood ratios, you would expect that, again,  
35 well-trained experts, expert scientists, would pick up  
36 those dramatic differences. I suppose that the risk lies  
37 that there might be mild differences, systematic mild  
38 differences occurring that are not so easily picked up,  
39 particularly when profiles are complex.

40

41 Q. Thank you. You have also had the opportunity, in the  
42 context of Ms Caunt's email, to review both the January  
43 2022 Model Maker results for Project #199 and the March  
44 2022 Model Maker results for Project #199, which are  
45 numbers 16 and 17 on the list that we have provided?

46 A. Yes.

47

1 Q. Is that right, you have re-looked at those two  
2 documents in the last day or two?

3 A. Yes, that's right.  
4

5 Q. Taking into account those documents, what you have  
6 been told by Ms Caunt and your knowledge of these  
7 validations, what is your view now as to whether  
8 a suspension of laboratory functions is required?

9 A. I suppose I might start just by saying that the March  
10 minor process change report that you're referring to does  
11 set out the type of analysis that would be required to have  
12 faith in the reliability of the results being produced.  
13 That particular validation report is quite well carried out  
14 and has quite rigorous statistics in it and does give some  
15 comfort to me about the fact that the results - it gives  
16 some indication that the results of the ProFlex instruments  
17 and the 9700 are probably performing quite close together.  
18

19 However, there is still the issue that the Model Maker  
20 results that that report relies on, that have been  
21 produced, have some error, and I'm not sure what that error  
22 is, but it casts doubt on the reliability of those ProFlex  
23 Model Maker results that that report relies on.  
24

25 So still we're somewhat left in a state where we have  
26 Model Maker settings being used for a previous instrument  
27 and we have a series of ProFlex instruments where we don't  
28 have any valid Model Maker results to which we can compare  
29 values between those instruments and the previous  
30 instrument.  
31

32 So I think that the best practice solution to this  
33 particular issue would have to be that as a matter of  
34 urgency, the ProFlex instruments, the data produced on  
35 those instruments, is put through Model Maker, and that can  
36 be done initially in a pooled manner and that wouldn't take  
37 too long to carry out that sort of analysis, and those  
38 settings are compared to the 9700 instrument and then  
39 adopted in STRmix. And that should be done really, as  
40 I say, as a matter of urgency and before further work is  
41 produced by the laboratory.  
42

43 Q. When you say "further work is produced by the  
44 laboratory", that would affect only the running of STRmix;  
45 is that right?

46 A. Correct.  
47

1 Q. So it would be open to the laboratory, while that work  
2 is being done, to continue to use the ProFlex, but just not  
3 to use the ProFlex results through STRmix?

4 A. Yes, that's right, until we know that those ProFlex  
5 instruments are behaving in a similar manner to the 9700 or  
6 until results are adopted - or have been produced or  
7 adopted that reflect the performance of those 9700  
8 instruments. That would be my recommendation.

9  
10 Q. In that last sentence you said then "if they have  
11 adopted results for the" - and you said 9700. Did you mean  
12 ProFlex then?

13 A. I meant ProFlex.

14  
15 Q. Thank you. And you just said that that work, that is,  
16 to identify the Model Maker parameters for the ProFlex  
17 machines in a pooled way as an urgent fix before the  
18 longer-term, more thorough fix - that interim thing you  
19 described, you said it wouldn't take very long. Is that  
20 because it is effectively a statistical exercise or --

21 A. Yes. So they should be able to use the same data that  
22 they have already generated and already used in the March  
23 report, the minor change report that you have highlighted,  
24 and they even already have a framework of how to carry out  
25 the statistical analysis of that data, so it's really just  
26 a matter of rerunning those results, these laboratory  
27 results that they already have, through Model Maker without  
28 whatever the error was that was originally the cause of  
29 invalidating that Model Maker result. And Model Maker runs  
30 generally will take less than a day to complete, so this  
31 work could be done relatively quickly.

32  
33 Q. I suppose the caveat on that view is what the error  
34 was - that is, if the error that was made relates to the  
35 results, then there might need to be new results created;  
36 is that fair?

37 A. Yes, my understanding is that the error was in the way  
38 that the Model Maker analysis was set up. But if the error  
39 was in the operation of the ProFlex instruments, then, yes,  
40 you would have to regenerate the data in the ProFlex  
41 instruments.

42  
43 Q. Thank you. Could I turn to the final validation  
44 I will raise with you in the oral evidence. It is page 14  
45 of the report, please. This is the QIA symphony, at the  
46 bottom of the page there, for bone extraction. It starts  
47 right at the bottom of the page, and if we can just scroll

1 on to the next page, there was some inappropriate use of  
2 statistical tests there, but in your view - this is at  
3 line 472:

4  
5  
6  
7  
8  
9

*... the methods chosen were done so  
appropriately and there is no evidence to  
suggest that unreliable results would be  
produced.*

10 Do you see that?

11 A. Yes.

12

13 Q. There were two reports that came together for the  
14 validation. There was the original Project #192 report and  
15 then the supplementary repeatability and reproducibility  
16 report, which are April 2018 and then March 2020, items  
17 number 19 and 20 on the list which is exhibit 88. You have  
18 reviewed both of those reports?

19 A. Yes.

20

21 Q. In the first of those, could we turn to  
22 [FSS.0001.0025.5114], and could we go on about four pages,  
23 I believe. These are the 10 bones that were used to  
24 conduct experiments in this validation?

25 A. Yes.

26

27 Q. We see there their original quant range, which was, as  
28 you understand it, what they were tested to have in terms  
29 of quantitation well before this experiment, so outside of  
30 the experiment, in normal casework?

31 A. Yes.

32

33 Q. Can we turn to the next page now. One issue that has  
34 been raised is that in table 2, some of those numbers are  
35 quite different to the numbers we saw on the previous page,  
36 particularly, for example, sample 2, which on the previous  
37 page was 10 to 20, and is here 1.883, although others are  
38 of course close - number 1 was more than 50 and is 53 or 47  
39 on this page. So there are some close and some not. Can  
40 you tell us your view about whether that variability is  
41 a concern?

42 A. There are a few different points here that we need to  
43 look at. I suppose immediately, just looking at these  
44 results, I'm not overly concerned, and I say that for a few  
45 reasons. One is that DNA extractions can be quite  
46 variable, and in particular for bone samples it can depend  
47 on the exact method that the samples were taken. So if the

1 samples were - if you have taken multiple samples from  
2 multiple different areas, even from the same bone, there  
3 can be differences in the amount of DNA that you are going  
4 to retrieve from those particular samples. So it depends  
5 a little bit on how the experiment was set up and whether  
6 the bones were powdered and the powder was homogenised or  
7 whether the samples were kept separate, as to how much  
8 variability you are going to see.  
9

10 The other aspect to consider here - and this goes a  
11 little bit towards experimental design - is that with these  
12 quantitation results from experiment 1, there is only  
13 a single extraction that has been carried out, and that's  
14 not unusual for bone DNA extraction validations, but it  
15 doesn't give any indication of the amount of variability  
16 you might expect from the data. So whereas sample 2 here  
17 has given a quantitation result of around 2, whereas  
18 previously it was 10 to 20, we don't know the variability  
19 and, in fact, it could be that upon re-extraction it gave  
20 a result of 15 or 25. So it's hard to say from a single  
21 point whether or not to be concerned.  
22

23 I suppose my - when you have that sort of a situation,  
24 it's hard to look at isolated samples or isolated results  
25 to draw a conclusion. What I personally tend to do is try  
26 to look at the data more in a holistic way and say with  
27 large quantitation results, still generally large  
28 quantitation results upon re-extraction and were the  
29 mid-range ones still generally mid-range and were the low  
30 ones still generally low - as long as that general  
31 relationship is there, then I'm generally not too  
32 concerned.  
33

34 Q. And that relationship is there in this particular  
35 validation?

36 A. In general, yes. There are one or two that are  
37 a little bit divergent, but in general that is the case,  
38 yes.  
39

40 Q. Now, you said that that's often the case in bone  
41 validations, that there might only be one run of each  
42 sample through the extraction. Is that because of the  
43 rarity or scarcity of bone samples?

44 A. Yes, typically with bone sample validations, one of  
45 the difficulties and one of the limiting factors to how  
46 much experimental work can be done is the availability of  
47 bone, and that's either - that can be availability in



1 a physical sense, as in you don't have much bone to test;  
2 it can also be an availability issue for legal reasons, or  
3 legislation, and how much you are allowed to use human bone  
4 samples for validations or research purposes.

5

6 Q. So if this validation had not been for bones but had  
7 been for some other sort of samples, would you say  
8 extracting each of the samples once would have fallen below  
9 best practice?

10 A. Typically, if you are going to carry out an evaluation  
11 of different DNA extraction techniques and you had a much  
12 easier to obtain sample, such as cigarette butts or  
13 tape-lifts or swabs or those sorts of things, the size of  
14 the study would be much larger, because the availability of  
15 those samples would have been much easier.

16

17 Q. So do you say, then, that the reason that one run  
18 doesn't fall below best practice is because it is a bone  
19 validation?

20 A. Yes, and that view is formed from a small literature  
21 review I carried out in the last few days to look at other  
22 published studies of bone extractions and to see what sort  
23 of level of experimentation was done in those published  
24 studies.

25

26 Q. Thank you. Now, you have been provided by the  
27 Commission an email from Mr Parry, [WIT.0009.0022.0001\_R].  
28 You have seen this email?

29

A. Yes.

30

31 Q. I understand you have also read some parts of  
32 Mr Parry's transcript of evidence?

33

A. That's right.

34

35 Q. Is it the case that you agree with Mr Parry that there  
36 is some variability in the data, but in your view, it's not  
37 so significant as to need the validation to be redone?

38

39 A. There is definitely a large degree of variability in  
40 the data, and particularly some of the reproducibility and  
41 repeatability results show quite a large range of  
42 variability. I suppose my stance is that I don't have  
43 a good feeling for how much variability you do tend to get  
44 from these types of bone extraction validations. And I did  
45 try to find, in the last day or so, again, some published  
46 examples of validations where I could increase my knowledge  
47 insofar as how much variation to expect, as that would be  
my sort of standard fallback when I don't have knowledge

1 about something, is to look to see in literature what  
2 others have done.

3  
4 I couldn't really find any studies that showed these  
5 reproducibility or repeatability studies, so I don't have  
6 a good feeling for how much variability you might expect in  
7 bone samples.

8  
9 Mr Parry does express concerns about the level of  
10 variability, and from what I have seen of Mr Parry's  
11 testimony and his reports, he has quite a high level of  
12 knowledge about these types of tests and about statistics,  
13 and so I would, I suppose, defer to his comments in this  
14 regard.

15  
16 Q. Can I just deal with one final thing. Page 81 of the  
17 report, please, operator. Recommendation 7 that you make,  
18 amongst a number of other recommendations that we haven't  
19 gone through orally, to improve the general process within  
20 the laboratory of doing validations - recommendation 7 is  
21 that:

22  
23 *Of the members that sign off validation*  
24 *reports, at least one should be external to*  
25 *the group who is carrying out the*  
26 *validation.*

27  
28 A. Yes.

29  
30 Q. I just wanted to confirm that by "group", does that  
31 mean that someone from the reporting team might sign off on  
32 a validation done by the analytical team, or do you mean  
33 someone entirely external to forensic DNA?

34 A. I was thinking of perhaps someone external to forensic  
35 DNA, so perhaps someone - Queensland Health is obviously  
36 quite a large organisation; they might have other groups -  
37 people in other groups outside the forensic group that  
38 could sign off on these sorts of validations as them being  
39 valid, or of course you could look for this external person  
40 to be outside the state, in another forensic laboratory, to  
41 sign off the validation. That would just, I suppose,  
42 provide some level of consistency between laboratories or  
43 groups and stop any, I guess, siloing of the way that  
44 validations are done or thought processes that can  
45 sometimes develop within a small group that's constantly  
46 working together.

47

1 MS HEDGE: Thank you. Those are my questions.

2

3 THE COMMISSIONER: Ms Hedge, it occurred to me that  
4 Dr Taylor's evidence in relation to the ProFlex and 9700  
5 issue has a connection with exhibit 5 to Ms Rika's  
6 statement. Would you mind looking at that?

7

8 MS HEDGE: Yes, I will just do that. This is the email  
9 trail in January 2022?

10

11 THE COMMISSIONER: Yes. She was concerned that --

12

13 MS HEDGE: They hadn't done that work?

14

15 THE COMMISSIONER: -- that the Model Maker issue had not  
16 been resolved, and that culminated in the email from  
17 Mr Howes informing her that the validations demonstrated  
18 that the ProFlex machines were comparable to the 9700 and  
19 that - in any event, you see the email. Is that something  
20 Dr Taylor should comment upon or not?

21

22 MS HEDGE: I don't believe so, for this reason, that after  
23 this email, they did the January 2022 report and then the  
24 March 2022 report, trying to set the Model Maker  
25 parameters, and so he has reviewed those two reports. I'm  
26 happy to show him and see whether it does affect his  
27 opinion. Why don't we do that, in case I'm missing  
28 something.

29

30 THE COMMISSIONER: Yes, yes.

31

32 MS HEDGE: Q. It is [WIT.0006.0152.0001\_R]. In January  
33 2022, Dr Taylor, Ms Rika, who was a senior scientist in the  
34 reporting team, wrote an email saying that they hadn't done  
35 Model Maker, and this is one of the responses from the team  
36 leader of the reporting and intelligence team.

37

38 A. Okay, I will just have a read through. Okay.

39

40 Q. Does that affect your opinion about what needs to be  
41 done on the ProFlex and Model Maker settings before further  
42 use of STRmix?

43

44 A. No, my same opinion would stand.

45

46 THE COMMISSIONER: Thank you.

47

48 MS HEDGE: Thank you, Commissioner.

49

1 THE COMMISSIONER: Q. Dr Taylor, I just want to ask you  
2 a couple of things. One is that I get the impression from  
3 your evidence that when one is contemplating a validation  
4 process, what one is doing is designing an experiment which  
5 will produce results which will undergo statistical  
6 analysis in order to arrive at the answer whether the  
7 instrument can begin to be used without affecting the  
8 reliability of results, or, rather, to ensure that the  
9 results obtained are still reliable - that is, there is  
10 a process of experimental design with a view to producing  
11 data for statistical analysis. Would that be right?

12 A. Yes, that's right, and a part of that is to show that  
13 the instrument is performing reliably, and that may be to  
14 some predefined criterion or it might be by comparison to  
15 some other instrument, if it's particularly important to  
16 show that, and then also to show the limits of use, so at  
17 what point do the results perhaps become unreliable.

18  
19 Q. So because numerical data are produced and because  
20 those data are going to be analysed using statistical  
21 techniques, it's inherent in the experimental design that  
22 one has to have a knowledge of statistics, because you are  
23 generating something that you want to render amenable to  
24 valid statistical analysis?

25 A. Yes, I would agree.

26  
27 Q. So you would expect that those people who formulate  
28 these experiments, design these experiments, have adequate  
29 training in statistics?

30 A. Yes.

31  
32 Q. The other thing I wanted to ask you is this: I gather  
33 that in your professional work, you from time to time speak  
34 to your colleagues in other labs in other jurisdictions?

35 A. Yes, and overseas as well.

36  
37 Q. Have you yourself ever been instructed by management  
38 in your laboratory not to consult with scientists in other  
39 labs who are seeking your advice?

40 A. No, they have never expressed that opinion or that  
41 desire.

42  
43 THE COMMISSIONER: Thank you. Anything arising out of  
44 that, Ms Hedge?

45  
46 MS HEDGE: No, thank you.

47

1 THE COMMISSIONER: Thank you, Dr Taylor, for your  
2 assistance and for your very detailed report.

3  
4 THE WITNESS: Thank you.

5  
6 MS HEDGE: There is still cross-examination.

7  
8 THE COMMISSIONER: Did somebody want to cross-examine?  
9 Yes. I'm sorry, I thought I was told that nobody wanted to  
10 cross-examine. That's why.

11  
12 MS HEDGE: I understand it is not going to be very  
13 lengthy, but I expect there is some.

14  
15 THE COMMISSIONER: No, that's fine. Go ahead. I was told  
16 the contrary. Please, go ahead.

17  
18 <EXAMINATION BY MS CARTLEDGE:

19  
20 MS CARTLEDGE: Q. Dr Taylor, my name is Sarah Cartledge.  
21 I represent the Queensland Police Service. I just have  
22 a few clarifying questions for you.

23  
24 It is the case that you have reviewed multiple of  
25 these validation reports and instruments, and you provided  
26 evidence that in general your opinion is that although the  
27 data could have been analysed better, in all of your  
28 conclusions, putting to one side this ProFlex issue, in all  
29 your other conclusions, the case is that there is no  
30 indication that unreliable results were being produced?

31 A. That's correct.

32  
33 Q. And that is that the DNA profiles that are being  
34 obtained from these machines and using these instruments -  
35 there is nothing to suggest they are unreliable?

36 A. Yes, that's right. So whether it be a quantitation  
37 value or whether it be a DNA extract being produced or  
38 a DNA profile being produced, there was nothing in the  
39 validation reports that made me think that the instruments  
40 themselves weren't performing.

41  
42 Q. I just want to clarify some points in relation to this  
43 issue surrounding the ProFlex machine and some information  
44 you were provided by Ms Caunt which ultimately changed your  
45 conclusion in relation to the reliability of results. Is  
46 it the case that STRmix assists with the deconvolution of  
47 mixed profiles?

1 A. Yes, that's right.

2

3 Q. That is, it assigns the various alleles to the various  
4 contributors in the mix?

5 A. Yes, you can think of it like this: if you have  
6 a complex DNA profile with DNA from multiple people in  
7 there, ultimately what you would like to know is the  
8 reference profiles of all the people who have individually  
9 contributed to that mixture. So what STRmix will do in  
10 this process, typically referred to as deconvolution, is  
11 try to detangle that mixture and it will give you the lists  
12 of all the possible reference profiles that could have made  
13 it up and it will weight those according to how well it  
14 describes the mixture.

15

16 Q. Then is there any risk that an inappropriate setting  
17 could result in an incorrect assignment of alleles?

18 A. Yes. It depends on which setting we're talking about  
19 and it depends on how incorrect we're talking about. So in  
20 general, we've found that STRmix is quite robust to  
21 changes, mild changes in settings - that is, you can change  
22 settings to some mild degree and it doesn't really affect  
23 the ultimate output very much. But of course you could  
24 change the settings to a large degree, and it would have  
25 a dramatic change on the output, and if the settings that  
26 you chose were quite badly misaligned to the way that data  
27 is being produced in the laboratory, then ultimately the  
28 results produced by STRmix are not going to be reliable.

29

30 Q. In terms of the issues we have discussed in relation  
31 to the Model Maker and STRmix, is that something that is  
32 likely in this case - a likely concern?

33 A. My general feeling is that the - whatever the error  
34 was in using Model Maker, my general feeling, and this is  
35 based largely on that March minor changes report to do with  
36 the ProFlex instrument, is that the error hasn't  
37 dramatically affected the results of the Model Maker  
38 analysis. However, I am saying that somewhat in a bit of  
39 a data vacuum, and best practice would be to generate those  
40 results without whatever the issue was present so that you  
41 could be sure that those Model Maker settings were  
42 appropriately set.

43

44 Q. Would it be your expectation, then, that an individual  
45 could be incorrectly included as a contributor to the  
46 mixture as a result of this Model Maker issue?

47 A. It's a possibility. I mean, just to outline - or to

1 be exhaustive here, it's a possibility that an individual  
2 could be more strongly included to a mixture than perhaps  
3 what the data warrants; it could be that that person is  
4 more strongly excluded to a mixture than what the data  
5 warrants; or it could be that there is no change or very  
6 little change.

7  
8 Q. But is it safe to say that your view, though, is that  
9 it's likely it's not as dramatic a change as that, and  
10 therefore it's unlikely that such a significant inclusion  
11 or exclusion would occur?

12 A. That is my impression, yes.

13  
14 Q. It's also the case that STRmix is used to assign  
15 a likelihood ratio that we've discussed to the proposition  
16 that an individual contributed to the mix? Sorry, that's  
17 the case, isn't it?

18 A. Yes, that's the case.

19  
20 Q. You may have touched on this in your previous answer,  
21 but what impact, then, could a setting have on the  
22 likelihood ratio calculated?

23 A. It depends a lot on the setting and how it was changed  
24 and how ultimately Model Maker dealt with that change, but,  
25 again, it could be that the likelihood ratio is increased  
26 or it could be that the likelihood ratio is decreased or it  
27 could be no change as a result of changing one of those  
28 settings. It is very setting dependent and it is very  
29 profile dependent.

30  
31 Q. Is it likely in this case, however, that if the  
32 likelihood ratio was adjusted, it would be only by a small  
33 amount of no real consequence?

34 A. I suspect, if we follow on from my previous answer  
35 where I suspect that there's not going to be a dramatic  
36 change in the settings, that that would follow on to be  
37 that I don't suspect there would be a dramatic change in  
38 the likelihood ratio, either. But I would just echo the  
39 previous comment that I made: really, this should be  
40 checked as a matter of urgency by carrying out that Model  
41 Maker analysis where the issue hasn't occurred, because we  
42 don't really know what effect whatever the error was has  
43 had on the Model Maker analysis.

44  
45 Q. Just in relation to that point, and you have  
46 recommended a suspension of testing until that is resolved,  
47 what is your recommendation, then, in relation to results

1 that have already been reported that would be impacted?  
2 A. At this stage, my recommendation would be - well, my  
3 recommendation would be to carry out this analysis as  
4 a matter of urgency to see whether or not there is a large  
5 difference in the pooled ProFlex Model Maker results to the  
6 9700 results, and if you found that there was no dramatic  
7 difference between those two sets of results, then there's  
8 no consequence to any profiles that have been previously  
9 reported. If you found for some reason there was  
10 a dramatic divergence of the correctly run Model Maker  
11 settings from the ProFlex instruments to the previous 9700  
12 results - and just to reiterate, I don't think that this  
13 will be the case, but if you did find that - then you would  
14 potentially have to go back and revisit previous analyses  
15 that had been done.

16  
17 Q. Are you able to qualify at all, when you speak of  
18 a dramatic divergence, the parameters of what you mean by  
19 "dramatic"?

20 A. I can't - the best way I could probably define it  
21 would be that when you carried out tests of the likelihood  
22 ratio for a number of mixtures where you know the answer  
23 and you compared those likelihood ratios produced using  
24 your current settings and then using the new, appropriately  
25 generated ProFlex settings, if there were large differences  
26 in those likelihood ratios, so perhaps many orders of  
27 magnitude shift in the likelihood ratio, that would be  
28 a dramatic change.

29  
30 MS CARTLEDGE: Yes, thank you. Thank you, Commissioner.

31  
32 THE COMMISSIONER: Thank you, Ms Cartledge. Mr Rice?

33

34 <EXAMINATION BY MR RICE:

35

36 MR RICE: Q. Dr Taylor, I represent Queensland Health.  
37 I just want to ask you a couple of questions also about the  
38 ProFlex instrument. To recap, a day or two ago, you were  
39 provided the information that Ms Caunt identified about an  
40 error with respect to the Model Maker settings, and I think  
41 you made a recommendation in response to that; correct?

42 A. Correct.

43

44 Q. I understand your recommendation, which I think you  
45 have also repeated today, was that the laboratory determine  
46 what the correct Model Maker settings or parameters were as  
47 soon as possible using data pooled from all of the ProFlex



1 machines?

2 A. Yes.

3

4 Q. If you would assume, as I'm asking you to assume, that  
5 that work is being done now with the involvement of  
6 Ms Caunt, with an estimated completion sometime Monday,  
7 firstly, is that estimated completion realistic, according  
8 to the time frame you have mentioned earlier in your  
9 evidence?

10 A. I believe so. Running a Model Maker analysis itself  
11 doesn't take a very long time. It would typically take  
12 less than a day to complete that analysis. You then have  
13 to do your comparison of that Model Maker analysis with  
14 your previous generation of settings, so on the 9700  
15 instrument. From the March update to that validation  
16 report, it appears that Queensland - the forensic science  
17 centre there has a framework already for carrying out that  
18 comparison, so it's really just a matter of rerunning those  
19 same analyses to ensure that nothing dramatic has changed.  
20 So I think Monday would be a reasonable possibility.

21

22 Q. If we further assume that that work that you have  
23 recommended and just described is successfully carried out,  
24 could we expect by sometime Monday that the laboratory will  
25 be effectively returned to the position as you described it  
26 originally in your report at pages 13 going over to  
27 page 14, when you mentioned those three factors in  
28 combination?

29 A. Yes, it would then sit at that particular point at  
30 which I was comfortable that work could proceed.

31

32 MR RICE: Thank you.

33

34 THE COMMISSIONER: Anybody else?

35

36 MS COOPER: No, thank you.

37

38 THE COMMISSIONER: Thank you, then, Dr Taylor.

39

40 MS HEDGE: I'm sorry, I have a little bit of  
41 re-examination.

42

43 <EXAMINATION BY MS HEDGE:

44

45 MS HEDGE: Q. You were asked at the start of  
46 Ms Cartledge's questions about whether, other than ProFlex,  
47 there was any evidence of unreliability of results?

1 A. Yes.

2

3 Q. And when you were using the word "results" in your  
4 answer, you were using "results" in the sense of what the  
5 output of the instrument is, as in, for a quantitation  
6 machine, the result is 0.005 or whatever; is that right?

7 A. That's correct.

8

9 Q. So you weren't using the word "result" in the sense of  
10 results that are reported to the Queensland Police Service?

11 A. No, that's right. I suppose, when you are talking  
12 about those sorts of results, results that are reported, in  
13 order for those results to be reliable, it perhaps requires  
14 more than just the understanding of the validation work; it  
15 also requires that the people who are relying on that  
16 validation work to make decisions or report opinions have  
17 understood the validation work and are applying its  
18 findings correctly to the way that the results are being  
19 reported.

20

21 Q. As an example, using the QuantTrio/Quant Studio 5  
22 issue, if the limit of detection turns out to be less than  
23 0.001, then there will be a large amount of no DNA results  
24 that have been reported that are not accurate?

25 A. Well, they wouldn't meet the definition of how that  
26 has been - of how the limit of detection has been properly  
27 calculated at that point.

28

29 Q. And can I ask another question: the way it was put to  
30 you by Ms Cartledge was that other than ProFlex, there is  
31 no evidence of unreliability; is that right - you agreed?

32 A. Yes, yes.

33

34 Q. Is it the case, though, that if the experimental  
35 design is substandard or deficient, then in fact, as well  
36 as there being no evidence of unreliability, there is also  
37 no evidence of reliability, and you just don't know whether  
38 it is reliable or not?

39 A. Yes, that would be a fair way to summarise it.

40

41 Q. So for all of those validations where you are  
42 concerned about experimental design, as opposed to  
43 statistical analysis, if the concern is experimental  
44 design, there is no evidence of reliability or  
45 unreliability?

46 A. Correct.

47

1 MS HEDGE: Thank you.

2

3 THE COMMISSIONER: And I repeat my expression of gratitude  
4 to you, Dr Taylor, thank you very much. You are free to  
5 cut the link, if you wish.

6

7 <THE WITNESS WITHDREW

8

9 THE COMMISSIONER: Mr Hodge?

10

11 MR HODGE: That's the end of today, Commissioner. I was  
12 just asking Ms Hedge what time we will start on Monday. We  
13 will start at 9.30 on Monday. We have an expert at 9.30 on  
14 Monday, if that is convenient to you, Commissioner?

15

16 THE COMMISSIONER: It is certainly convenient to me. Does  
17 that suit the rest of you, 9.30 Monday? All right. And  
18 you will tell your colleagues what the timetable, the list  
19 of witnesses, so far as we know it is.

20

21 MR HODGE: Yes, I believe it will be Mr Cochrane first.  
22 We will let the parties know.

23

24 THE COMMISSIONER: Thank you. We will adjourn until  
25 Monday at 9.30, then.

26

27 **AT 12.54PM THE COMMISSION WAS ADJOURNED TO**  
28 **MONDAY, 17 OCTOBER 2022 AT 9.30AM**

29

30

31

32

33

34

35

36

37

38

39

40

41

42

43

44

45

46

47

<p><b>#</b></p> <hr/> <p><b>#192</b> [1] - 1456:14  <b>#199</b> [4] - 1421:23, 1423:26, 1453:43, 1453:44  <b>#87</b> [1] - 1403:29  <b>#88</b> [1] - 1440:43  <b>#89</b> [1] - 1441:14</p> <hr/> <p><b>0</b></p> <hr/> <p><b>0.001</b> [7] - 1448:45, 1449:1, 1449:17, 1449:21, 1450:6, 1450:18, 1467:23  <b>0.005</b> [1] - 1467:6  <b>0.09</b> [1] - 1448:47  <b>0007</b> [1] - 1418:14  <b>0038</b> [1] - 1412:22</p> <hr/> <p><b>1</b></p> <hr/> <p><b>1</b> [4] - 1444:29, 1453:10, 1456:38, 1457:12  <b>1.883</b> [1] - 1456:37  <b>10</b> [6] - 1446:18, 1449:35, 1450:10, 1456:23, 1456:37, 1457:18  <b>100</b> [2] - 1451:33, 1451:34  <b>1067</b> [1] - 1448:43  <b>11</b> [1] - 1447:17  <b>11.39am</b> [1] - 1440:5  <b>11.9</b> [1] - 1450:24  <b>113</b> [1] - 1442:12  <b>115</b> [1] - 1441:40  <b>12</b> [6] - 1423:9, 1423:14, 1423:25, 1424:19, 1425:47, 1439:43  <b>12.54PM</b> [1] - 1468:27  <b>12pm</b> [1] - 1412:23  <b>13</b> [3] - 1452:19, 1453:8, 1466:26  <b>14</b> [4] - 1402:20, 1412:23, 1455:44, 1466:27  <b>15</b> [5] - 1419:46, 1420:9, 1420:16, 1443:2, 1457:20  <b>15µL</b> [1] - 1418:31  <b>16</b> [1] - 1453:45  <b>17</b> [3] - 1404:2, 1453:45, 1468:28  <b>1715</b> [1] - 1443:21  <b>1717</b> [1] - 1444:13  <b>1720</b> [1] - 1444:13</p>	<p><b>1729</b> [1] - 1443:21  <b>17th</b> [1] - 1418:20  <b>18</b> [1] - 1410:11  <b>19</b> [1] - 1456:17  <b>1st</b> [4] - 1405:42, 1406:1, 1408:12, 1437:24</p> <hr/> <p><b>2</b></p> <hr/> <p><b>2</b> [7] - 1403:43, 1434:12, 1453:10, 1456:34, 1456:36, 1457:16, 1457:17  <b>20</b> [5] - 1418:26, 1421:27, 1456:17, 1456:37, 1457:18  <b>2001</b> [1] - 1441:29  <b>2005</b> [1] - 1441:29  <b>2016</b> [1] - 1441:33  <b>2018</b> [1] - 1456:16  <b>2019</b> [1] - 1441:33  <b>2020</b> [6] - 1403:24, 1403:25, 1403:30, 1404:2, 1413:34, 1456:16  <b>2021</b> [1] - 1421:27  <b>2022</b> [16] - 1402:20, 1403:12, 1403:24, 1418:17, 1423:9, 1423:15, 1423:25, 1424:19, 1440:17, 1453:43, 1453:44, 1460:9, 1460:23, 1460:24, 1460:33, 1468:28  <b>21</b> [3] - 1419:6, 1419:25, 1446:45  <b>23</b> [3] - 1403:24, 1403:25, 1403:30  <b>2370</b> [1] - 1452:2  <b>25</b> [2] - 1439:43, 1457:20  <b>2670</b> [1] - 1446:7</p> <hr/> <p><b>3</b></p> <hr/> <p><b>3</b> [3] - 1428:11, 1445:35, 1453:10  <b>32</b> [1] - 1448:37  <b>329</b> [1] - 1447:38  <b>35</b> [4] - 1427:19, 1440:37, 1440:40, 1440:43  <b>350</b> [1] - 1447:38  <b>3500</b> [1] - 1442:43  <b>3500xL</b> [2] - 1443:29, 1446:19  <b>36</b> [1] - 1418:19  <b>37</b> [1] - 1418:20</p>	<p><b>38</b> [1] - 1418:22  <b>39</b> [1] - 1418:24</p> <hr/> <p><b>4</b></p> <hr/> <p><b>4</b> [2] - 1428:1, 1445:5  <b>40</b> [1] - 1418:25  <b>41</b> [2] - 1418:27, 1420:45  <b>418</b> [1] - 1452:22  <b>422</b> [1] - 1452:27  <b>46</b> [2] - 1420:29, 1421:6  <b>47</b> [1] - 1456:38  <b>472</b> [1] - 1456:3</p> <hr/> <p><b>5</b></p> <hr/> <p><b>5</b> [6] - 1423:5, 1423:8, 1442:42, 1445:40, 1460:5, 1467:21  <b>50</b> [2] - 1451:33, 1456:38  <b>52</b> [1] - 1443:19  <b>53</b> [1] - 1456:38</p> <hr/> <p><b>6</b></p> <hr/> <p><b>6.1.10</b> [1] - 1443:20</p> <hr/> <p><b>7</b></p> <hr/> <p><b>7</b> [3] - 1440:17, 1459:17, 1459:20  <b>72</b> [1] - 1450:23</p> <hr/> <p><b>8</b></p> <hr/> <p><b>8.05</b> [1] - 1412:34  <b>8/363</b> [1] - 1402:15  <b>80</b> [1] - 1412:21  <b>81</b> [2] - 1445:3, 1459:16  <b>82</b> [1] - 1449:29  <b>87</b> [1] - 1403:27  <b>88</b> [4] - 1440:41, 1441:2, 1441:14, 1456:17  <b>89</b> [1] - 1441:8</p> <hr/> <p><b>9</b></p> <hr/> <p><b>9</b> [6] - 1426:24, 1426:31, 1426:35, 1443:20, 1449:30, 1450:12  <b>9.30</b> [4] - 1468:13, 1468:17, 1468:25  <b>9.30am</b> [1] - 1402:20  <b>9.30AM</b> [1] - 1468:28</p>	<p><b>9.34am</b> [1] - 1403:1  <b>9.56am</b> [2] - 1424:20, 1425:47  <b>90</b> [1] - 1441:18  <b>94</b> [1] - 1441:24  <b>95</b> [3] - 1449:12, 1449:14, 1449:24  <b>9700</b> [15] - 1453:5, 1453:15, 1453:20, 1453:24, 1453:26, 1454:17, 1454:38, 1455:5, 1455:7, 1455:11, 1460:4, 1460:18, 1465:6, 1465:11, 1466:14</p> <hr/> <p><b>A</b></p> <hr/> <p><b>ability</b> [2] - 1452:4, 1452:32  <b>able</b> [9] - 1408:42, 1415:6, 1419:11, 1439:23, 1449:13, 1455:21, 1465:17  <b>accept</b> [4] - 1408:22, 1413:8, 1438:15, 1453:24  <b>acceptable</b> [3] - 1405:31, 1406:10, 1448:39  <b>acceptance</b> [1] - 1445:36  <b>accepted</b> [3] - 1411:39, 1415:25, 1432:8  <b>accessible</b> [1] - 1412:26  <b>accidentally</b> [1] - 1411:14  <b>accordance</b> [2] - 1447:40, 1450:30  <b>according</b> [2] - 1463:13, 1466:7  <b>account</b> [4] - 1425:36, 1425:41, 1426:7, 1454:5  <b>accountable</b> [1] - 1408:17  <b>accurate</b> [1] - 1467:24  <b>achieve</b> [1] - 1410:3  <b>achieved</b> [1] - 1423:21  <b>acknowledge</b> [3] - 1408:17, 1411:40, 1430:39  <b>acknowledging</b> [1] - 1430:47  <b>act</b> [2] - 1414:4, 1418:8  <b>acting</b> [1] - 1430:28  <b>action</b> [4] - 1407:35,</p>	<p>1408:5, 1411:3, 1417:35  <b>actions</b> [6] - 1404:37, 1410:1, 1411:27, 1412:8, 1412:43, 1430:2  <b>actively</b> [1] - 1411:18  <b>adapt</b> [1] - 1431:20  <b>added</b> [1] - 1428:31  <b>additional</b> [2] - 1449:21, 1452:29  <b>address</b> [3] - 1405:23, 1412:43, 1430:25  <b>addressed</b> [4] - 1405:21, 1407:20, 1407:26, 1408:24  <b>adequate</b> [1] - 1461:28  <b>adjourn</b> [2] - 1439:43, 1468:24  <b>adjust</b> [1] - 1427:37  <b>adjusted</b> [1] - 1464:32  <b>administrative</b> [1] - 1409:7  <b>admonish</b> [1] - 1436:24  <b>adoped</b> [4] - 1454:39, 1455:6, 1455:7, 1455:11  <b>advice</b> [5] - 1421:45, 1421:46, 1422:1, 1429:21, 1461:39  <b>advising</b> [1] - 1445:17  <b>advisory</b> [1] - 1438:45  <b>affect</b> [7] - 1451:41, 1451:46, 1453:31, 1454:44, 1460:26, 1460:39, 1463:22  <b>affected</b> [1] - 1463:37  <b>affecting</b> [1] - 1461:7  <b>affects</b> [1] - 1453:28  <b>affirmed</b> [1] - 1440:5  <b>afterwards</b> [1] - 1423:25  <b>aggression</b> [1] - 1418:8  <b>aggressive</b> [1] - 1418:10  <b>ago</b> [10] - 1406:2, 1411:39, 1416:20, 1428:3, 1428:40, 1434:36, 1439:15, 1452:14, 1465:38  <b>agree</b> [19] - 1405:15, 1407:13, 1407:27, 1408:32, 1408:40, 1409:5, 1409:34, 1413:15, 1417:19, 1417:24, 1427:13, 1428:33, 1429:5,</p>
--	---	--	--	---

1429:23, 1435:18,  
1438:42, 1447:21,  
1458:35, 1461:25  
**agreed** [1] - 1467:31  
**ahead** [3] - 1432:17,  
1462:15, 1462:16  
**aired** [1] - 1415:17  
**alarmed** [1] - 1434:40  
**align** [1] - 1453:23  
**alignment** [1] -  
1453:26  
**alleged** [1] - 1434:41  
**alleles** [2] - 1463:3,  
1463:17  
**Allen** [32] - 1404:2,  
1404:5, 1406:41,  
1406:46, 1407:18,  
1408:9, 1408:33,  
1409:14, 1409:16,  
1409:22, 1409:39,  
1410:37, 1411:7,  
1411:20, 1411:30,  
1411:36, 1411:39,  
1412:38, 1414:11,  
1414:19, 1414:26,  
1414:32, 1417:26,  
1417:29, 1418:9,  
1418:24, 1418:42,  
1419:1, 1430:29,  
1434:41, 1436:37,  
1436:43  
**Allen's** [7] - 1405:1,  
1407:13, 1410:12,  
1413:15, 1417:2,  
1431:26, 1439:21  
**allow** [1] - 1423:34  
**allowed** [2] - 1415:3,  
1458:3  
**almost** [3] - 1432:42,  
1433:5, 1433:44  
**altered** [1] - 1432:8  
**alternative** [1] -  
1431:41  
**amenable** [1] -  
1461:23  
**amount** [5] - 1447:13,  
1457:3, 1457:15,  
1464:33, 1467:23  
**Amplified** [1] -  
1446:20  
**amplified** [2] -  
1446:42, 1446:44  
**analyse** [1] - 1423:41  
**analysed** [3] -  
1447:32, 1461:20,  
1462:27  
**analyses** [2] -  
1465:14, 1466:19  
**analysing** [1] -  
1449:23  
**analysis** [23] -  
1415:12, 1444:43,  
1444:44, 1445:6,  
1447:5, 1448:44,  
1451:31, 1453:22,  
1454:11, 1454:37,  
1455:25, 1455:38,  
1461:6, 1461:11,  
1461:24, 1463:38,  
1464:41, 1464:43,  
1465:3, 1466:10,  
1466:12, 1466:13,  
1467:43  
**Analysis** [3] -  
1405:11, 1405:16,  
1406:26  
**analyst** [2] - 1447:10,  
1447:11  
**analysts** [1] - 1447:14  
**analyte** [2] - 1446:41,  
1447:6  
**analytes** [1] - 1446:46  
**analytical** [1] -  
1459:32  
**Analyzer** [2] -  
1442:43, 1446:20  
**Angelina** [1] - 1438:31  
**annexed** [1] - 1441:3  
**annual** [1] - 1404:23  
**answer** [9] - 1419:31,  
1419:36, 1432:20,  
1438:10, 1461:6,  
1464:20, 1464:34,  
1465:22, 1467:4  
**answerable** [1] -  
1411:32  
**answers** [1] - 1416:19  
**anxiety** [2] - 1430:2,  
1430:12  
**anxiety-reducing** [1] -  
1430:12  
**apart** [2] - 1431:24,  
1431:27  
**appear** [1] - 1422:10  
**appeared** [1] -  
1430:23  
**appearing** [1] - 1447:1  
**appease** [1] - 1432:47  
**applying** [2] -  
1444:43, 1467:17  
**appointment** [2] -  
1410:22, 1417:41  
**apprehend** [1] -  
1404:20  
**approach** [2] -  
1407:44, 1413:2  
**approached** [1] -  
1418:42  
**appropriate** [8] -  
1408:41, 1443:22,  
1443:34, 1444:15,  
1444:47, 1445:1,  
1446:25, 1447:33  
**appropriately** [8] -  
1443:27, 1446:36,  
1448:13, 1449:31,  
1449:42, 1456:6,  
1463:42, 1465:24  
**approving** [1] -  
1423:27  
**April** [1] - 1456:16  
**areas** [5] - 1404:38,  
1407:35, 1407:43,  
1439:13, 1457:2  
**arising** [1] - 1461:43  
**arrive** [1] - 1461:6  
**aside** [1] - 1415:45  
**aspect** [2] - 1444:17,  
1457:10  
**aspects** [9] - 1432:27,  
1444:32, 1446:23,  
1447:20, 1447:39,  
1448:7, 1448:14,  
1448:17  
**assaults** [1] - 1427:15  
**assembled** [1] -  
1412:5  
**assess** [2] - 1425:22,  
1442:36  
**assessment** [1] -  
1446:33  
**assign** [1] - 1464:14  
**assigned** [2] - 1418:1,  
1427:38  
**assignment** [1] -  
1463:17  
**assists** [1] - 1463:3  
**assist** [1] - 1422:42  
**assistance** [3] -  
1430:13, 1439:39,  
1462:2  
**Assisting** [1] -  
1402:30  
**assists** [2] - 1423:43,  
1462:46  
**assume** [3] - 1466:4,  
1466:22  
**assumed** [2] -  
1409:25, 1432:24  
**assumption** [1] -  
1449:36  
**assumptions** [5] -  
1444:1, 1444:2,  
1444:8, 1444:12,  
1445:16  
**assured** [1] - 1421:34  
**AT** [2] - 1468:27,  
1468:28  
**attached** [1] - 1423:2  
**attempt** [1] - 1430:12  
**attempting** [1] -  
1405:21  
**attempts** [1] - 1429:20  
**Australian** [1] -  
1440:13  
**authentic** [2] -  
1415:18, 1415:19  
**availability** [4] -  
1457:46, 1457:47,  
1458:2, 1458:14  
**available** [1] - 1415:43  
**avenue** [1] - 1411:41  
**average** [2] - 1452:11  
**aware** [14] - 1405:37,  
1406:5, 1406:9,  
1407:10, 1418:39,  
1418:42, 1418:44,  
1418:45, 1420:46,  
1433:17, 1434:11,  
1434:16, 1435:24,  
1436:14  
**awareness** [1] -  
1432:39

---

**B**

---

**back-and-forth** [1] -  
1429:36  
**background** [4] -  
1408:30, 1413:8,  
1418:5, 1419:19  
**backwards** [1] -  
1406:41  
**badly** [1] - 1463:26  
**BARCODED** [1] -  
1403:30  
**based** [8] - 1404:30,  
1407:46, 1417:27,  
1434:42, 1437:1,  
1452:9, 1452:30,  
1463:35  
**basis** [1] - 1420:17  
**bearing** [1] - 1420:14  
**beat** [1] - 1429:47  
**became** [1] - 1406:29  
**become** [6] - 1415:43,  
1432:47, 1434:16,  
1435:24, 1447:3,  
1461:17  
**begin** [1] - 1461:7  
**behaving** [1] - 1455:5  
**behaviour** [5] -  
1430:25, 1431:22,  
1431:29, 1432:8,  
1435:29  
**Behaviours** [1] -  
1407:5  
**behaviours** [6] -  
1405:31, 1405:33,  
1406:11, 1417:38,  
1435:42, 1437:24  
**beings** [1] - 1413:31  
**bell** [2] - 1444:4,  
1444:14  
**bell-shaped** [2] -  
1444:4, 1444:14  
**below** [11] - 1403:45,  
1410:21, 1410:32,  
1417:40, 1446:28,  
1447:25, 1447:37,  
1448:21, 1448:45,  
1458:8, 1458:18  
**benefit** [4] - 1414:18,  
1414:30, 1422:21,  
1433:37  
**best** [24] - 1411:28,  
1416:34, 1416:42,  
1430:37, 1437:14,  
1437:18, 1438:37,  
1438:39, 1439:6,  
1443:43, 1446:28,  
1447:25, 1447:40,  
1448:21, 1448:40,  
1450:31, 1451:3,  
1451:27, 1451:31,  
1454:32, 1458:9,  
1458:18, 1463:39,  
1465:20  
**better** [5] - 1420:25,  
1432:42, 1433:1,  
1438:44, 1462:27  
**between** [26] -  
1406:23, 1406:41,  
1408:33, 1409:9,  
1412:38, 1414:5,  
1415:1, 1415:41,  
1420:3, 1429:8,  
1432:21, 1432:28,  
1443:26, 1443:46,  
1444:22, 1444:25,  
1444:27, 1444:28,  
1447:13, 1448:47,  
1449:21, 1449:37,  
1450:47, 1454:29,  
1459:42, 1465:7  
**beyond** [1] - 1444:21  
**big** [1] - 1437:29  
**bigger** [3] - 1415:47,  
1438:4, 1439:7  
**biological** [2] -  
1423:35, 1441:29  
**biology** [3] - 1441:42,  
1445:21, 1445:27  
**biostatistics** [1] -  
1448:8  
**bit** [6] - 1428:20,  
1457:5, 1457:11,  
1457:37, 1463:38,  
1466:40  
**blanks** [1] - 1449:22

**block** [1] - 1434:27  
**blush** [1] - 1409:8  
**board** [1] - 1438:45  
**boards** [1] - 1442:15  
**body** [1] - 1412:33  
**bone** [16] - 1442:44,  
 1442:45, 1455:46,  
 1456:46, 1457:2,  
 1457:14, 1457:40,  
 1457:43, 1457:44,  
 1457:47, 1458:1,  
 1458:3, 1458:18,  
 1458:22, 1458:43,  
 1459:7  
**bones** [4] - 1438:31,  
 1456:23, 1457:6,  
 1458:6  
**boss** [1] - 1436:10  
**bottom** [13] - 1404:47,  
 1415:17, 1425:46,  
 1428:11, 1429:28,  
 1429:45, 1430:1,  
 1441:40, 1442:12,  
 1446:19, 1452:21,  
 1455:46, 1455:47  
**brain** [1] - 1415:14  
**brief** [2] - 1431:44,  
 1431:45  
**briefly** [2] - 1443:37,  
 1446:38  
**bring** [1] - 1416:2  
**bringing** [1] - 1438:12  
**Brisbane** [2] -  
 1402:14, 1402:15  
**broader** [1] - 1413:47  
**broadly** [1] - 1411:8  
**brook** [1] - 1405:42  
**brought** [1] - 1405:44  
**Bruce** [1] - 1437:44  
**Budowle** [1] - 1437:44  
**bundle** [4] - 1415:4,  
 1423:14, 1440:47,  
 1441:7  
**BUNDLE** [1] - 1441:14  
**butts** [1] - 1458:12  
**BY** [7] - 1403:38,  
 1440:7, 1440:43,  
 1441:15, 1462:18,  
 1465:34, 1466:43

**C**

**calculated** [3] -  
 1449:43, 1464:22,  
 1467:27  
**calculations** [1] -  
 1423:42  
**calibrates** [1] -  
 1451:20  
**candidly** [1] - 1408:43

**cannot** [1] - 1452:3  
**capability** [1] -  
 1432:41  
**capable** [2] - 1413:21,  
 1413:26  
**capacity** [1] - 1419:21  
**care** [2] - 1437:37,  
 1437:39  
**career** [1] - 1442:5  
**carried** [14] - 1442:22,  
 1443:25, 1445:6,  
 1446:24, 1446:35,  
 1447:40, 1452:16,  
 1452:29, 1453:22,  
 1454:13, 1457:13,  
 1458:21, 1465:21,  
 1466:23  
**carry** [8] - 1442:28,  
 1444:19, 1444:37,  
 1448:32, 1454:37,  
 1455:24, 1458:10,  
 1465:3  
**carrying** [5] - 1444:39,  
 1445:29, 1459:25,  
 1464:40, 1466:17  
**CARTLEDGE** [3] -  
 1462:18, 1462:20,  
 1465:30  
**Cartledge** [3] -  
 1462:20, 1465:32,  
 1467:30  
**Cartledge's** [1] -  
 1466:46  
**case** [30] - 1406:14,  
 1411:18, 1421:26,  
 1426:38, 1426:41,  
 1426:42, 1426:46,  
 1427:15, 1427:38,  
 1434:35, 1436:8,  
 1444:2, 1446:42,  
 1446:45, 1453:13,  
 1457:37, 1457:40,  
 1458:35, 1460:27,  
 1462:24, 1462:29,  
 1462:46, 1463:32,  
 1464:14, 1464:17,  
 1464:18, 1464:31,  
 1465:13, 1467:34  
**cases** [3] - 1427:1,  
 1427:7, 1427:10  
**casework** [4] -  
 1416:43, 1442:25,  
 1451:15, 1456:30  
**casts** [1] - 1454:22  
**category** [1] - 1447:31  
**Cathie** [31] - 1409:25,  
 1410:5, 1410:42,  
 1411:14, 1411:20,  
 1411:24, 1412:39,  
 1413:3, 1414:11,

1414:19, 1414:22,  
 1417:11, 1417:29,  
 1417:30, 1417:46,  
 1418:8, 1418:23,  
 1418:26, 1418:30,  
 1420:24, 1430:29,  
 1430:32, 1434:21,  
 1434:31, 1435:10,  
 1435:39, 1436:8,  
 1436:47, 1437:34,  
 1438:8  
**Cathie's** [5] - 1415:22,  
 1417:37, 1436:10,  
 1436:11, 1439:25  
**Caunt** [7] - 1427:24,  
 1452:37, 1452:39,  
 1454:6, 1462:44,  
 1465:39, 1466:6  
**Caunt's** [1] - 1453:42  
**caused** [2] - 1413:16,  
 1414:41  
**causing** [1] - 1417:8  
**caveat** [1] - 1455:33  
**cent** [3] - 1449:12,  
 1449:14, 1449:24  
**centre** [2] - 1428:2,  
 1466:17  
**certain** [3] - 1423:42,  
 1424:1, 1442:4  
**certainly** [4] -  
 1412:18, 1416:19,  
 1432:17, 1468:16  
**CHAIN** [1] - 1403:29  
**chain** [8] - 1403:6,  
 1403:12, 1403:20,  
 1403:25, 1417:12,  
 1423:8, 1425:22,  
 1430:17  
**challenging** [1] -  
 1432:46  
**chance** [5] - 1434:31,  
 1444:24, 1444:26,  
 1444:33, 1449:25  
**chances** [2] - 1436:10,  
 1444:32  
**change** [17] - 1405:43,  
 1422:8, 1431:3,  
 1453:9, 1454:10,  
 1455:23, 1463:21,  
 1463:24, 1463:25,  
 1464:5, 1464:6,  
 1464:9, 1464:24,  
 1464:27, 1464:36,  
 1464:37, 1465:28  
**changed** [3] -  
 1462:44, 1464:23,  
 1466:19  
**changes** [8] -  
 1418:16, 1451:44,  
 1451:46, 1453:11,

1453:12, 1463:21,  
 1463:35  
**changing** [2] -  
 1425:20, 1464:27  
**check** [1] - 1419:8  
**checked** [1] - 1464:40  
**checking** [1] - 1417:3  
**chief** [2] - 1440:12,  
 1441:41  
**chose** [1] - 1463:26  
**chosen** [1] - 1456:5  
**chronology** [1] -  
 1406:40  
**cigarette** [1] - 1458:12  
**circuit** [1] - 1404:9  
**circumstances** [3] -  
 1413:10, 1421:30,  
 1442:27  
**clarify** [1] - 1462:42  
**clarifying** [2] -  
 1432:15, 1462:22  
**cleaning** [1] - 1442:44  
**clear** [2] - 1422:24,  
 1433:37  
**close** [4] - 1444:3,  
 1454:17, 1456:38,  
 1456:39  
**Cochrane** [1] -  
 1468:21  
**code** [1] - 1436:31  
**cohesive** [1] - 1432:23  
**cold** [1] - 1427:7  
**collaborative** [1] -  
 1429:12  
**colleagues** [2] -  
 1461:34, 1468:18  
**collectively** [1] -  
 1419:18  
**collegiate** [1] -  
 1432:22  
**colour** [1] - 1434:27  
**combination** [2] -  
 1427:21, 1466:28  
**comfort** [1] - 1454:15  
**comfortable** [4] -  
 1417:4, 1432:45,  
 1435:25, 1466:30  
**coming** [3] - 1420:25,  
 1428:21, 1434:29  
**comment** [4] -  
 1411:11, 1434:36,  
 1460:20, 1464:39  
**comments** [3] -  
 1435:12, 1436:17,  
 1459:13  
**COMMISSION** [2] -  
 1402:4, 1468:27  
**Commission** [11] -  
 1418:15, 1422:41,  
 1426:25, 1437:17,

1437:42, 1437:47,  
 1440:16, 1442:36,  
 1452:37, 1452:40,  
 1458:27  
**Commission's** [1] -  
 1403:15  
**Commissioner** [17] -  
 1402:26, 1412:31,  
 1416:20, 1420:35,  
 1422:35, 1428:3,  
 1431:32, 1431:40,  
 1432:11, 1439:31,  
 1440:2, 1440:30,  
 1440:45, 1460:46,  
 1465:30, 1468:11,  
 1468:14  
**COMMISSIONER** [57]  
 - 1403:3, 1403:9,  
 1403:18, 1403:22,  
 1403:27, 1403:32,  
 1403:36, 1412:10,  
 1412:15, 1412:20,  
 1416:16, 1416:22,  
 1419:34, 1419:39,  
 1420:32, 1420:37,  
 1420:42, 1421:4,  
 1422:30, 1422:39,  
 1422:44, 1426:22,  
 1431:15, 1431:34,  
 1431:38, 1431:43,  
 1432:4, 1432:17,  
 1433:47, 1439:33,  
 1439:38, 1439:43,  
 1439:47, 1440:34,  
 1440:40, 1441:2,  
 1441:7, 1441:17,  
 1441:22, 1445:43,  
 1460:3, 1460:11,  
 1460:15, 1460:30,  
 1460:44, 1461:1,  
 1461:43, 1462:1,  
 1462:8, 1462:15,  
 1465:32, 1466:34,  
 1466:38, 1468:3,  
 1468:9, 1468:16,  
 1468:24  
**common** [1] - 1443:41  
**communicating** [1] -  
 1431:25  
**communication** [8] -  
 1405:43, 1405:46,  
 1406:22, 1414:20,  
 1414:22, 1414:26,  
 1429:1, 1432:21  
**communications** [3] -  
 1405:44, 1412:37,  
 1425:47  
**communications/  
 advice** [1] - 1424:26  
**community** [2] -

1416:5, 1416:45  
**comparable** [1] - 1460:18  
**compare** [1] - 1454:28  
**compared** [3] - 1452:11, 1454:38, 1465:23  
**comparing** [1] - 1444:19  
**comparison** [4] - 1444:37, 1461:14, 1466:13, 1466:18  
**competent** [1] - 1413:21  
**complaining** [1] - 1435:4  
**complete** [4] - 1451:17, 1451:25, 1455:30, 1466:12  
**completed** [5] - 1407:4, 1407:14, 1407:42, 1423:34, 1425:11  
**completely** [1] - 1437:16  
**completion** [3] - 1425:5, 1466:6, 1466:7  
**complex** [2] - 1453:39, 1463:6  
**component** [5] - 1423:33, 1439:11, 1445:27, 1445:29  
**components** [1] - 1422:6  
**compromise** [1] - 1431:20  
**compromised** [3] - 1416:12, 1416:17, 1416:30  
**concentrated** [1] - 1448:16  
**concentration** [4] - 1446:46, 1448:44, 1449:13, 1449:25  
**concentrations** [2] - 1447:6, 1451:34  
**concept** [1] - 1451:9  
**concern** [11] - 1404:29, 1411:41, 1417:8, 1417:27, 1417:28, 1434:29, 1435:34, 1435:37, 1456:41, 1463:32, 1467:43  
**concerned** [13] - 1406:22, 1424:47, 1425:10, 1435:42, 1435:43, 1435:44, 1447:25, 1456:44, 1457:21, 1457:32, 1460:11, 1467:42  
**concerns** [16] - 1412:43, 1418:15, 1418:21, 1418:25, 1418:27, 1418:36, 1418:39, 1419:19, 1435:34, 1435:36, 1436:42, 1437:10, 1437:13, 1438:2, 1446:4, 1459:9  
**conclude** [1] - 1452:2  
**conclusion** [5] - 1438:12, 1447:18, 1448:36, 1457:25, 1462:45  
**conclusion"** [1] - 1450:24  
**conclusions** [3] - 1450:25, 1462:28, 1462:29  
**conduct** [2] - 1436:31, 1456:24  
**conducted** [1] - 1450:30  
**conduit** [3] - 1414:5, 1414:37, 1415:1  
**conference** [1] - 1426:39  
**conferences** [1] - 1426:41  
**conferencing** [1] - 1427:16  
**confidential** [2] - 1409:9, 1431:27  
**confiding** [1] - 1434:19  
**confirm** [1] - 1459:30  
**conflicted** [1] - 1415:37  
**connection** [1] - 1460:5  
**consequence** [2] - 1464:33, 1465:8  
**consider** [5] - 1409:11, 1431:19, 1435:45, 1439:7, 1457:10  
**considered** [1] - 1448:21  
**considering** [1] - 1433:43  
**consistency** [1] - 1459:42  
**consistent** [1] - 1446:9  
**constant** [1] - 1430:42  
**constantly** [3] - 1432:32, 1437:12, 1459:45  
**constraints** [1] - 1437:15  
**constructive** [1] - 1434:5  
**consult** [1] - 1461:38  
**consultant** [1] - 1405:44  
**Consulting** [3] - 1405:42, 1408:13, 1437:25  
**contacted** [2] - 1419:1, 1419:27  
**contemplating** [1] - 1461:3  
**contemporary** [1] - 1445:31  
**contentious** [3] - 1410:47, 1414:40, 1416:3  
**contents** [2] - 1410:31, 1410:43  
**context** [15] - 1404:20, 1407:45, 1408:14, 1408:19, 1408:30, 1410:21, 1410:40, 1414:33, 1417:12, 1417:37, 1417:41, 1419:10, 1423:35, 1438:18, 1453:42  
**continue** [4] - 1412:28, 1416:23, 1416:34, 1455:2  
**continued** [2] - 1408:3, 1437:12  
**contortionist** [1] - 1432:47  
**contrary** [1] - 1462:16  
**contributed** [2] - 1463:9, 1464:16  
**contribution** [1] - 1432:26  
**contributor** [2] - 1451:36, 1463:45  
**contributors** [1] - 1463:4  
**convenient** [2] - 1468:14, 1468:16  
**conversation** [3] - 1420:10, 1434:24, 1434:30  
**conversations** [5] - 1409:7, 1419:46, 1434:9, 1436:26, 1436:27  
**converse** [1] - 1433:21  
**convey** [1] - 1420:44  
**conveyed** [1] - 1412:35  
**COOPER** [1] - 1466:36  
**cooperative** [1] - 1432:23  
**copies** [1] - 1412:20  
**copy** [1] - 1422:46  
**copying** [1] - 1428:21  
**correct** [17] - 1431:34, 1432:30, 1435:24, 1441:47, 1443:11, 1443:24, 1448:30, 1448:41, 1450:20, 1452:5, 1454:46, 1462:31, 1465:41, 1465:42, 1465:46, 1467:7, 1467:46  
**corrections** [2] - 1446:13  
**correctly** [4] - 1425:30, 1426:19, 1465:10, 1467:18  
**correspondence** [6] - 1404:43, 1406:40, 1408:33, 1417:2, 1427:46, 1430:18  
**corresponding** [1] - 1419:42  
**corresponds** [1] - 1449:26  
**councils** [1] - 1442:15  
**Counsel** [1] - 1402:30  
**couple** [5] - 1436:16, 1452:13, 1452:14, 1461:2, 1465:37  
**course** [11] - 1412:13, 1430:17, 1431:36, 1431:43, 1432:19, 1448:14, 1448:18, 1456:38, 1459:39, 1463:23  
**courses** [3] - 1448:6, 1448:8, 1448:16  
**court** [2] - 1414:47, 1415:2  
**Court** [1] - 1402:14  
**cover** [2] - 1445:14, 1448:18  
**covetous** [2] - 1439:16, 1439:18  
**created** [1] - 1455:35  
**creates** [1] - 1424:9  
**crime** [4] - 1427:1, 1427:2, 1427:14  
**crimes** [1] - 1427:4  
**criteria** [4] - 1445:36, 1445:40, 1445:43, 1445:47  
**criterion** [1] - 1461:14  
**criticised** [2] - 1438:14, 1439:4  
**cross** [5] - 1419:39, 1431:26, 1462:6, 1462:8, 1462:10  
**cross-examination** [2] - 1419:39, 1462:6  
**cross-examine** [2] - 1462:8, 1462:10  
**cross-examined** [1] - 1431:26  
**crusher** [1] - 1442:44  
**culminated** [1] - 1460:16  
**culminating** [1] - 1432:6  
**cultivating** [3] - 1411:19, 1411:23, 1411:24  
**cultural** [7] - 1405:20, 1405:43, 1405:45, 1409:31, 1410:45, 1431:3  
**culture** [7] - 1417:21, 1435:30, 1437:17, 1437:21, 1437:30, 1437:33, 1437:36  
**current** [4] - 1441:41, 1442:37, 1452:31, 1465:24  
**curve** [2] - 1444:5, 1444:14  
**cut** [1] - 1468:5

---

**D**

---

**DALE** [1] - 1403:1  
**danger** [2] - 1421:10, 1422:6  
**data** [31] - 1443:42, 1444:1, 1444:3, 1444:5, 1444:14, 1444:32, 1444:36, 1447:32, 1449:23, 1449:24, 1451:12, 1451:16, 1451:21, 1453:32, 1454:34, 1455:21, 1455:25, 1455:40, 1457:16, 1457:26, 1458:36, 1458:39, 1461:11, 1461:19, 1461:20, 1462:27, 1463:26, 1463:39, 1464:3, 1464:4, 1465:47  
**datasets** [1] - 1444:35  
**date** [1] - 1403:22  
**dated** [1] - 1440:17  
**DATED** [1] - 1403:29  
**days** [4] - 1447:14, 1452:13, 1452:14, 1458:21  
**deal** [6] - 1414:41,

1443:13, 1448:7,  
1448:9, 1448:27,  
1459:16  
**dealing** [2] - 1411:4,  
1426:32  
**dealings** [2] -  
1409:43, 1414:32  
**dealt** [2] - 1411:41,  
1464:24  
**December** [4] -  
1404:2, 1410:11,  
1413:34, 1421:27  
**decision** [18] -  
1409:31, 1409:38,  
1418:32, 1419:46,  
1420:9, 1420:16,  
1420:17, 1420:25,  
1420:45, 1422:20,  
1422:21, 1422:22,  
1422:36, 1438:21,  
1438:30, 1438:32,  
1449:41, 1449:44  
**decision-making** [5] -  
1409:31, 1409:38,  
1422:36, 1438:21,  
1438:32  
**decisions** [4] -  
1423:7, 1438:12,  
1439:9, 1467:16  
**deconvolution** [2] -  
1462:46, 1463:10  
**decreased** [1] -  
1464:26  
**defending** [1] -  
1435:15  
**defensive** [2] -  
1432:45, 1433:45  
**defer** [1] - 1459:13  
**deficient** [1] - 1467:35  
**define** [1] - 1465:20  
**defined** [1] - 1449:12  
**definitely** [5] -  
1414:36, 1420:7,  
1430:36, 1432:35,  
1458:38  
**definition** [1] -  
1467:25  
**degree** [7] - 1414:31,  
1422:36, 1438:15,  
1445:21, 1458:38,  
1463:22, 1463:24  
**degrees** [2] - 1414:26,  
1445:26  
**delegate** [2] -  
1420:13, 1420:18  
**deleted** [1] - 1412:23  
**deliberate** [2] -  
1418:8, 1430:12  
**deliver** [1] - 1432:44  
**demonstrate** [1] -  
1452:4  
**demonstrated** [1] -  
1460:17  
**demonstrates** [1] -  
1422:5  
**demonstrating** [1] -  
1421:8  
**dependent** [2] -  
1464:28, 1464:29  
**describe** [3] -  
1415:26, 1433:21,  
1436:25  
**described** [6] -  
1433:20, 1433:22,  
1452:32, 1455:19,  
1466:23, 1466:25  
**describes** [2] -  
1405:16, 1463:14  
**describing** [1] -  
1435:18  
**design** [13] - 1446:18,  
1447:46, 1448:5,  
1448:7, 1448:9,  
1448:15, 1457:11,  
1461:10, 1461:21,  
1461:28, 1467:35,  
1467:42, 1467:44  
**designate** [1] -  
1444:20  
**designation** [1] -  
1444:24  
**designed** [1] -  
1445:14  
**designing** [1] - 1461:4  
**designs** [1] - 1438:47  
**desire** [1] - 1461:41  
**despite** [1] - 1407:31  
**detailed** [1] - 1462:2  
**details** [2] - 1434:23,  
1436:21  
**detangle** [1] - 1463:11  
**detect** [1] - 1449:13  
**detected** [5] -  
1446:47, 1449:7,  
1449:16, 1450:7,  
1450:13  
**detecting** [2] -  
1449:11, 1449:25  
**detection** [12] -  
1447:4, 1448:40,  
1448:46, 1449:4,  
1449:7, 1449:12,  
1449:18, 1449:19,  
1449:21, 1449:31,  
1467:22, 1467:26  
**determination** [1] -  
1448:39  
**determine** [7] -  
1443:44, 1443:45,  
1446:43, 1449:20,  
1449:31, 1450:6,  
1465:45  
**determining** [1] -  
1449:9  
**develop** [1] - 1459:45  
**developed** [1] -  
1406:42  
**devised** [1] - 1445:47  
**Diehm** [1] - 1403:3  
**DIEHM** [5] - 1403:6,  
1403:12, 1403:20,  
1403:24, 1403:34  
**difference** [9] -  
1443:26, 1443:46,  
1444:22, 1444:25,  
1444:27, 1444:28,  
1444:34, 1465:5,  
1465:7  
**differences** [7] -  
1450:47, 1453:32,  
1453:36, 1453:37,  
1453:38, 1457:3,  
1465:25  
**different** [21] -  
1408:24, 1412:39,  
1413:2, 1415:10,  
1443:6, 1443:10,  
1444:32, 1444:35,  
1444:36, 1446:44,  
1447:6, 1447:14,  
1447:15, 1451:1,  
1451:45, 1456:35,  
1456:42, 1457:2,  
1458:11  
**difficult** [2] - 1416:3,  
1417:32  
**difficulties** [3] -  
1429:8, 1431:19,  
1457:45  
**difficulty** [1] - 1443:22  
**dint** [1] - 1414:20  
**direct** [1] - 1412:20  
**directed** [2] - 1406:15,  
1424:22  
**directions** [1] -  
1406:23  
**directly** [4] - 1433:26,  
1434:6, 1445:12,  
1446:4  
**director** [2] - 1435:40,  
1436:9  
**discontent** [2] -  
1411:32, 1413:9  
**discounted** [1] -  
1453:16  
**discourage** [2] -  
1436:29, 1437:6  
**discovered** [1] -  
1422:7  
**discuss** [2] - 1410:23,  
1417:42  
**discussed** [4] -  
1412:25, 1428:37,  
1463:30, 1464:15  
**discussion** [1] -  
1420:3  
**disharmony** [1] -  
1414:41  
**dismissive** [2] -  
1413:17, 1433:45  
**displayed** [1] -  
1417:33  
**distributed** [2] -  
1411:8, 1444:4  
**distribution** [1] -  
1444:5  
**divergence** [3] -  
1452:25, 1465:10,  
1465:18  
**divergent** [1] -  
1457:37  
**divisive** [1] - 1437:35  
**DNA** [44] - 1402:6,  
1405:11, 1405:16,  
1406:25, 1415:11,  
1441:37, 1441:46,  
1441:47, 1442:21,  
1446:42, 1446:44,  
1447:1, 1447:2,  
1447:19, 1448:45,  
1448:47, 1449:7,  
1449:11, 1449:13,  
1449:16, 1449:25,  
1449:43, 1450:7,  
1450:13, 1451:12,  
1451:15, 1451:24,  
1451:34, 1451:35,  
1453:33, 1456:45,  
1457:3, 1457:14,  
1458:11, 1459:33,  
1459:35, 1462:33,  
1462:37, 1462:38,  
1463:6, 1467:23  
**document** [6] -  
1403:14, 1403:41,  
1403:44, 1412:21,  
1427:45, 1428:1  
**documentation** [2] -  
1421:44, 1424:23  
**documented** [1] -  
1424:32  
**DOCUMENTS** [2] -  
1440:43, 1441:14  
**documents** [10] -  
1403:14, 1412:25,  
1440:31, 1440:37,  
1440:40, 1441:3,  
1441:8, 1454:2,  
1454:5  
**Doherty** [2] - 1404:6,  
1435:41  
**done** [50] - 1407:25,  
1420:47, 1422:9,  
1422:11, 1422:26,  
1424:33, 1424:35,  
1424:37, 1425:2,  
1425:29, 1426:11,  
1426:19, 1428:47,  
1429:6, 1429:7,  
1429:9, 1430:18,  
1432:23, 1433:14,  
1433:30, 1435:11,  
1435:25, 1435:31,  
1437:31, 1439:5,  
1439:25, 1441:8,  
1441:11, 1445:30,  
1446:27, 1447:24,  
1449:30, 1449:37,  
1449:38, 1450:11,  
1454:36, 1454:39,  
1455:2, 1455:31,  
1456:5, 1457:46,  
1458:23, 1459:2,  
1459:32, 1459:44,  
1460:13, 1460:34,  
1460:40, 1465:15,  
1466:5  
**doubt** [3] - 1404:32,  
1417:8, 1454:22  
**down** [7] - 1404:3,  
1406:32, 1410:9,  
1412:18, 1441:45,  
1447:17, 1449:22  
**downloaded** [1] -  
1412:22  
**downside** [1] -  
1421:38  
**DR** [2] - 1440:43,  
1441:15  
**Dr** [36] - 1403:42,  
1414:10, 1414:27,  
1414:34, 1418:13,  
1418:14, 1418:36,  
1419:1, 1419:2,  
1419:3, 1419:19,  
1419:20, 1419:28,  
1419:44, 1420:6,  
1420:32, 1420:44,  
1420:46, 1437:44,  
1440:2, 1440:9,  
1440:24, 1440:32,  
1440:41, 1441:3,  
1441:17, 1441:26,  
1460:4, 1460:20,  
1460:33, 1461:1,  
1462:1, 1462:20,  
1465:36, 1466:38,  
1468:4  
**draft** [1] - 1403:9  
**dramatic** [13] -



1451:45, 1453:32,  
1453:36, 1463:25,  
1464:9, 1464:35,  
1464:37, 1465:6,  
1465:10, 1465:18,  
1465:19, 1465:28,  
1466:19  
**dramatically** [2] -  
1451:45, 1463:37  
**draw** [1] - 1457:25  
**Duncan** [2] - 1440:2,  
1440:9  
**DUNCAN** [1] - 1440:5  
**during** [3] - 1404:21,  
1432:19, 1441:20  
**dysfunction** [1] -  
1432:28

**E**

**eased** [1] - 1430:2  
**easier** [3] - 1428:20,  
1458:12, 1458:15  
**easily** [3] - 1415:38,  
1421:12, 1453:38  
**easy** [1] - 1416:1  
**echo** [1] - 1464:38  
**Edge** [2] - 1404:22,  
1406:2  
**effect** [7] - 1408:25,  
1417:8, 1418:34,  
1425:21, 1431:17,  
1432:14, 1464:42  
**effective** [1] - 1430:23  
**effectively** [2] -  
1455:20, 1466:25  
**effects** [1] - 1444:28  
**effusive** [1] - 1429:38  
**ego** [1] - 1433:1  
**either** [10] - 1415:5,  
1419:31, 1427:10,  
1433:39, 1434:23,  
1434:44, 1435:11,  
1457:47, 1464:38  
**electronically** [1] -  
1441:11  
**email** [64] - 1403:6,  
1403:12, 1403:25,  
1403:45, 1404:1,  
1404:5, 1404:11,  
1404:16, 1404:20,  
1405:3, 1405:7,  
1405:8, 1407:22,  
1408:14, 1409:11,  
1409:14, 1409:16,  
1409:22, 1410:10,  
1410:15, 1410:31,  
1410:38, 1410:39,  
1410:43, 1411:7,  
1412:33, 1412:36,

1412:42, 1413:15,  
1413:22, 1417:1,  
1417:11, 1417:25,  
1417:44, 1418:4,  
1418:26, 1419:7,  
1419:11, 1419:12,  
1419:26, 1419:31,  
1419:32, 1423:8,  
1423:14, 1424:19,  
1425:46, 1428:2,  
1428:20, 1429:35,  
1429:39, 1431:25,  
1431:26, 1452:14,  
1452:37, 1452:40,  
1453:42, 1458:27,  
1458:28, 1460:8,  
1460:16, 1460:19,  
1460:23, 1460:34  
**EMAIL** [2] - 1403:29  
**emailed** [3] - 1410:6,  
1411:30, 1418:21  
**emails** [2] - 1403:20,  
1429:42  
**Emma** [2] - 1438:30,  
1452:37  
**emotional** [1] -  
1430:43  
**emotions** [2] -  
1413:31, 1413:34  
**employment** [1] -  
1441:41  
**enable** [1] - 1415:16  
**encourage** [3] -  
1429:20, 1436:31,  
1437:32  
**encouraging** [1] -  
1437:36  
**end** [2] - 1447:1,  
1468:11  
**endorsing** [1] -  
1438:11  
**engaging** [1] -  
1429:37  
**enjoyed** [2] - 1436:35,  
1436:38  
**ensure** [7] - 1413:47,  
1416:35, 1442:29,  
1448:4, 1451:5,  
1461:8, 1466:19  
**entire** [1] - 1437:40  
**entirely** [2] - 1435:20,  
1459:33  
**entitled** [1] - 1409:39  
**entry** [1] - 1425:6  
**environment** [2] -  
1420:12, 1425:7  
**equally** [1] - 1452:3  
**equipment** [1] -  
1448:34  
**error** [14] - 1444:29,

1452:16, 1452:46,  
1454:21, 1455:28,  
1455:33, 1455:34,  
1455:37, 1455:38,  
1463:33, 1463:36,  
1464:42, 1465:40  
**errors** [2] - 1445:12,  
1448:5  
**especially** [1] - 1436:5  
**estimate** [1] - 1442:6  
**estimated** [2] -  
1466:6, 1466:7  
**evaluation** [1] -  
1458:10  
**event** [2] - 1406:46,  
1460:19  
**everyday** [1] - 1451:15  
**evidence** [32] -  
1412:41, 1414:10,  
1414:45, 1416:19,  
1418:14, 1418:34,  
1421:21, 1426:25,  
1426:30, 1427:19,  
1428:39, 1431:24,  
1431:29, 1431:36,  
1432:12, 1432:14,  
1438:1, 1441:37,  
1447:19, 1447:41,  
1455:44, 1456:6,  
1458:32, 1460:4,  
1461:3, 1462:26,  
1466:9, 1466:47,  
1467:31, 1467:36,  
1467:37, 1467:44  
**exact** [2] - 1436:21,  
1456:47  
**exactly** [2] - 1431:5,  
1447:36  
**examination** [4] -  
1419:39, 1439:34,  
1462:6, 1466:41  
**examine** [2] - 1462:8,  
1462:10  
**examined** [1] -  
1431:26  
**example** [12] -  
1408:19, 1421:8,  
1422:5, 1427:15,  
1428:44, 1430:46,  
1443:22, 1444:18,  
1444:41, 1446:9,  
1456:36, 1467:21  
**examples** [4] -  
1409:12, 1429:19,  
1447:36, 1458:45  
**exceeded** [1] -  
1449:46  
**excellent** [1] - 1417:29  
**exception** [1] -  
1422:25

**exchange** [3] -  
1407:28, 1409:11,  
1432:20  
**excluded** [1] - 1464:4  
**exclusion** [1] -  
1464:11  
**excuse** [1] - 1422:44  
**excused** [1] - 1439:36  
**execute** [1] - 1431:45  
**executive** [3] -  
1435:40, 1436:9,  
1452:20  
**exemplified** [1] -  
1444:18  
**exercise** [4] - 1405:13,  
1435:8, 1435:16,  
1455:20  
**exhaustive** [1] -  
1464:1  
**exhibit** [13] - 1403:27,  
1403:41, 1412:21,  
1423:5, 1423:8,  
1427:44, 1440:41,  
1440:46, 1441:2,  
1441:8, 1441:18,  
1456:17, 1460:5  
**EXHIBIT** [4] - 1403:29,  
1440:43, 1441:14  
**exhibited** [1] -  
1427:47  
**exhibits** [1] - 1423:2  
**existed** [2] - 1426:26,  
1453:4  
**exists** [1] - 1444:35  
**EXP.0003.0001.0001**  
[1] - 1440:23  
**expect** [9] - 1409:39,  
1428:45, 1453:34,  
1457:16, 1458:46,  
1459:6, 1461:27,  
1462:13, 1466:24  
**expectation** [1] -  
1463:44  
**expected** [2] - 1409:9,  
1414:43  
**expecting** [1] -  
1432:38  
**experience** [4] -  
1409:6, 1417:28,  
1436:4, 1436:6  
**experienced** [1] -  
1430:31  
**experiences** [1] -  
1434:42  
**experiment** [6] -  
1452:45, 1456:29,  
1456:30, 1457:5,  
1457:12, 1461:4  
**Experiment** [1] -  
1443:20

**experimental** [14] -  
1438:47, 1446:18,  
1447:46, 1448:5,  
1448:7, 1448:9,  
1448:14, 1457:11,  
1457:46, 1461:10,  
1461:21, 1467:34,  
1467:42, 1467:43  
**experimentation** [1] -  
1458:23  
**experiments** [3] -  
1456:24, 1461:28  
**expert** [5] - 1439:11,  
1442:14, 1452:33,  
1453:35, 1468:13  
**expertise** [5] -  
1441:46, 1445:4,  
1447:45, 1447:47  
**experts** [8] - 1421:47,  
1426:39, 1438:1,  
1438:7, 1438:14,  
1438:34, 1438:45,  
1453:35  
**explain** [8] - 1408:30,  
1412:4, 1421:1,  
1432:24, 1437:33,  
1446:38, 1449:3,  
1451:8  
**explained** [1] -  
1416:33  
**explaining** [1] -  
1408:9  
**explains** [1] - 1452:44  
**explanation** [2] -  
1420:44, 1433:37  
**express** [2] - 1404:36,  
1459:9  
**expressed** [1] -  
1461:40  
**expression** [1] -  
1468:3  
**expressly** [1] -  
1429:22  
**extends** [1] - 1447:12  
**extensive** [1] -  
1445:31  
**extensively** [1] -  
1447:33  
**extent** [4] - 1422:20,  
1437:20, 1437:28,  
1438:11  
**external** [4] - 1459:24,  
1459:33, 1459:34,  
1459:39  
**extra** [4] - 1432:41,  
1439:26, 1453:9  
**extract** [2] - 1422:31,  
1462:37  
**extracting** [1] - 1458:8  
**extraction** [9] -

1442:45, 1455:46,  
1457:13, 1457:14,  
1457:19, 1457:28,  
1457:42, 1458:11,  
1458:43  
**extractions** [2] -  
1456:45, 1458:22

**F**

**face** [2] - 1430:5,  
1434:5  
**facing** [1] - 1403:22  
**fact** [14] - 1404:26,  
1406:6, 1418:26,  
1420:3, 1427:28,  
1435:23, 1436:35,  
1436:41, 1437:39,  
1443:2, 1447:36,  
1454:15, 1457:19,  
1467:35  
**factor** [3] - 1453:13,  
1453:15, 1453:19  
**factors** [5] - 1452:30,  
1453:9, 1453:12,  
1457:45, 1466:27  
**fair** [4] - 1407:29,  
1432:7, 1455:36,  
1467:39  
**fairness** [1] - 1419:18  
**faith** [1] - 1454:12  
**fall** [3] - 1447:31,  
1448:8, 1458:18  
**fallback** [1] - 1458:47  
**fallen** [1] - 1458:8  
**familiar** [3] - 1418:35,  
1426:3, 1428:7  
**familiarise** [1] -  
1404:16  
**far** [5] - 1410:40,  
1414:25, 1414:31,  
1433:34, 1468:19  
**fear** [1] - 1437:2  
**fearless** [1] - 1429:20  
**feedback** [18] -  
1404:21, 1405:33,  
1411:21, 1411:33,  
1413:3, 1413:9,  
1413:11, 1413:17,  
1415:9, 1415:10,  
1417:9, 1417:11,  
1424:23, 1431:6,  
1432:33, 1434:4,  
1434:5, 1434:7  
**feet** [1] - 1420:22  
**fell** [3] - 1446:27,  
1447:24, 1448:21  
**felt** [15] - 1406:29,  
1406:30, 1408:2,  
1409:27, 1413:19,

1416:12, 1416:29,  
1421:31, 1429:1,  
1429:40, 1433:14,  
1433:17, 1434:19,  
1436:35, 1436:46  
**few** [7] - 1406:2,  
1416:19, 1428:40,  
1456:42, 1456:44,  
1458:21, 1462:22  
**field** [1] - 1442:14  
**fields** [1] - 1445:24  
**fill** [1] - 1449:20  
**filter** [1] - 1417:15  
**filtering** [1] - 1417:16  
**final** [4] - 1421:27,  
1425:10, 1455:43,  
1459:16  
**finalisation** [4] -  
1423:29, 1424:17,  
1424:22, 1424:41  
**finalised** [2] -  
1424:46, 1424:47  
**finalising** [2] -  
1424:36, 1424:37  
**finally** [3] - 1426:11,  
1430:24, 1434:11  
**findings** [2] - 1447:31,  
1467:18  
**fine** [8] - 1406:34,  
1408:29, 1411:15,  
1420:19, 1433:15,  
1444:10, 1450:44,  
1462:15  
**finished** [2] - 1403:4,  
1403:32  
**first** [24] - 1404:40,  
1404:47, 1406:47,  
1407:21, 1408:9,  
1409:8, 1409:15,  
1410:8, 1417:3,  
1425:1, 1434:16,  
1438:19, 1443:13,  
1443:21, 1446:7,  
1448:27, 1450:31,  
1453:12, 1453:13,  
1453:15, 1453:28,  
1456:21, 1468:21  
**firstly** [2] - 1442:29,  
1466:7  
**fit** [1] - 1442:30  
**five** [1] - 1443:14  
**fix** [2] - 1455:17,  
1455:18  
**flow** [1] - 1406:22  
**fluffy** [1] - 1415:4  
**flyaway** [1] - 1436:17  
**focus** [1] - 1406:35  
**follow** [3] - 1436:31,  
1464:34, 1464:36  
**followed** [3] -

1419:28, 1419:43,  
1439:1  
**following** [2] -  
1414:42, 1422:30  
**forensic** [23] -  
1406:25, 1415:11,  
1434:20, 1434:32,  
1437:43, 1437:45,  
1438:6, 1438:7,  
1440:12, 1441:37,  
1441:42, 1441:46,  
1441:47, 1442:21,  
1445:21, 1445:26,  
1445:29, 1459:33,  
1459:34, 1459:37,  
1459:40, 1466:16  
**Forensic** [4] -  
1405:11, 1405:16,  
1440:18, 1442:3  
**FORENSIC** [1] -  
1402:6  
**form** [3] - 1415:7,  
1421:43, 1430:43  
**formal** [7] - 1427:23,  
1427:33, 1445:7,  
1445:23, 1448:11,  
1448:15, 1449:8  
**formally** [2] - 1449:20,  
1449:23  
**formed** [1] - 1458:20  
**former** [1] - 1403:1  
**formulate** [1] -  
1461:27  
**forth** [3] - 1421:20,  
1429:36, 1442:16  
**forum** [1] - 1408:25  
**forward** [5] - 1406:27,  
1406:32, 1412:44,  
1417:2, 1439:8  
**forwarded** [1] -  
1410:15  
**forwarding** [1] -  
1418:4  
**forwards** [1] - 1406:41  
**four** [1] - 1456:22  
**fourth** [1] - 1424:20  
**frame** [1] - 1466:8  
**framework** [2] -  
1455:24, 1466:17  
**frank** [1] - 1429:20  
**frankly** [1] - 1408:42  
**free** [2] - 1439:38,  
1468:4  
**Friday** [2] - 1402:20,  
1412:34  
**FRIT** [5] - 1434:13,  
1434:20, 1434:27,  
1435:37, 1436:8  
**front** [3] - 1404:38,  
1417:14, 1438:26

**FSS** [1] - 1404:5  
**FSS.0001.0025.5114**  
[1] - 1456:22  
**fucker** [2] - 1435:37,  
1436:9  
**fuckers** [2] - 1434:13,  
1434:27  
**full** [1] - 1409:27  
**functioning** [1] -  
1442:33  
**functions** [2] -  
1452:28, 1454:8  
**fundamentally** [1] -  
1415:37  
**future** [2] - 1431:47,  
1448:5

**G**

**gap** [4] - 1423:28,  
1424:16, 1424:21,  
1424:40  
**gaslighting** [4] -  
1430:30, 1430:43,  
1431:12, 1436:1  
**gaslit** [1] - 1430:30  
**gather** [1] - 1461:32  
**general** [13] - 1442:19,  
1442:33, 1444:37,  
1447:30, 1448:38,  
1457:30, 1457:36,  
1457:37, 1459:19,  
1462:26, 1463:20,  
1463:33, 1463:34  
**generally** [10] -  
1413:34, 1442:31,  
1444:15, 1444:37,  
1447:9, 1455:30,  
1457:27, 1457:29,  
1457:30, 1457:31  
**generate** [4] - 1447:2,  
1451:36, 1452:15,  
1463:39  
**generated** [3] -  
1446:44, 1455:22,  
1465:25  
**generating** [2] -  
1451:9, 1461:23  
**generation** [2] -  
1450:42, 1466:14  
**Genetic** [2] - 1442:43,  
1446:19  
**George** [1] - 1402:15  
**given** [12] - 1405:33,  
1409:21, 1418:15,  
1420:12, 1421:8,  
1426:30, 1427:36,  
1428:39, 1433:36,  
1433:37, 1446:9,  
1457:17

**gosh** [1] - 1439:22  
**governance** [1] -  
1416:40  
**gratitude** [1] - 1468:3  
**great** [2] - 1414:41,  
1434:42  
**greater** [6] - 1410:40,  
1414:26, 1414:31,  
1417:37, 1436:36,  
1446:8  
**grievance** [4] -  
1435:46, 1436:4,  
1436:6, 1436:9  
**group** [10] - 1421:45,  
1422:27, 1438:21,  
1441:42, 1444:38,  
1450:41, 1459:25,  
1459:30, 1459:37,  
1459:45  
**grouping** [1] -  
1450:40  
**groups** [9] - 1443:46,  
1444:23, 1444:25,  
1444:27, 1444:29,  
1444:36, 1459:36,  
1459:37, 1459:43  
**guess** [3] - 1438:4,  
1442:27, 1459:43

**H**

**Hamilton** [1] - 1442:43  
**hand** [5] - 1417:17,  
1438:27, 1438:38,  
1439:12  
**handle** [2] - 1409:26,  
1415:6  
**handled** [1] - 1432:35  
**hands** [1] - 1403:14  
**hang** [1] - 1430:44  
**happy** [7] - 1411:12,  
1411:15, 1422:27,  
1429:42, 1432:14,  
1439:22, 1460:26  
**hard** [7] - 1415:41,  
1419:10, 1420:20,  
1430:6, 1457:20,  
1457:24  
**hardware** [1] -  
1448:33  
**harmonious** [1] -  
1413:47  
**header** [1] - 1403:45  
**heading** [2] - 1442:13,  
1443:20  
**Health** [5] - 1435:31,  
1437:41, 1440:18,  
1459:35, 1465:36  
**hear** [2] - 1434:6,  
1436:19

heard [12] - 1416:36, 1431:24, 1431:28, 1434:23, 1434:30, 1434:40, 1435:9, 1436:16, 1436:22, 1437:42, 1437:43, 1437:45

heart [1] - 1415:14

Hedge [5] - 1402:33, 1439:47, 1460:3, 1461:44, 1468:12

HEDGE [27] - 1419:30, 1419:36, 1439:36, 1440:2, 1440:7, 1440:9, 1440:30, 1440:36, 1440:45, 1441:5, 1441:11, 1441:20, 1441:24, 1445:45, 1460:1, 1460:8, 1460:13, 1460:22, 1460:32, 1460:46, 1461:46, 1462:6, 1462:12, 1466:40, 1466:43, 1466:45, 1468:1

heights [2] - 1452:11, 1452:12

held [2] - 1413:16, 1442:13

help [3] - 1405:45, 1417:32, 1427:38

helpful [3] - 1405:23, 1433:30, 1435:8

helpfully [1] - 1428:2

herself [2] - 1405:15, 1417:30

Hickey [10] - 1403:36, 1412:11, 1412:16, 1412:28, 1421:4, 1422:31, 1423:7, 1426:22, 1431:15, 1439:33

HICKEY [22] - 1403:38, 1403:40, 1412:13, 1412:18, 1412:31, 1416:19, 1416:28, 1419:42, 1420:34, 1420:40, 1421:6, 1422:34, 1422:41, 1426:24, 1431:32, 1431:36, 1431:40, 1432:2, 1432:11, 1432:19, 1434:11, 1439:31

high [4] - 1413:34, 1435:30, 1451:11, 1459:11

high-up [1] - 1435:30

higher [1] - 1439:29

highlighted [1] - 1455:23

highly [2] - 1413:21, 1413:26

hindsight [1] - 1422:21

history [1] - 1407:46

HODGE [2] - 1468:11, 1468:21

Hodge [2] - 1402:30, 1468:9

hold [1] - 1416:13

holistic [1] - 1457:26

home [1] - 1430:38

homogenised [1] - 1457:6

Hon [1] - 1402:26

honest [4] - 1415:16, 1435:2, 1437:16

hoping [1] - 1432:38

horse's [1] - 1420:26

Howes [29] - 1418:23, 1418:35, 1418:43, 1419:1, 1419:2, 1419:8, 1419:18, 1419:25, 1419:27, 1419:42, 1420:4, 1420:13, 1420:43, 1423:9, 1423:14, 1428:7, 1428:11, 1428:21, 1428:45, 1430:26, 1430:29, 1432:22, 1432:26, 1433:5, 1434:47, 1435:26, 1436:36, 1436:43, 1460:17

Howes' [1] - 1439:20

HP4 [1] - 1415:43

huge [1] - 1437:23

human [3] - 1413:31, 1435:27, 1458:3

hundreds [2] - 1442:9, 1442:10

1403:45, 1422:11, 1447:37, 1456:43

impact [1] - 1464:21

impacted [1] - 1465:1

implement [1] - 1452:46

implementation [13] - 1421:12, 1421:37, 1421:40, 1422:2, 1422:7, 1422:10, 1422:27, 1424:28, 1425:2, 1426:1, 1428:32, 1428:38, 1450:33

implementations [2] - 1438:20, 1438:47

implemented [3] - 1426:11, 1427:21, 1442:26

implicit [2] - 1432:12, 1445:20

implied [1] - 1437:32

important [7] - 1407:3, 1407:14, 1409:37, 1421:10, 1422:6, 1439:15, 1461:15

impression [6] - 1406:27, 1411:19, 1429:6, 1429:11, 1461:2, 1464:12

improve [6] - 1405:33, 1407:36, 1417:26, 1428:37, 1429:16, 1459:19

improving [3] - 1430:19, 1432:27, 1432:31

IN [2] - 1402:6, 1441:14

inappropriate [11] - 1408:46, 1417:2, 1417:5, 1420:4, 1420:12, 1435:20, 1435:29, 1443:15, 1444:44, 1456:1, 1463:16

include [6] - 1410:37, 1410:42, 1440:37, 1441:29, 1448:14, 1452:30

included [4] - 1432:25, 1450:32, 1463:45, 1464:2

including [4] - 1405:15, 1406:26, 1424:23, 1449:17

inclusion [1] - 1464:10

incorrect [3] - 1451:40, 1463:17, 1463:19

incorrectly [1] - 1463:45

increase [2] - 1447:47, 1458:45

increased [2] - 1448:4, 1464:25

increases [1] - 1444:32

indeed [5] - 1408:41, 1413:34, 1430:23, 1435:19, 1439:20

independent [1] - 1438:14

indicating [1] - 1405:25

indication [4] - 1447:34, 1454:16, 1457:15, 1462:30

indications [1] - 1406:31

individual [9] - 1411:3, 1413:4, 1417:34, 1440:46, 1445:7, 1448:17, 1463:44, 1464:1, 1464:16

individually [3] - 1450:42, 1451:5, 1463:8

inevitably [1] - 1431:41

influence [1] - 1405:35

influenced [2] - 1406:33, 1406:34

information [24] - 1412:24, 1414:31, 1417:12, 1417:14, 1419:9, 1420:9, 1420:23, 1420:44, 1421:1, 1421:33, 1421:43, 1422:23, 1424:8, 1425:34, 1425:42, 1431:46, 1432:44, 1438:29, 1438:39, 1453:9, 1453:28, 1462:43, 1465:39

informed [2] - 1424:1, 1438:29

informing [1] - 1460:17

informs [1] - 1451:26

Ingrid [3] - 1420:1, 1420:7, 1420:21

inherent [1] - 1461:21

input [1] - 1438:33

INQUIRY [1] - 1402:4

insincere [1] - 1429:15

insofar [1] - 1458:46

instance [3] - 1414:9, 1431:28, 1443:45

instances [1] - 1444:46

instantly [1] - 1430:2

instead [2] - 1407:41, 1443:32

instructed [1] - 1461:37

instructions [1] - 1431:44

instrument [23] - 1425:36, 1442:22, 1442:29, 1442:42, 1445:37, 1446:41, 1447:4, 1447:6, 1448:29, 1449:9, 1449:14, 1453:4, 1454:26, 1454:30, 1454:38, 1461:7, 1461:13, 1461:15, 1463:36, 1465:38, 1466:15, 1467:5

instrumentation [2] - 1423:36, 1425:20

instruments [27] - 1442:37, 1443:1, 1443:7, 1447:15, 1450:41, 1450:45, 1451:1, 1451:2, 1451:4, 1452:12, 1453:14, 1453:21, 1453:27, 1454:16, 1454:27, 1454:29, 1454:34, 1454:35, 1455:5, 1455:8, 1455:39, 1455:41, 1462:25, 1462:34, 1462:39, 1465:11

insult [1] - 1417:16

integrity [6] - 1415:3, 1415:36, 1416:12, 1416:16, 1416:30, 1416:36

intelligence [4] - 1413:30, 1434:21, 1434:32, 1460:36

intelligent [4] - 1413:21, 1413:26, 1415:6, 1418:6

intended [2] - 1409:17, 1411:7

intense [1] - 1447:3

intentions [1] - 1411:11

interaction [1] - 1414:22

**interactions** [2] - 1405:30, 1406:10  
**interest** [1] - 1447:7  
**interested** [6] - 1404:15, 1404:26, 1411:21, 1422:34, 1422:35, 1422:42  
**interim** [1] - 1455:18  
**interpret** [1] - 1423:43  
**interpretation** [1] - 1425:37  
**interpreting** [1] - 1423:44  
**interrupt** [3] - 1412:10, 1412:16, 1431:16  
**interrupted** [1] - 1403:3  
**INTO** [1] - 1402:6  
**introduce** [1] - 1426:15  
**introduced** [3] - 1425:14, 1425:18, 1425:26  
**introduction** [3] - 1426:7, 1426:27, 1426:37  
**invalidating** [1] - 1455:29  
**investigations** [1] - 1404:22  
**involve** [1] - 1452:10  
**involved** [7] - 1422:36, 1438:11, 1442:5, 1445:8, 1445:17, 1445:32, 1451:8  
**involvement** [1] - 1466:5  
**IS** [1] - 1403:29  
**isolated** [2] - 1457:24  
**issue** [25] - 1409:44, 1411:13, 1418:36, 1419:3, 1428:34, 1428:38, 1429:23, 1435:26, 1435:28, 1436:32, 1439:7, 1443:41, 1449:29, 1454:19, 1454:33, 1456:33, 1458:2, 1460:5, 1460:15, 1462:28, 1462:43, 1463:40, 1463:46, 1464:41, 1467:22  
**issues** [32] - 1405:6, 1405:20, 1405:46, 1407:21, 1408:3, 1409:7, 1409:29, 1409:32, 1410:45, 1411:2, 1413:38, 1414:40, 1415:16,

1416:2, 1416:3, 1419:21, 1429:37, 1433:41, 1434:1, 1435:10, 1435:29, 1435:43, 1437:40, 1437:41, 1437:46, 1438:5, 1445:15, 1446:39, 1452:33, 1463:30  
**items** [4] - 1407:35, 1417:35, 1442:4, 1456:16  
**itself** [4] - 1404:4, 1414:40, 1448:33, 1466:10

**J**

**January** [9] - 1423:9, 1423:14, 1423:25, 1424:19, 1425:47, 1453:42, 1460:9, 1460:23, 1460:32  
**job** [12] - 1413:41, 1413:45, 1416:34, 1416:45, 1417:32, 1418:1, 1439:5, 1439:23, 1439:24, 1439:25  
**jobs** [2] - 1416:44, 1439:28  
**John** [1] - 1435:41  
**John's** [1] - 1404:5  
**Jones** [1] - 1402:32  
**Joshua** [1] - 1402:32  
**journey** [2] - 1405:12, 1405:16  
**journeys** [1] - 1431:3  
**July** [3] - 1403:24, 1403:25, 1418:17  
**JULY** [1] - 1403:30  
**June** [4] - 1418:16, 1418:26, 1419:6, 1419:25  
**jurisdictions** [1] - 1461:34  
**justified** [1] - 1431:30  
**Justin** [20] - 1414:23, 1418:23, 1418:26, 1418:30, 1419:45, 1420:21, 1420:23, 1422:11, 1424:21, 1424:43, 1428:46, 1430:28, 1430:32, 1432:31, 1432:39, 1435:9, 1435:11, 1436:47, 1437:34, 1438:8  
**Justin's** [2] - 1420:8, 1439:25

**K**

**KC** [2] - 1402:26, 1402:30  
**keep** [5] - 1410:31, 1410:42, 1412:46, 1430:38, 1434:27  
**Keller** [2] - 1418:21, 1418:22  
**kept** [3] - 1409:9, 1431:27, 1457:7  
**key** [2] - 1440:31, 1440:38  
**kind** [7] - 1406:27, 1414:19, 1420:13, 1428:44, 1431:10, 1432:42, 1432:47  
**Kirsten** [2] - 1428:16, 1428:17  
**kit** [1] - 1448:31  
**knowledge** [7] - 1414:31, 1445:15, 1454:6, 1458:45, 1458:47, 1459:12, 1461:22  
**known** [4] - 1411:15, 1413:29, 1416:47, 1417:7  
**KR-05** [3] - 1423:8, 1424:20, 1427:44  
**Kylie** [2] - 1410:27, 1430:33  
**KYLIE** [1] - 1403:1

**L**

**lab** [19] - 1405:45, 1413:35, 1414:6, 1417:21, 1424:2, 1424:9, 1427:30, 1435:27, 1435:30, 1437:17, 1437:21, 1437:30, 1438:19, 1439:7, 1439:17, 1445:30, 1450:12, 1451:21  
**lab's** [1] - 1428:17  
**laboratories** [1] - 1459:42  
**laboratory** [28] - 1406:15, 1409:24, 1416:41, 1440:13, 1442:21, 1442:24, 1442:38, 1443:7, 1447:46, 1448:34, 1449:45, 1450:4, 1450:5, 1451:28, 1452:28, 1452:45, 1453:4, 1454:8, 1454:41, 1454:44,

1455:1, 1455:26, 1459:20, 1459:40, 1461:38, 1463:27, 1465:45, 1466:24  
**labs** [2] - 1461:34, 1461:39  
**language** [2] - 1428:26, 1429:21  
**Lara** [2] - 1418:21, 1418:22  
**large** [10] - 1427:13, 1457:27, 1458:38, 1458:40, 1459:36, 1463:24, 1465:4, 1465:25, 1467:23  
**largely** [2] - 1452:9, 1463:35  
**larger** [1] - 1458:14  
**last** [10] - 1403:25, 1404:27, 1406:47, 1423:13, 1431:20, 1432:20, 1454:2, 1455:10, 1458:21, 1458:44  
**LAST** [1] - 1403:29  
**laughing** [1] - 1430:27  
**Laura** [1] - 1402:31  
**lay** [1] - 1403:13  
**layers** [1] - 1439:26  
**lead** [1] - 1442:35  
**leader** [5] - 1414:42, 1415:8, 1415:18, 1428:23, 1460:36  
**leading** [1] - 1443:9  
**learn** [1] - 1433:38  
**learned** [2] - 1423:38, 1432:38  
**least** [6] - 1409:8, 1417:20, 1437:47, 1444:3, 1451:33, 1459:24  
**leave** [5] - 1415:44, 1421:40, 1427:20, 1427:24, 1444:7  
**left** [2] - 1411:14, 1454:25  
**legal** [1] - 1458:2  
**legislation** [1] - 1458:3  
**lengthy** [1] - 1462:13  
**less** [6] - 1446:7, 1446:8, 1449:11, 1455:30, 1466:12, 1467:22  
**Level** [1] - 1402:15  
**level** [16] - 1414:6, 1414:46, 1415:25, 1415:38, 1416:12, 1416:36, 1417:21, 1432:39, 1435:39,

1444:21, 1449:10, 1451:11, 1458:23, 1459:9, 1459:11, 1459:42  
**levels** [2] - 1446:44, 1447:4  
**liaise** [1] - 1420:5  
**lies** [1] - 1453:36  
**life** [1] - 1439:26  
**lifts** [1] - 1458:13  
**likelihood** [14] - 1451:41, 1451:47, 1452:24, 1453:34, 1464:15, 1464:22, 1464:25, 1464:26, 1464:32, 1464:38, 1465:21, 1465:23, 1465:26, 1465:27  
**likely** [7] - 1417:25, 1433:5, 1450:45, 1463:32, 1464:9, 1464:31  
**limit** [11] - 1448:40, 1448:46, 1449:4, 1449:7, 1449:12, 1449:18, 1449:19, 1449:20, 1449:31, 1467:22, 1467:26  
**limited** [1] - 1452:4  
**limiting** [1] - 1457:45  
**limits** [4] - 1442:28, 1442:32, 1446:40, 1461:16  
**line** [16] - 1407:1, 1414:43, 1419:2, 1419:21, 1420:6, 1427:24, 1430:1, 1434:46, 1436:33, 1446:7, 1447:38, 1448:43, 1452:2, 1452:22, 1452:27, 1456:3  
**lines** [3] - 1435:13, 1443:21, 1444:13  
**link** [1] - 1468:5  
**list** [11] - 1426:27, 1426:37, 1440:31, 1440:36, 1440:40, 1440:46, 1441:2, 1442:41, 1453:45, 1456:17, 1468:18  
**LIST** [1] - 1440:43  
**listed** [1] - 1444:13  
**LISTED** [1] - 1441:14  
**listening** [1] - 1411:26  
**lists** [1] - 1463:11  
**literature** [2] - 1458:20, 1459:1  
**locate** [1] - 1421:21  
**location** [1] - 1424:22

**LOD** [3] - 1449:40, 1449:46, 1450:5  
**longer-term** [1] - 1455:18  
**look** [14] - 1407:19, 1407:45, 1410:24, 1412:7, 1424:43, 1427:36, 1428:12, 1443:25, 1456:43, 1457:24, 1457:26, 1458:21, 1459:1, 1459:39  
**looked** [3] - 1409:15, 1452:10, 1454:1  
**looking** [11] - 1403:42, 1411:25, 1424:19, 1436:17, 1438:25, 1438:46, 1446:19, 1449:29, 1450:41, 1456:43, 1460:6  
**looks** [2] - 1447:5, 1447:9  
**low** [3] - 1450:15, 1457:29, 1457:30  
**lower** [5] - 1414:46, 1415:25, 1415:38, 1416:12, 1446:46  
**lower-level** [4] - 1414:46, 1415:25, 1415:38, 1416:12  
**loyalty** [2] - 1416:38, 1416:42

**M**

**machine** [5] - 1442:43, 1443:29, 1448:28, 1462:43, 1467:6  
**machines** [8] - 1442:44, 1443:10, 1448:24, 1450:23, 1455:17, 1460:18, 1462:34, 1466:1  
**Magistrates** [1] - 1402:14  
**magnitude** [1] - 1465:27  
**main** [1] - 1409:29  
**maintain** [2] - 1417:20, 1433:1  
**major** [4] - 1427:1, 1427:2, 1427:4, 1427:14  
**Maker** [61] - 1421:35, 1421:36, 1421:47, 1422:9, 1422:25, 1423:29, 1423:33, 1424:5, 1424:17, 1424:28, 1424:36,

1424:37, 1424:41, 1425:2, 1425:5, 1425:6, 1425:18, 1425:19, 1425:35, 1425:42, 1426:1, 1426:6, 1450:42, 1451:9, 1451:20, 1451:22, 1451:37, 1451:40, 1452:15, 1452:45, 1453:22, 1453:43, 1453:44, 1454:19, 1454:23, 1454:26, 1454:28, 1454:35, 1455:16, 1455:27, 1455:29, 1455:38, 1460:15, 1460:24, 1460:35, 1460:40, 1463:31, 1463:34, 1463:37, 1463:41, 1463:46, 1464:24, 1464:41, 1464:43, 1465:5, 1465:10, 1465:40, 1465:46, 1466:10, 1466:13  
**manage** [3] - 1411:27, 1413:5, 1413:42  
**management** [41] - 1406:18, 1407:20, 1407:21, 1408:16, 1408:37, 1408:42, 1408:47, 1409:1, 1409:2, 1409:6, 1409:7, 1409:10, 1411:22, 1411:33, 1413:9, 1413:12, 1413:18, 1414:5, 1414:38, 1415:2, 1415:38, 1416:7, 1416:40, 1417:9, 1421:17, 1422:13, 1422:17, 1427:39, 1430:33, 1431:4, 1435:3, 1435:5, 1435:6, 1435:44, 1437:22, 1437:29, 1438:13, 1438:22, 1438:35, 1438:41, 1461:37  
**management's** [1] - 1406:34  
**manager** [27] - 1404:35, 1404:38, 1408:3, 1408:4, 1410:46, 1411:20, 1411:25, 1413:42, 1414:46, 1415:13, 1415:14, 1415:26, 1416:13, 1417:20, 1419:2, 1419:21, 1420:6, 1427:24,

1428:17, 1434:47, 1436:7, 1438:22, 1439:1, 1439:10  
**managers** [7] - 1406:26, 1407:37, 1407:41, 1408:6, 1408:20, 1408:23, 1436:47  
**managing** [1] - 1408:34  
**manipulate** [1] - 1413:25  
**manipulation** [1] - 1436:2  
**manner** [3] - 1446:25, 1454:36, 1455:5  
**March** [7] - 1453:43, 1454:9, 1455:22, 1456:16, 1460:24, 1463:35, 1466:15  
**mark** [1] - 1441:17  
**masters** [1] - 1445:21  
**material** [1] - 1440:18  
**materials** [1] - 1440:38  
**maternity** [2] - 1427:20, 1427:23  
**matter** [11] - 1420:37, 1421:47, 1438:34, 1438:45, 1439:11, 1454:33, 1454:40, 1455:26, 1464:40, 1465:4, 1466:18  
**matters** [3] - 1412:42, 1427:8, 1452:30  
**mature** [1] - 1405:12  
**mean** [16] - 1411:10, 1411:12, 1417:15, 1419:22, 1432:41, 1433:13, 1433:47, 1439:10, 1439:18, 1446:27, 1446:39, 1455:11, 1459:31, 1459:32, 1463:47, 1465:18  
**means** [6] - 1405:22, 1443:46, 1444:19, 1444:22, 1449:3, 1451:10  
**meant** [3] - 1413:45, 1432:24, 1455:13  
**meantime** [1] - 1449:36  
**meet** [1] - 1467:25  
**meeting** [1] - 1412:6  
**member** [9] - 1408:37, 1408:47, 1409:2, 1409:6, 1422:17, 1434:7, 1434:22, 1434:29, 1437:29

**members** [18] - 1408:2, 1408:17, 1408:41, 1409:1, 1409:10, 1410:16, 1411:22, 1413:21, 1414:5, 1417:8, 1417:10, 1430:33, 1434:12, 1434:19, 1435:5, 1435:18, 1459:23  
**memory** [3] - 1418:47, 1419:45, 1428:6  
**mentioned** [5] - 1412:45, 1414:45, 1435:38, 1466:8, 1466:27  
**mentor** [2] - 1427:38, 1427:41  
**message** [3] - 1404:4, 1409:46, 1437:25  
**messages** [3] - 1430:32, 1430:37, 1430:42  
**method** [6] - 1442:23, 1442:30, 1442:32, 1442:33, 1442:44, 1456:47  
**methods** [1] - 1456:5  
**Michael** [1] - 1402:30  
**microlitre** [3] - 1419:46, 1420:9, 1420:16  
**mid** [2] - 1457:29  
**mid-range** [2] - 1457:29  
**middle** [7] - 1403:46, 1414:46, 1415:26, 1416:13, 1417:20, 1448:37, 1450:24  
**might** [24] - 1409:1, 1411:10, 1412:44, 1413:2, 1413:16, 1420:43, 1422:21, 1429:8, 1430:13, 1432:27, 1433:26, 1439:36, 1443:43, 1445:11, 1452:38, 1453:37, 1454:9, 1455:35, 1457:16, 1457:41, 1459:6, 1459:31, 1459:36, 1461:14  
**mild** [4] - 1453:37, 1463:21, 1463:22  
**million** [1] - 1435:29  
**mind** [8] - 1406:1, 1417:39, 1420:14, 1435:16, 1435:40, 1450:47, 1453:10, 1460:6

**minds** [2] - 1413:25, 1417:14  
**minor** [4] - 1422:8, 1454:10, 1455:23, 1463:35  
**minute** [1] - 1411:39  
**misaligned** [1] - 1463:26  
**misconceived** [1] - 1432:14  
**missed** [1] - 1421:13  
**missing** [1] - 1460:27  
**mistake** [1] - 1406:5  
**mix** [2] - 1463:4, 1464:16  
**mixed** [1] - 1462:47  
**mixture** [7] - 1448:32, 1463:9, 1463:11, 1463:14, 1463:46, 1464:2, 1464:4  
**mixtures** [1] - 1465:22  
**model** [4] - 1423:35, 1424:9, 1427:16, 1451:1  
**Model** [61] - 1421:35, 1421:36, 1421:47, 1422:9, 1422:25, 1423:29, 1423:33, 1424:5, 1424:17, 1424:27, 1424:36, 1424:37, 1424:41, 1425:1, 1425:5, 1425:6, 1425:18, 1425:19, 1425:35, 1425:42, 1426:1, 1426:6, 1450:42, 1451:9, 1451:20, 1451:22, 1451:37, 1451:40, 1452:15, 1452:45, 1453:22, 1453:43, 1453:44, 1454:19, 1454:23, 1454:26, 1454:28, 1454:35, 1455:16, 1455:27, 1455:29, 1455:38, 1460:15, 1460:24, 1460:35, 1460:40, 1463:31, 1463:34, 1463:37, 1463:41, 1463:46, 1464:24, 1464:40, 1464:43, 1465:5, 1465:10, 1465:40, 1465:46, 1466:10, 1466:13  
**models** [4] - 1451:13, 1451:16, 1451:26, 1451:27  
**Moeller** [12] - 1403:42, 1414:27, 1414:34,

1418:13, 1418:14,  
1419:1, 1419:3,  
1419:20, 1419:28,  
1419:44, 1420:6,  
1420:44  
**Moeller's** [6] -  
1414:10, 1418:36,  
1419:2, 1419:19,  
1420:32, 1420:46  
**moment** [6] - 1403:14,  
1412:11, 1422:31,  
1422:44, 1428:3,  
1439:15  
**moments** [3] - 1406:2,  
1428:40, 1430:30  
**Monday** [8] - 1466:6,  
1466:20, 1466:24,  
1468:12, 1468:13,  
1468:14, 1468:17,  
1468:25  
**MONDAY** [1] -  
1468:28  
**months** [1] - 1423:25  
**morning** [2] - 1412:34,  
1426:32  
**most** [4] - 1436:7,  
1444:47, 1445:1,  
1453:11  
**mouth** [1] - 1420:26  
**move** [1] - 1405:32  
**moved** [3] - 1406:27,  
1420:37  
**moving** [5] - 1406:31,  
1420:34, 1421:10,  
1422:6, 1439:8  
**multifunction** [1] -  
1434:26  
**multiple** [8] - 1407:46,  
1444:38, 1447:10,  
1447:11, 1457:1,  
1457:2, 1462:24,  
1463:6  
**murders** [1] - 1427:7  
**must** [6] - 1412:25,  
1416:47, 1417:7,  
1425:34, 1431:15,  
1434:40

**N**

**name** [2] - 1405:42,  
1462:20  
**navigate** [1] - 1427:38  
**necessarily** [1] -  
1445:22  
**necessary** [5] -  
1408:41, 1410:41,  
1423:34, 1425:41,  
1426:6  
**need** [24] - 1404:10,

1406:35, 1407:20,  
1408:20, 1408:23,  
1412:15, 1413:22,  
1415:18, 1416:43,  
1417:15, 1417:38,  
1421:20, 1425:22,  
1428:6, 1438:29,  
1438:39, 1442:26,  
1447:44, 1447:45,  
1451:30, 1455:35,  
1456:42, 1458:37  
**needed** [4] - 1409:27,  
1409:30, 1412:47,  
1430:47  
**needing** [1] - 1406:28  
**needs** [5] - 1407:25,  
1412:40, 1423:47,  
1439:24, 1460:39  
**negative** [4] - 1408:18,  
1411:24, 1434:5,  
1434:20  
**never** [4] - 1411:7,  
1433:26, 1433:36,  
1461:40  
**nevertheless** [1] -  
1414:25  
**new** [4] - 1425:36,  
1453:26, 1455:35,  
1465:24  
**next** [7] - 1407:31,  
1410:11, 1412:36,  
1429:45, 1447:37,  
1456:1, 1456:33  
**ng/µL** [5] - 1448:45,  
1449:1, 1449:17,  
1450:6, 1450:18  
**nice** [3] - 1415:4,  
1444:4, 1444:14  
**nobody** [1] - 1462:9  
**non** [2] - 1412:15,  
1444:12  
**non-parametric** [1] -  
1444:12  
**non-publication** [1] -  
1412:15  
**none** [2] - 1439:36,  
1444:35  
**normal** [1] - 1456:30  
**normally** [1] - 1444:4  
**note** [2] - 1404:29,  
1446:10  
**nothing** [6] - 1414:41,  
1417:24, 1437:10,  
1462:35, 1462:38,  
1466:19  
**noticed** [1] - 1423:26  
**notwithstanding** [1] -  
1413:30  
**number** [23] -  
1405:29, 1407:34,

1427:14, 1427:45,  
1433:32, 1441:9,  
1442:15, 1442:36,  
1443:8, 1443:9,  
1443:29, 1443:47,  
1444:46, 1446:23,  
1449:30, 1450:36,  
1452:32, 1453:10,  
1456:17, 1456:38,  
1459:18, 1465:22  
**numbers** [6] -  
1440:46, 1443:6,  
1443:47, 1453:45,  
1456:34, 1456:35  
**numerical** [1] -  
1461:19

**O**

**oath** [1] - 1403:1  
**object** [1] - 1419:30  
**objectionable** [1] -  
1419:34  
**objective** [1] - 1445:36  
**obligation** [2] -  
1416:4, 1431:19  
**obliged** [1] - 1431:40  
**obtain** [1] - 1458:12  
**obtained** [3] - 1448:3,  
1461:9, 1462:34  
**obviously** [3] -  
1405:15, 1453:12,  
1459:35  
**occasion** [1] -  
1434:35  
**occasions** [3] -  
1409:3, 1415:20,  
1433:32  
**occur** [2] - 1445:11,  
1464:11  
**occurred** [4] -  
1406:38, 1435:19,  
1460:3, 1464:41  
**occurring** [1] -  
1453:38  
**October** [3] - 1402:20,  
1412:23, 1440:17  
**OCTOBER** [1] -  
1468:28  
**OF** [4] - 1402:4,  
1403:29, 1440:43,  
1441:14  
**offered** [2] - 1419:3,  
1419:20  
**often** [4] - 1426:38,  
1445:28, 1448:7,  
1457:40  
**old** [2] - 1426:43,  
1427:16  
**once** [1] - 1458:8

**one** [39] - 1405:30,  
1406:9, 1407:45,  
1408:26, 1408:28,  
1408:47, 1409:11,  
1409:15, 1409:27,  
1409:28, 1412:8,  
1415:5, 1428:3,  
1434:19, 1435:1,  
1444:1, 1444:8,  
1444:34, 1444:39,  
1446:15, 1447:11,  
1447:37, 1456:33,  
1456:45, 1457:36,  
1457:41, 1457:44,  
1457:45, 1458:17,  
1459:16, 1459:24,  
1460:35, 1461:2,  
1461:3, 1461:4,  
1461:22, 1462:28,  
1464:27  
**ones** [4] - 1406:30,  
1438:46, 1457:29,  
1457:30  
**ongoing** [1] - 1407:42  
**open** [5] - 1412:2,  
1416:11, 1416:30,  
1421:30, 1455:1  
**opening** [1] - 1441:20  
**operates** [1] - 1450:4  
**operation** [1] -  
1455:39  
**operations** [1] -  
1442:25  
**Operator** [8] -  
1403:40, 1404:3,  
1404:46, 1410:9,  
1418:13, 1421:7,  
1428:10, 1429:47  
**operator** [7] - 1441:25,  
1441:45, 1442:19,  
1443:20, 1448:37,  
1452:20, 1459:17  
**opinion** [6] - 1452:29,  
1460:27, 1460:39,  
1460:42, 1461:40,  
1462:26  
**opinions** [2] -  
1417:47, 1467:16  
**opportunity** [1] -  
1453:41  
**opposed** [1] - 1467:42  
**options** [1] - 1445:11  
**oral** [1] - 1455:44  
**orally** [1] - 1459:19  
**order** [12] - 1412:16,  
1413:23, 1414:32,  
1423:47, 1432:20,  
1433:38, 1443:47,  
1446:9, 1451:21,  
1451:30, 1461:6,

1467:13  
**orders** [1] - 1465:26  
**ordinarily** [1] - 1409:5  
**organisation** [1] -  
1459:36  
**orient** [1] - 1404:14  
**orientate** [1] - 1404:1  
**original** [2] - 1456:14,  
1456:27  
**originally** [2] -  
1455:28, 1466:26  
**otherwise** [1] -  
1438:12  
**outcome** [2] -  
1450:10, 1450:46  
**outer** [2] - 1435:3,  
1435:44  
**outline** [1] - 1463:47  
**output** [4] - 1447:26,  
1463:23, 1463:25,  
1467:5  
**outputs** [1] - 1451:42  
**outside** [3] - 1456:29,  
1459:37, 1459:40  
**Overall** [1] - 1450:24  
**overheard** [2] -  
1434:24, 1434:36  
**overly** [1] - 1456:44  
**overseas** [1] - 1461:35  
**oversees** [1] - 1413:3  
**own** [11] - 1408:17,  
1413:25, 1417:10,  
1417:14, 1417:47,  
1418:7, 1420:21,  
1430:25, 1431:22,  
1432:26, 1432:40

**P**

**page** [48] - 1403:22,  
1403:43, 1403:46,  
1404:47, 1409:15,  
1410:9, 1412:22,  
1418:14, 1423:9,  
1423:13, 1428:1,  
1428:2, 1428:11,  
1428:19, 1429:28,  
1429:34, 1429:46,  
1430:10, 1436:39,  
1441:24, 1441:40,  
1441:41, 1442:12,  
1442:13, 1443:19,  
1445:3, 1446:7,  
1446:18, 1446:19,  
1447:17, 1448:37,  
1449:29, 1450:23,  
1452:19, 1452:21,  
1453:8, 1455:44,  
1455:46, 1455:47,  
1456:1, 1456:33,

1456:35, 1456:37,  
1456:39, 1459:16,  
1466:27  
**pages** [3] - 1412:22,  
1456:22, 1466:26  
**paid** [1] - 1415:29  
**pander** [1] - 1432:43  
**panel** [1] - 1403:45  
**paragraph** [21] -  
1404:27, 1405:27,  
1406:47, 1407:32,  
1408:10, 1418:19,  
1418:20, 1418:22,  
1418:24, 1418:25,  
1418:27, 1420:29,  
1420:45, 1421:6,  
1422:14, 1426:24,  
1426:31, 1426:35,  
1427:19, 1428:39,  
1448:38  
**paragraphs** [1] -  
1443:21  
**parameter** [1] -  
1425:17  
**parameters** [10] -  
1424:1, 1425:6,  
1425:11, 1426:15,  
1450:43, 1451:9,  
1455:16, 1460:25,  
1465:18, 1465:46  
**parametric** [1] -  
1444:12  
**parry** [3] - 1458:27,  
1458:35, 1459:9  
**parry's** [2] - 1458:32,  
1459:10  
**part** [34] - 1408:18,  
1411:36, 1413:41,  
1413:45, 1414:4,  
1415:21, 1415:22,  
1417:19, 1418:34,  
1420:1, 1421:36,  
1422:1, 1422:22,  
1424:8, 1425:2,  
1425:20, 1425:33,  
1425:34, 1427:39,  
1430:30, 1432:30,  
1435:31, 1437:23,  
1437:26, 1437:29,  
1437:40, 1438:13,  
1438:22, 1442:25,  
1448:38, 1452:20,  
1461:12  
**partially** [1] - 1453:18  
**particular** [40] -  
1404:26, 1405:7,  
1408:11, 1408:26,  
1409:27, 1409:29,  
1409:44, 1411:4,  
1411:45, 1413:46,  
1418:39, 1424:2,  
1427:15, 1428:45,  
1428:46, 1431:4,  
1436:27, 1436:30,  
1439:11, 1441:36,  
1442:23, 1443:10,  
1443:24, 1443:45,  
1444:2, 1444:11,  
1444:18, 1444:45,  
1446:33, 1447:18,  
1447:24, 1448:43,  
1449:16, 1454:13,  
1454:33, 1456:46,  
1457:4, 1457:34,  
1466:29  
**particularly** [5] -  
1441:46, 1453:39,  
1456:36, 1458:39,  
1461:15  
**parties** [2] - 1440:37,  
1468:22  
**parts** [3] - 1417:17,  
1421:40, 1458:31  
**party** [2] - 1414:43,  
1430:36  
**passed** [1] - 1419:8  
**passive** [2] - 1418:8,  
1418:10  
**past** [1] - 1445:31  
**Paula** [4] - 1407:5,  
1414:23, 1428:30,  
1437:25  
**Paula/Kirsten** [1] -  
1428:13  
**peak** [2] - 1452:11  
**peaks** [2] - 1446:47,  
1447:2  
**Penelope** [1] -  
1427:42  
**people** [27] - 1408:47,  
1413:16, 1413:20,  
1413:26, 1414:14,  
1414:18, 1415:8,  
1416:39, 1417:13,  
1417:47, 1418:6,  
1426:2, 1430:44,  
1432:33, 1433:11,  
1435:30, 1436:5,  
1439:5, 1439:8,  
1439:16, 1445:29,  
1459:37, 1461:27,  
1463:6, 1463:8,  
1467:15  
**per** [5] - 1437:23,  
1439:6, 1449:12,  
1449:14, 1449:24  
**perception** [3] -  
1406:33, 1406:38,  
1406:42  
**perceptions** [1] -  
1433:12  
**performance** [2] -  
1452:26, 1455:7  
**performing** [5] -  
1423:42, 1451:3,  
1454:17, 1461:13,  
1462:40  
**perhaps** [15] - 1404:9,  
1404:15, 1405:32,  
1412:39, 1432:13,  
1443:38, 1443:43,  
1447:32, 1452:13,  
1459:34, 1459:35,  
1461:17, 1464:2,  
1465:26, 1467:13  
**person** [5] - 1431:18,  
1436:6, 1445:20,  
1459:39, 1464:3  
**personal** [2] -  
1436:41, 1438:15  
**personally** [4] -  
1413:45, 1414:10,  
1432:40, 1457:25  
**perspective** [1] -  
1405:9  
**pertain** [1] - 1424:1  
**phase** [4] - 1421:12,  
1422:7, 1422:10,  
1422:28  
**PhD** [3] - 1441:29,  
1441:33, 1441:36  
**photocopier** [1] -  
1434:26  
**physical** [1] - 1458:1  
**pick** [1] - 1453:35  
**picked** [1] - 1453:38  
**picture** [1] - 1415:47  
**piece** [5] - 1406:32,  
1407:3, 1407:5,  
1407:14, 1412:41  
**place** [4] - 1405:32,  
1408:33, 1415:41,  
1436:17  
**plan** [2] - 1408:5,  
1428:32  
**plans** [1] - 1411:4  
**play** [3] - 1437:23,  
1437:26, 1437:30  
**plays** [1] - 1453:18  
**plot** [1] - 1444:5  
**point** [22] - 1404:40,  
1406:28, 1407:28,  
1414:47, 1417:44,  
1420:44, 1421:37,  
1426:15, 1430:23,  
1430:27, 1431:22,  
1432:22, 1446:45,  
1447:2, 1449:24,  
1451:17, 1453:31,  
1457:21, 1461:17,  
1464:45, 1466:29,  
1467:27  
**pointless** [1] -  
1435:16  
**points** [7] - 1444:6,  
1451:14, 1451:17,  
1451:25, 1453:29,  
1456:42, 1462:42  
**Police** [2] - 1462:21,  
1467:10  
**politics** [1] - 1415:44  
**pool** [1] - 1450:44  
**pooled** [6] - 1451:6,  
1453:14, 1454:36,  
1455:17, 1465:5,  
1465:47  
**pooling** [1] - 1450:40  
**portal** [1] - 1403:15  
**posit** [1] - 1445:11  
**position** [8] - 1415:43,  
1416:6, 1416:29,  
1431:20, 1432:21,  
1438:35, 1439:20,  
1466:25  
**positions** [5] - 1418:7,  
1439:9, 1439:16,  
1442:13, 1442:15  
**positive** [2] - 1407:44,  
1434:5  
**positively** [1] -  
1405:34  
**possibilities** [1] -  
1416:33  
**possibility** [4] -  
1451:43, 1463:47,  
1464:1, 1466:20  
**possible** [5] -  
1412:35, 1413:19,  
1414:1, 1463:12,  
1465:47  
**postscript** [1] -  
1410:29  
**potentially** [3] -  
1408:26, 1447:15,  
1465:14  
**powder** [1] - 1457:6  
**powdered** [1] - 1457:6  
**power** [2] - 1413:24,  
1413:28  
**PowerPlex** [1] -  
1446:45  
**Powerplex21** [1] -  
1446:21  
**PP21** [3] - 1427:20,  
1427:29, 1427:36  
**practical** [2] - 1450:3,  
1450:10  
**practice** [12] -  
1446:28, 1447:25,  
1447:40, 1448:21,  
1448:40, 1450:31,  
1451:3, 1451:32,  
1454:32, 1458:9,  
1458:18, 1463:39  
**praise** [1] - 1429:38  
**praising** [1] - 1429:41  
**predefined** [2] -  
1449:11, 1461:14  
**preferably** [2] -  
1445:32, 1451:34  
**prepare** [1] - 1441:7  
**prepared** [2] -  
1440:36, 1442:35  
**present** [2] - 1419:14,  
1463:40  
**presented** [1] -  
1421:43  
**press** [1] - 1435:26  
**presumably** [1] -  
1428:22  
**previous** [12] -  
1428:11, 1445:37,  
1454:26, 1454:29,  
1456:35, 1456:36,  
1464:20, 1464:34,  
1464:39, 1465:11,  
1465:14, 1466:14  
**previously** [3] -  
1418:7, 1457:18,  
1465:8  
**primary** [1] - 1416:38  
**printer** [1] - 1434:26  
**printing** [1] - 1434:27  
**priority** [1] - 1408:4  
**privacy** [1] - 1412:42  
**private** [3] - 1412:37,  
1412:40, 1417:2  
**probability** [3] -  
1444:20, 1444:23,  
1449:10  
**problem** [4] - 1406:29,  
1408:8, 1430:44,  
1443:38  
**problems** [5] - 1408:5,  
1430:25, 1433:33,  
1433:38, 1444:42  
**procedure** [1] -  
1426:26  
**proceed** [1] - 1466:30  
**process** [28] -  
1404:21, 1418:16,  
1418:22, 1421:31,  
1422:35, 1425:20,  
1428:38, 1436:5,  
1438:19, 1438:21,  
1438:23, 1438:41,  
1438:42, 1438:44,  
1439:1, 1439:6,  
1442:23, 1442:26,  
1442:30, 1450:13,

1454:10, 1459:19,  
1461:4, 1461:10,  
1463:10  
**processed** [2] -  
1450:7, 1450:37  
**processes** [5] -  
1423:36, 1427:39,  
1443:1, 1449:45,  
1459:44  
**produce** [1] - 1461:5  
**produced** [27] -  
1440:16, 1443:8,  
1447:20, 1447:34,  
1451:21, 1451:47,  
1452:23, 1452:25,  
1453:20, 1453:25,  
1453:33, 1454:12,  
1454:21, 1454:34,  
1454:41, 1454:43,  
1455:6, 1456:8,  
1461:19, 1462:30,  
1462:37, 1462:38,  
1463:27, 1463:28,  
1465:23  
**producing** [1] -  
1461:10  
**product** [2] - 1446:43,  
1446:45  
**professional** [8] -  
1428:47, 1429:2,  
1430:8, 1433:2,  
1434:1, 1445:41,  
1446:1, 1461:33  
**Professor** [1] -  
1437:44  
**profile** [6] - 1447:1,  
1451:12, 1451:16,  
1462:38, 1463:6,  
1464:29  
**profiles** [16] - 1423:41,  
1423:43, 1423:44,  
1427:36, 1447:19,  
1451:22, 1451:24,  
1451:31, 1451:35,  
1453:33, 1453:39,  
1462:33, 1462:47,  
1463:8, 1463:12,  
1465:8  
**profiling** [1] - 1446:44  
**ProFlex** [51] -  
1421:22, 1421:27,  
1423:21, 1423:27,  
1425:14, 1425:17,  
1425:25, 1425:34,  
1425:40, 1426:7,  
1426:11, 1442:44,  
1448:24, 1450:22,  
1450:25, 1450:30,  
1450:41, 1450:45,  
1452:9, 1452:12,  
1452:15, 1452:21,  
1453:5, 1453:14,  
1453:21, 1453:27,  
1454:16, 1454:22,  
1454:27, 1454:34,  
1455:2, 1455:3,  
1455:4, 1455:12,  
1455:13, 1455:16,  
1455:39, 1455:40,  
1460:4, 1460:18,  
1460:40, 1462:28,  
1462:43, 1463:36,  
1465:5, 1465:11,  
1465:25, 1465:38,  
1465:47, 1466:46,  
1467:30  
**program** [2] - 1423:38,  
1425:43  
**Project** [5] - 1421:23,  
1423:26, 1453:43,  
1453:44, 1456:14  
**project** [3] - 1421:44,  
1424:23, 1438:26  
**projects** [2] - 1438:20,  
1438:46  
**promotion** [1] -  
1439:17  
**promptly** [1] - 1429:36  
**proper** [1] - 1416:40  
**properly** [4] - 1414:32,  
1416:44, 1448:17,  
1467:26  
**properties** [1] -  
1448:15  
**proposed** [1] -  
1405:13  
**proposing** [1] -  
1407:27  
**proposition** [1] -  
1464:15  
**protect** [1] - 1414:39  
**protected** [1] -  
1414:38  
**proud** [1] - 1429:41  
**provide** [7] - 1440:45,  
1451:22, 1451:23,  
1451:31, 1451:32,  
1451:33, 1459:42  
**provided** [8] -  
1440:36, 1452:36,  
1452:40, 1453:45,  
1458:26, 1462:25,  
1462:44, 1465:39  
**providing** [4] -  
1417:11, 1429:21,  
1440:30, 1451:24  
**proximity** [1] -  
1414:19  
**publication** [1] -  
1412:15  
**publicly** [1] - 1412:26  
**published** [6] -  
1412:23, 1412:24,  
1412:26, 1458:22,  
1458:23, 1458:44  
**pure** [1] - 1444:33  
**purpose** [1] - 1442:30  
**purposes** [1] - 1458:4  
**put** [18] - 1406:18,  
1412:44, 1416:29,  
1419:17, 1431:6,  
1431:21, 1431:41,  
1432:7, 1435:3,  
1435:33, 1435:36,  
1435:37, 1438:27,  
1438:37, 1439:12,  
1450:3, 1454:35,  
1467:29  
**putting** [5] - 1421:38,  
1431:5, 1435:46,  
1436:6, 1462:28

---

**Q**

---

**QIAsymphony** [2] -  
1442:45, 1455:45  
**QPS** [1] - 1452:25  
**qualifications** [4] -  
1441:25, 1445:7,  
1445:23, 1448:11  
**qualify** [1] - 1465:17  
**quality** [1] - 1428:17  
**quant** [1] - 1456:27  
**Quant** [4] - 1442:42,  
1448:23, 1448:27,  
1448:33  
**quantification** [4] -  
1448:31, 1448:32,  
1449:9, 1450:15  
**quantified** [1] -  
1449:43  
**Quantifiler** [2] -  
1448:31, 1449:40  
**quantitation** [8] -  
1450:18, 1456:29,  
1457:12, 1457:17,  
1457:27, 1457:28,  
1462:36, 1467:5  
**QuantTrio** [5] -  
1442:42, 1448:23,  
1448:27, 1448:28,  
1448:34  
**QuantTrio/Quant** [1] -  
1467:21  
**QUEENSLAND** [1] -  
1402:6  
**Queensland** [27] -  
1404:24, 1407:47,  
1408:1, 1408:12,  
1408:18, 1409:30,  
1410:1, 1410:45,  
1411:26, 1411:46,  
1412:5, 1413:6,  
1415:9, 1417:33,  
1435:31, 1437:41,  
1440:18, 1443:7,  
1447:46, 1450:4,  
1450:5, 1450:12,  
1459:35, 1462:21,  
1465:36, 1466:16,  
1467:10  
**Queensland's** [1] -  
1442:37  
**questions** [9] -  
1404:10, 1421:11,  
1431:17, 1432:15,  
1439:31, 1460:1,  
1462:22, 1465:37,  
1466:46  
**quick** [1] - 1421:18  
**quickest** [1] - 1404:15  
**quickly** [1] - 1455:31  
**quite** [16] - 1403:7,  
1413:24, 1415:38,  
1430:18, 1443:41,  
1453:23, 1454:13,  
1454:14, 1454:17,  
1456:35, 1456:45,  
1458:40, 1459:11,  
1459:36, 1463:20,  
1463:26

---

**R**

---

**raise** [7] - 1433:41,  
1434:46, 1435:43,  
1437:13, 1455:44  
**raised** [12] - 1405:7,  
1408:15, 1422:11,  
1428:34, 1434:1,  
1435:10, 1437:10,  
1437:40, 1437:41,  
1437:46, 1438:6,  
1456:34  
**raising** [4] - 1418:15,  
1418:21, 1426:18,  
1435:26  
**rammed** [1] - 1430:38  
**range** [7] - 1416:35,  
1449:5, 1451:34,  
1456:27, 1457:29,  
1458:40  
**rank** [1] - 1443:33  
**rapport** [1] - 1436:36  
**rarely** [1] - 1427:16  
**rarity** [1] - 1457:43  
**rather** [9] - 1416:39,  
1432:24, 1434:36,  
1436:42, 1444:7,  
1445:24, 1445:36,  
1450:41, 1461:8  
**ratio** [9] - 1452:24,  
1464:15, 1464:22,  
1464:25, 1464:26,  
1464:32, 1464:38,  
1465:22, 1465:27  
**ratios** [5] - 1451:41,  
1451:47, 1453:34,  
1465:23, 1465:26  
**re** [8] - 1423:29,  
1424:17, 1424:41,  
1439:34, 1454:1,  
1457:19, 1457:28,  
1466:41  
**re-examination** [2] -  
1439:34, 1466:41  
**re-extraction** [2] -  
1457:19, 1457:28  
**re-looked** [1] - 1454:1  
**reach** [2] - 1446:46,  
1449:24  
**reaction** [3] - 1433:47,  
1448:32, 1448:34  
**read** [6] - 1404:16,  
1413:22, 1423:18,  
1428:20, 1458:31,  
1460:37  
**reading** [1] - 1408:13  
**real** [1] - 1464:33  
**realised** [2] - 1431:22,  
1432:6  
**realistic** [1] - 1466:7  
**reality** [1] - 1437:1  
**really** [23] - 1405:19,  
1405:23, 1406:29,  
1411:11, 1411:20,  
1412:40, 1420:15,  
1420:22, 1420:26,  
1429:2, 1429:40,  
1433:13, 1434:43,  
1435:41, 1435:46,  
1451:26, 1454:39,  
1455:25, 1459:4,  
1463:22, 1464:39,  
1464:42, 1466:18  
**reason** [11] - 1409:22,  
1411:36, 1413:4,  
1416:45, 1422:5,  
1429:15, 1430:22,  
1443:6, 1458:17,  
1460:22, 1465:9  
**reasonable** [2] -  
1407:27, 1466:20  
**reasonably** [3] -  
1409:17, 1444:3,  
1451:44  
**reasons** [4] - 1444:6,  
1450:29, 1456:45,  
1458:2  
**recap** [1] - 1465:38



**receive** [4] - 1417:11, 1427:22, 1427:33, 1434:4  
**received** [7] - 1411:33, 1413:12, 1426:25, 1427:28, 1427:35, 1430:32, 1452:14  
**receives** [1] - 1425:35  
**receiving** [2] - 1411:21, 1417:9  
**recently** [2] - 1404:11, 1434:37  
**recognise** [1] - 1407:19  
**recognition** [1] - 1442:14  
**recommend** [2] - 1445:5, 1445:23  
**recommendation** [18] - 1445:5, 1445:12, 1445:14, 1445:35, 1445:40, 1449:29, 1449:35, 1450:10, 1450:12, 1451:32, 1455:8, 1459:17, 1459:20, 1464:47, 1465:2, 1465:3, 1465:41, 1465:44  
**recommendations** [3] - 1445:4, 1449:28, 1459:18  
**recommended** [2] - 1464:46, 1466:23  
**recommending** [1] - 1448:12  
**redacted** [1] - 1452:39  
**redacting** [1] - 1429:46  
**redone** [1] - 1458:37  
**reducing** [1] - 1430:12  
**Reece** [1] - 1402:31  
**refer** [1] - 1425:47  
**referable** [2] - 1445:12, 1446:4  
**reference** [3] - 1422:13, 1463:8, 1463:12  
**Reference** [1] - 1446:20  
**referred** [5] - 1404:33, 1405:38, 1434:12, 1435:35, 1463:10  
**referring** [4] - 1426:2, 1434:33, 1436:15, 1454:10  
**reflect** [1] - 1455:7  
**reflected** [1] - 1452:24  
**reflecting** [1] - 1432:25  
**reflection** [1] - 1432:39  
**refresh** [2] - 1418:47, 1428:6  
**refresher** [1] - 1427:39  
**refuse** [3] - 1430:28, 1430:29, 1438:15  
**regard** [3] - 1410:36, 1412:33, 1459:14  
**regards** [1] - 1446:41  
**regenerate** [1] - 1455:40  
**register** [1] - 1422:8  
**regular** [1] - 1414:19  
**reiterate** [1] - 1465:12  
**relate** [1] - 1453:4  
**related** [1] - 1445:36  
**relates** [2] - 1445:35, 1455:34  
**relating** [3] - 1404:37, 1431:29, 1444:36  
**relation** [10] - 1418:31, 1423:8, 1423:27, 1450:25, 1460:4, 1462:42, 1462:45, 1463:30, 1464:45, 1464:47  
**relationship** [20] - 1417:26, 1417:29, 1428:47, 1429:2, 1429:16, 1430:8, 1430:19, 1430:22, 1431:1, 1431:18, 1432:13, 1432:26, 1432:32, 1433:2, 1433:6, 1433:21, 1433:22, 1457:31, 1457:34  
**relationships** [1] - 1431:2  
**relatively** [1] - 1455:31  
**relevant** [2] - 1417:12, 1426:39  
**reliability** [7] - 1452:4, 1454:12, 1454:22, 1461:8, 1462:45, 1467:37, 1467:44  
**reliable** [5] - 1452:8, 1461:9, 1463:28, 1467:13, 1467:38  
**reliably** [3] - 1423:47, 1453:26, 1461:13  
**relied** [1] - 1440:40  
**RELIED** [2] - 1440:43, 1441:14  
**relies** [2] - 1454:20, 1454:23  
**rely** [1] - 1416:2  
**relying** [1] - 1467:15  
**remained** [1] - 1413:47  
**remarks** [2] - 1411:8, 1414:33  
**remember** [12] - 1418:44, 1418:45, 1419:4, 1419:23, 1419:45, 1419:47, 1420:1, 1429:40, 1434:18, 1434:23, 1434:28, 1436:21  
**remembering** [2] - 1408:14, 1429:22  
**remove** [3] - 1416:13, 1416:31, 1416:34  
**removed** [1] - 1415:32  
**render** [1] - 1461:23  
**renowned** [3] - 1437:43, 1437:45, 1438:7  
**repair** [1] - 1429:7  
**repeat** [1] - 1468:3  
**repeatability** [5] - 1446:34, 1447:9, 1456:15, 1458:40, 1459:5  
**repeatable** [1] - 1447:10  
**repeated** [1] - 1465:45  
**replied** [2] - 1418:22, 1418:30  
**reply** [1] - 1430:2  
**report** [36] - 1403:10, 1421:27, 1440:16, 1440:22, 1440:27, 1440:32, 1441:3, 1441:17, 1441:24, 1442:35, 1443:19, 1446:14, 1446:18, 1448:47, 1449:6, 1450:12, 1450:23, 1452:36, 1453:8, 1454:10, 1454:13, 1454:20, 1454:23, 1455:23, 1455:45, 1456:14, 1456:16, 1459:17, 1460:23, 1460:24, 1462:2, 1463:35, 1466:16, 1466:26, 1467:16  
**reported** [9] - 1416:39, 1450:6, 1452:24, 1465:1, 1465:9, 1467:10, 1467:12, 1467:19, 1467:24  
**reporting** [13] - 1406:30, 1406:35, 1414:47, 1415:2, 1415:39, 1415:43, 1416:8, 1434:12, 1434:21, 1434:32, 1459:31, 1460:34, 1460:36  
**reports** [13] - 1440:38, 1443:2, 1443:9, 1443:14, 1444:42, 1456:13, 1456:18, 1459:11, 1459:24, 1460:25, 1462:25, 1462:39  
**represent** [3] - 1437:34, 1462:21, 1465:36  
**representatives** [1] - 1435:27  
**reproducibility** [5] - 1446:35, 1447:12, 1456:15, 1458:39, 1459:5  
**require** [1] - 1449:21  
**required** [3] - 1452:28, 1454:8, 1454:11  
**requires** [4] - 1445:6, 1453:26, 1467:13, 1467:15  
**rerunning** [2] - 1455:26, 1466:18  
**research** [3] - 1442:15, 1450:47, 1458:4  
**resolve** [1] - 1449:28  
**resolved** [2] - 1460:16, 1464:46  
**resources** [1] - 1435:27  
**respect** [8] - 1404:5, 1409:43, 1411:45, 1416:20, 1431:32, 1432:11, 1449:44, 1465:40  
**respond** [1] - 1429:26  
**responded** [2] - 1405:34, 1428:34  
**responding** [1] - 1429:36  
**responds** [1] - 1428:12  
**response** [10] - 1404:23, 1405:1, 1405:6, 1405:22, 1409:18, 1409:23, 1410:12, 1411:40, 1433:45, 1465:41  
**responses** [2] - 1409:47, 1460:35  
**responsibilities** [1] - 1415:37  
**responsibility** [7] - 1422:37, 1432:27, 1437:22, 1438:15, 1438:17, 1438:40, 1439:3  
**responsible** [3] - 1413:46, 1422:22, 1426:3  
**responsiveness** [1] - 1428:44  
**rest** [4] - 1409:18, 1409:24, 1417:39, 1468:17  
**result** [8] - 1455:29, 1457:17, 1457:20, 1463:17, 1463:46, 1464:27, 1467:6, 1467:9  
**results** [68] - 1404:6, 1408:18, 1410:24, 1410:25, 1410:45, 1411:26, 1412:5, 1412:7, 1413:6, 1417:34, 1444:38, 1447:10, 1447:13, 1447:14, 1447:34, 1450:45, 1451:13, 1451:18, 1451:23, 1452:23, 1452:47, 1453:13, 1453:33, 1453:43, 1453:44, 1454:12, 1454:15, 1454:16, 1454:20, 1454:23, 1454:28, 1455:3, 1455:6, 1455:11, 1455:26, 1455:27, 1455:35, 1456:7, 1456:44, 1457:12, 1457:24, 1457:27, 1457:28, 1458:40, 1461:5, 1461:8, 1461:9, 1461:17, 1462:30, 1462:45, 1463:28, 1463:37, 1463:40, 1464:47, 1465:5, 1465:6, 1465:7, 1465:12, 1466:47, 1467:3, 1467:4, 1467:10, 1467:12, 1467:13, 1467:18, 1467:23  
**retribution** [1] - 1437:3  
**retrieve** [1] - 1457:4  
**returned** [4] - 1415:39, 1427:22, 1427:23, 1466:25  
**reveal** [1] - 1408:47  
**review** [5] - 1440:17, 1442:36, 1443:3, 1453:42, 1458:21  
**reviewed** [4] - 1443:15, 1456:18, 1460:25, 1462:24

**revisit** [1] - 1465:14  
**revisiting** [1] - 1447:21  
**Rhys** [1] - 1438:31  
**Rice** [1] - 1465:32  
**RICE** [3] - 1465:34, 1465:36, 1466:32  
**rigorous** [1] - 1454:14  
**Rika** [12] - 1404:1, 1416:16, 1422:36, 1431:21, 1431:25, 1431:30, 1432:7, 1432:12, 1434:11, 1439:36, 1439:38, 1460:33  
**RIKA** [1] - 1403:1  
**Rika's** [4] - 1420:29, 1420:34, 1427:45, 1460:5  
**risk** [4] - 1448:22, 1452:23, 1453:36, 1463:16  
**robust** [2] - 1451:44, 1463:20  
**robustness** [1] - 1452:31  
**rock** [1] - 1415:41  
**role** [15] - 1408:34, 1413:46, 1414:4, 1414:20, 1414:43, 1415:25, 1415:29, 1415:32, 1415:38, 1416:13, 1416:14, 1416:28, 1416:31, 1417:19, 1442:20  
**rough** [1] - 1435:14  
**routine** [1] - 1442:24  
**RT2** [10] - 1410:16, 1410:32, 1411:9, 1411:19, 1412:46, 1414:15, 1414:27, 1415:11, 1416:29, 1436:14  
**rubbish** [1] - 1415:45  
**run** [7] - 1447:14, 1451:22, 1451:30, 1452:45, 1457:41, 1458:17, 1465:10  
**running** [2] - 1454:44, 1466:10  
**runs** [4] - 1447:10, 1447:11, 1448:34, 1455:29  
**rush** [1] - 1421:39  
**rushed** [1] - 1421:32

**S**

**SA** [1] - 1442:3  
**sad** [1] - 1436:44

**safe** [2] - 1404:36, 1464:8  
**safely** [1] - 1451:6  
**sample** [6] - 1450:13, 1456:36, 1457:16, 1457:42, 1457:44, 1458:12  
**samples** [21] - 1446:20, 1449:7, 1449:43, 1450:6, 1450:15, 1450:37, 1451:33, 1451:34, 1456:46, 1456:47, 1457:1, 1457:4, 1457:7, 1457:24, 1457:43, 1458:4, 1458:7, 1458:8, 1458:15, 1459:7  
**sampling** [2] - 1418:16, 1444:27  
**Sarah** [1] - 1462:20  
**satisfaction** [1] - 1436:41  
**saturate** [1] - 1447:3  
**saw** [3] - 1445:13, 1445:15, 1456:35  
**scarcity** [1] - 1457:43  
**scared** [2] - 1435:47, 1437:2  
**scenario** [1] - 1409:27  
**science** [9] - 1415:1, 1416:5, 1416:43, 1422:30, 1422:34, 1439:9, 1445:21, 1445:26, 1466:16  
**Science** [1] - 1442:3  
**sciences** [1] - 1441:30  
**scientific** [2] - 1437:41, 1438:45  
**Scientific** [1] - 1440:18  
**scientist** [10] - 1408:34, 1414:47, 1415:39, 1415:43, 1416:28, 1426:38, 1428:26, 1440:12, 1441:41, 1460:33  
**scientists** [7] - 1415:2, 1416:9, 1437:43, 1437:46, 1452:33, 1453:35, 1461:38  
**scope** [1] - 1413:46  
**scores** [1] - 1405:35  
**screen** [4] - 1440:23, 1441:26, 1447:38, 1452:38  
**scroll** [16] - 1403:43, 1403:44, 1404:3, 1404:46, 1410:8,

1410:9, 1421:20, 1428:10, 1428:19, 1429:26, 1429:34, 1429:45, 1430:10, 1441:45, 1447:17, 1455:47  
**second** [10] - 1404:27, 1406:47, 1409:15, 1415:7, 1423:13, 1426:31, 1444:17, 1450:36, 1453:18, 1453:28  
**second-last** [3] - 1404:27, 1406:47, 1423:13  
**secret** [1] - 1413:6  
**section** [2] - 1448:46, 1450:24  
**see** [44] - 1404:4, 1404:47, 1405:1, 1410:10, 1410:15, 1410:21, 1411:13, 1411:27, 1412:41, 1413:4, 1417:15, 1417:40, 1419:11, 1419:27, 1419:43, 1421:38, 1422:4, 1424:26, 1428:11, 1429:19, 1429:26, 1429:32, 1429:35, 1429:47, 1430:7, 1430:10, 1436:2, 1440:23, 1443:41, 1444:33, 1446:1, 1446:47, 1447:12, 1447:38, 1452:2, 1456:10, 1456:27, 1457:8, 1458:22, 1459:1, 1460:19, 1460:26, 1465:4  
**seeing** [2] - 1419:11, 1419:31  
**seeking** [2] - 1443:25, 1461:39  
**self** [2] - 1430:37, 1432:39  
**self-awareness** [1] - 1432:39  
**send** [4] - 1410:10, 1410:22, 1417:41, 1429:38  
**send-off** [1] - 1429:38  
**sending** [2] - 1413:15, 1417:25  
**senior** [15] - 1404:35, 1407:20, 1408:3, 1410:46, 1411:20, 1411:22, 1411:24, 1411:33, 1413:9, 1413:18, 1414:5,

1416:28, 1417:9, 1428:26, 1460:33  
**sense** [6] - 1420:18, 1432:37, 1442:20, 1458:1, 1467:4, 1467:9  
**sensitive** [2] - 1414:40, 1447:5  
**sensitivity** [4] - 1446:34, 1446:40, 1446:43, 1447:5  
**sent** [13] - 1404:2, 1404:20, 1405:2, 1405:3, 1407:39, 1409:46, 1410:25, 1411:7, 1412:7, 1412:34, 1417:1, 1430:5, 1431:25  
**sentence** [1] - 1455:10  
**separate** [3] - 1428:43, 1448:12, 1457:7  
**series** [2] - 1453:20, 1454:27  
**serious** [1] - 1427:8  
**seriously** [1] - 1433:43  
**Service** [2] - 1462:21, 1467:10  
**Services** [1] - 1440:19  
**set** [9] - 1438:45, 1445:41, 1445:47, 1449:10, 1454:11, 1455:38, 1457:5, 1460:24, 1463:42  
**sets** [3] - 1441:45, 1443:26, 1465:7  
**setting** [6] - 1425:10, 1463:16, 1463:18, 1464:21, 1464:23, 1464:28  
**settings** [27] - 1451:37, 1451:40, 1451:44, 1451:45, 1451:46, 1452:8, 1452:15, 1452:31, 1453:3, 1453:20, 1453:24, 1454:26, 1454:38, 1460:40, 1463:21, 1463:22, 1463:24, 1463:25, 1463:41, 1464:28, 1464:36, 1465:11, 1465:24, 1465:25, 1465:40, 1465:46, 1466:14  
**seven** [1] - 1443:1  
**sexual** [1] - 1427:15  
**shall** [2] - 1412:18, 1441:18

**shaped** [2] - 1444:4, 1444:14  
**share** [1] - 1409:17  
**shared** [1] - 1409:24  
**sharing** [2] - 1410:47, 1412:37  
**Sharon** [1] - 1428:22  
**sheet** [1] - 1424:20  
**shift** [1] - 1465:27  
**short** [1] - 1404:9  
**short-circuit** [1] - 1404:9  
**shortcomings** [2] - 1432:40, 1438:16  
**shortly** [3] - 1405:2, 1410:11, 1418:16  
**show** [6] - 1451:2, 1458:40, 1460:26, 1461:12, 1461:16  
**showed** [2] - 1421:44, 1459:4  
**shown** [2] - 1419:32, 1451:43  
**shows** [1] - 1442:13  
**side** [3] - 1436:7, 1436:11, 1462:28  
**sign** [10] - 1421:18, 1421:31, 1422:24, 1422:25, 1429:22, 1438:33, 1459:23, 1459:31, 1459:38, 1459:41  
**sign-off** [3] - 1422:25, 1429:22, 1438:33  
**signature** [1] - 1403:45  
**signed** [2] - 1421:26, 1423:27  
**significance** [2] - 1444:21, 1444:39  
**significant** [9] - 1405:20, 1405:45, 1443:26, 1444:22, 1444:25, 1444:26, 1444:34, 1458:37, 1464:10  
**significantly** [2] - 1410:36, 1453:11  
**signing** [3] - 1438:19, 1438:41, 1439:3  
**siloing** [1] - 1459:43  
**similar** [3] - 1444:41, 1452:22, 1455:5  
**similarly** [4] - 1409:39, 1429:7, 1433:36, 1451:3  
**simply** [5] - 1412:3, 1415:39, 1443:38, 1453:23, 1453:27  
**single** [5] - 1407:47,

1451:35, 1451:36,  
1457:13, 1457:20  
**single-sourced** [1] -  
1451:35  
**sit** [2] - 1412:18,  
1466:29  
**sitting** [1] - 1438:34  
**situation** [7] -  
1409:26, 1410:41,  
1411:28, 1415:40,  
1417:16, 1417:17,  
1457:23  
**size** [1] - 1458:13  
**skills** [2] - 1445:22,  
1448:3  
**small** [7] - 1445:27,  
1445:28, 1446:10,  
1451:44, 1458:20,  
1459:45, 1464:32  
**smart** [2] - 1417:13,  
1417:46  
**smiley** [1] - 1430:5  
**Sofronoff** [1] -  
1402:26  
**software** [5] -  
1423:38, 1425:43,  
1442:23, 1442:42,  
1448:29  
**solution** [1] - 1454:32  
**solutions** [1] -  
1448:44  
**someone** [8] -  
1429:31, 1436:33,  
1445:15, 1445:31,  
1459:31, 1459:33,  
1459:34, 1459:35  
**sometime** [2] -  
1466:6, 1466:24  
**sometimes** [3] -  
1415:19, 1433:42,  
1459:45  
**somewhat** [2] -  
1454:25, 1463:38  
**soon** [1] - 1465:47  
**sorry** [2] - 1405:43,  
1406:43, 1412:10,  
1412:16, 1416:25,  
1419:15, 1421:42,  
1425:44, 1428:26,  
1429:31, 1429:32,  
1429:34, 1430:27,  
1431:10, 1433:18,  
1439:18, 1445:45,  
1447:29, 1462:9,  
1464:16, 1466:40  
**sort** [12] - 1410:41,  
1435:14, 1444:14,  
1444:18, 1447:31,  
1448:11, 1449:8,  
1454:37, 1457:23,  
1458:7, 1458:22,  
1458:47  
**sorts** [6] - 1413:38,  
1432:35, 1445:33,  
1458:13, 1459:38,  
1467:12  
**sourced** [1] - 1451:35  
**South** [1] - 1440:13  
**space** [1] - 1429:2  
**spoken** [11] - 1418:35,  
1419:43, 1428:30,  
1433:40, 1434:2,  
1434:3, 1434:4,  
1435:28, 1435:33,  
1437:46  
**squarely** [1] - 1437:21  
**staff** [46] - 1404:24,  
1404:29, 1404:36,  
1406:15, 1406:26,  
1406:30, 1406:35,  
1407:35, 1408:1,  
1408:15, 1409:24,  
1409:28, 1409:30,  
1409:38, 1409:47,  
1410:23, 1410:47,  
1411:19, 1411:26,  
1411:31, 1412:3,  
1412:5, 1412:43,  
1413:8, 1413:11,  
1413:21, 1414:38,  
1415:5, 1415:10,  
1415:12, 1416:2,  
1416:5, 1417:16,  
1417:25, 1417:29,  
1417:32, 1434:7,  
1434:19, 1434:22,  
1434:29, 1436:14,  
1436:42, 1436:44,  
1436:46, 1437:12,  
1448:4  
**stage** [5] - 1421:40,  
1424:28, 1426:2,  
1452:7, 1465:2  
**stance** [1] - 1458:41  
**stand** [1] - 1460:42  
**standard** [1] - 1458:47  
**standing** [1] - 1431:13  
**stands** [1] - 1415:21  
**STARlet** [1] - 1442:43  
**start** [9] - 1403:40,  
1412:28, 1442:20,  
1443:40, 1447:3,  
1454:9, 1466:45,  
1468:12, 1468:13  
**started** [1] - 1438:19  
**starts** [2] - 1426:12,  
1455:46  
**state** [3] - 1453:19,  
1454:25, 1459:40  
**statement** [15] -  
1403:42, 1418:12,  
1420:30, 1420:32,  
1420:34, 1420:46,  
1422:4, 1422:46,  
1426:25, 1426:31,  
1427:35, 1427:45,  
1427:47, 1460:6  
**statistical** [19] -  
1441:37, 1442:23,  
1443:16, 1443:23,  
1444:42, 1444:43,  
1444:44, 1445:4,  
1445:6, 1445:22,  
1449:8, 1455:20,  
1455:25, 1456:2,  
1461:5, 1461:11,  
1461:20, 1461:24,  
1467:43  
**statistician** [1] -  
1446:1  
**statisticians** [1] -  
1445:41  
**statistics** [16] -  
1440:12, 1441:33,  
1441:36, 1441:42,  
1441:47, 1443:13,  
1445:24, 1445:27,  
1445:32, 1447:45,  
1448:12, 1448:15,  
1454:14, 1459:12,  
1461:22, 1461:29  
**stats** [1] - 1438:31  
**stay** [2] - 1412:40,  
1416:5  
**step** [1] - 1412:37  
**steps** [3] - 1430:24,  
1443:8, 1449:21  
**still** [16] - 1407:10,  
1420:15, 1420:19,  
1420:22, 1420:26,  
1431:8, 1431:36,  
1453:24, 1453:32,  
1454:19, 1454:25,  
1457:27, 1457:29,  
1457:30, 1461:9,  
1462:6  
**stop** [1] - 1459:43  
**Street** [1] - 1402:15  
**street** [2] - 1409:34,  
1431:2  
**strength** [1] - 1438:28  
**stress** [1] - 1439:26  
**stressful** [2] - 1436:5,  
1439:28  
**string** [3] - 1410:32,  
1410:43, 1412:42  
**STRmix** [47] -  
1421:45, 1421:47,  
1422:26, 1423:34,  
1423:38, 1424:8,  
1424:27, 1425:6,  
1425:19, 1425:21,  
1425:35, 1425:42,  
1426:1, 1426:3,  
1427:21, 1427:29,  
1438:30, 1450:31,  
1451:12, 1451:15,  
1451:16, 1451:20,  
1451:21, 1451:23,  
1451:26, 1451:30,  
1451:38, 1451:41,  
1451:42, 1451:43,  
1452:10, 1452:14,  
1452:31, 1453:3,  
1453:15, 1453:19,  
1453:24, 1454:39,  
1454:44, 1455:3,  
1460:41, 1462:46,  
1463:9, 1463:20,  
1463:28, 1463:31,  
1464:14  
**strongly** [2] - 1464:2,  
1464:4  
**stuck** [1] - 1415:41  
**student's** [5] -  
1443:32, 1443:42,  
1443:44, 1444:17,  
1444:45  
**studies** [5] - 1451:43,  
1458:22, 1458:24,  
1459:4, 1459:5  
**Studio** [6] - 1442:42,  
1448:23, 1448:27,  
1448:29, 1448:33,  
1467:21  
**study** [1] - 1458:14  
**stuff** [1] - 1430:12  
**subject** [6] - 1420:5,  
1420:45, 1421:46,  
1438:34, 1438:44,  
1439:11  
**subordinates** [1] -  
1437:7  
**subsequent** [1] -  
1412:22  
**substandard** [1] -  
1467:35  
**substantive** [1] -  
1429:37  
**successfully** [1] -  
1466:23  
**suggest** [25] - 1405:2,  
1407:18, 1407:35,  
1408:8, 1409:14,  
1409:21, 1410:5,  
1411:6, 1414:30,  
1415:36, 1416:38,  
1416:47, 1418:4,  
1427:28, 1429:19,  
1429:38, 1430:22,  
1433:4, 1433:36,  
1435:23, 1437:20,  
1443:33, 1447:44,  
1456:7, 1462:35  
**suggested** [4] -  
1409:17, 1414:37,  
1430:1, 1434:35  
**suggesting** [3] -  
1405:24, 1413:28,  
1418:47  
**suggestion** [2] -  
1407:13, 1431:28  
**suggestions** [2] -  
1407:43, 1419:17  
**suit** [1] - 1468:17  
**suite** [1] - 1419:17  
**suited** [2] - 1443:43,  
1451:27  
**sum** [1] - 1443:33  
**summarise** [1] -  
1467:39  
**summary** [3] -  
1407:28, 1448:20,  
1452:20  
**supervisors** [1] -  
1414:23  
**supplementary** [1] -  
1456:15  
**support** [2] - 1435:4,  
1435:7  
**suppose** [14] - 1442:7,  
1443:40, 1444:1,  
1449:23, 1453:18,  
1453:36, 1454:9,  
1455:33, 1456:43,  
1457:23, 1458:41,  
1459:13, 1459:41,  
1467:11  
**supposed** [2] -  
1419:47, 1422:9  
**surprised** [1] -  
1434:43  
**surrounding** [1] -  
1462:43  
**survey** [16] - 1404:5,  
1404:24, 1404:30,  
1404:32, 1408:1,  
1408:12, 1408:18,  
1409:30, 1410:1,  
1410:23, 1410:45,  
1411:26, 1411:46,  
1412:5, 1413:6,  
1417:33  
**surveys** [2] - 1407:47,  
1415:10  
**Susan** [1] - 1402:33  
**suspect** [3] - 1464:34,  
1464:35, 1464:37  
**suspension** [3] -  
1452:28, 1454:8,

1464:46  
**swabs** [1] - 1458:13  
**system** [5] - 1426:12,  
 1426:27, 1426:38,  
 1426:43, 1451:20  
**systematic** [1] -  
 1453:37

**T**

**t-test** [6] - 1443:32,  
 1443:42, 1443:44,  
 1444:17, 1444:19,  
 1444:46  
**t-tests** [2] - 1444:31,  
 1444:38  
**table** [4] - 1415:17,  
 1416:2, 1431:6,  
 1456:34  
**tactic** [1] - 1431:12  
**tangent** [1] - 1431:10  
**tape** [1] - 1458:13  
**tape-lifts** [1] - 1458:13  
**targeted** [2] - 1448:13,  
 1448:17  
**task** [4] - 1412:47,  
 1417:36, 1418:1,  
 1420:13  
**tasks** [1] - 1413:23  
**TAYLOR** [3] - 1440:5,  
 1440:43, 1441:15  
**Taylor** [14] - 1427:42,  
 1440:3, 1440:9,  
 1440:24, 1440:41,  
 1441:26, 1460:20,  
 1460:33, 1461:1,  
 1462:1, 1462:20,  
 1465:36, 1466:38,  
 1468:4  
**Taylor's** [4] - 1440:32,  
 1441:3, 1441:17,  
 1460:4  
**team** [56] - 1406:18,  
 1408:16, 1408:37,  
 1408:42, 1408:47,  
 1409:1, 1409:2,  
 1409:6, 1409:10,  
 1409:18, 1410:39,  
 1410:43, 1411:3,  
 1411:4, 1411:9,  
 1411:22, 1412:34,  
 1413:1, 1413:2,  
 1413:20, 1413:42,  
 1413:47, 1416:7,  
 1416:43, 1417:1,  
 1417:8, 1417:10,  
 1417:35, 1418:2,  
 1421:17, 1422:13,  
 1422:17, 1428:22,  
 1428:23, 1431:4,

1431:25, 1434:12,  
 1434:21, 1434:32,  
 1435:4, 1435:5,  
 1435:6, 1435:19,  
 1436:25, 1436:31,  
 1436:35, 1437:22,  
 1437:29, 1438:13,  
 1438:22, 1438:23,  
 1459:31, 1459:32,  
 1460:34, 1460:35,  
 1460:36  
**team's** [1] - 1412:47  
**teams** [2] - 1413:4,  
 1417:34  
**technical** [1] - 1444:7  
**technically** [1] -  
 1449:18  
**techniques** [2] -  
 1458:11, 1461:21  
**tend** [3] - 1444:38,  
 1457:25, 1458:42  
**tender** [2] - 1403:7,  
 1440:30  
**tendered** [4] - 1403:9,  
 1440:22, 1440:47,  
 1441:20  
**tendering** [1] - 1403:4  
**tens** [3] - 1442:9,  
 1442:10  
**term** [4] - 1436:24,  
 1436:29, 1436:30,  
 1455:18  
**terms** [8] - 1414:19,  
 1433:5, 1438:26,  
 1438:40, 1446:38,  
 1450:3, 1456:28,  
 1463:30  
**Tess** [6] - 1405:29,  
 1405:37, 1405:42,  
 1406:24, 1406:31  
**test** [23] - 1443:16,  
 1443:24, 1443:27,  
 1443:32, 1443:33,  
 1443:39, 1443:42,  
 1443:44, 1443:45,  
 1443:47, 1444:8,  
 1444:17, 1444:19,  
 1444:39, 1444:46,  
 1444:47, 1446:43,  
 1447:9, 1449:4,  
 1451:3, 1451:4,  
 1458:1  
**tested** [3] - 1448:47,  
 1451:1, 1456:28  
**testimony** [2] -  
 1452:13, 1459:11  
**testing** [6] - 1421:11,  
 1422:6, 1446:40,  
 1446:42, 1449:30,  
 1464:46

**TESTING** [1] - 1402:6  
**tests** [14] - 1443:23,  
 1444:11, 1444:12,  
 1444:13, 1444:15,  
 1444:31, 1444:34,  
 1444:38, 1445:16,  
 1445:17, 1445:18,  
 1456:2, 1459:12,  
 1465:21  
**text** [1] - 1404:3  
**than** [1] - 1446:8  
**themselves** [4] -  
 1434:12, 1436:15,  
 1436:19, 1462:40  
**thereafter** [1] -  
 1418:17  
**therefore** [1] - 1464:10  
**they have** [8] -  
 1417:47, 1437:1,  
 1449:18, 1449:46,  
 1450:17, 1455:10,  
 1455:22, 1461:40  
**they've** [1] - 1437:23  
**thinking** [5] - 1409:47,  
 1417:37, 1433:9,  
 1435:41, 1459:34  
**third** [4] - 1450:40,  
 1451:17, 1451:25,  
 1453:31  
**thorough** [1] -  
 1455:18  
**thoughts** [3] -  
 1412:40, 1413:25,  
 1437:37  
**thread** [6] - 1410:21,  
 1411:31, 1413:22,  
 1417:40, 1419:7,  
 1419:26  
**three** [9] - 1446:38,  
 1448:20, 1450:29,  
 1451:14, 1452:30,  
 1452:32, 1453:9,  
 1466:27  
**threshold** [1] -  
 1449:41  
**throughout** [2] -  
 1431:3, 1437:28  
**timetable** [1] -  
 1468:18  
**titled** [1] - 1440:17  
**TO** [1] - 1468:27  
**today** [3] - 1433:38,  
 1465:45, 1468:11  
**together** [6] - 1417:35,  
 1448:23, 1448:36,  
 1454:17, 1456:13,  
 1459:46  
**took** [3] - 1423:7,  
 1428:3, 1436:41  
**tools** [1] - 1439:24

**top** [7] - 1403:22,  
 1410:8, 1423:9,  
 1428:19, 1429:34,  
 1430:10, 1447:17  
**touched** [1] - 1464:20  
**towards** [3] - 1418:8,  
 1430:25, 1457:11  
**toxic** [5] - 1437:17,  
 1437:21, 1437:30,  
 1437:32, 1437:36  
**trail** [1] - 1460:9  
**trained** [2] - 1452:32,  
 1453:35  
**trainers** [4] - 1421:45,  
 1422:26, 1424:27,  
 1426:1  
**training** [13] -  
 1427:23, 1427:29,  
 1427:33, 1427:39,  
 1445:7, 1445:23,  
 1445:28, 1445:32,  
 1448:6, 1448:14,  
 1448:16, 1448:18,  
 1461:29  
**transcript** [1] -  
 1458:32  
**transparency** [6] -  
 1409:28, 1409:31,  
 1409:34, 1409:38,  
 1409:39, 1410:37  
**transparent** [3] -  
 1409:45, 1410:2,  
 1410:5  
**treat** [4] - 1450:14,  
 1450:17, 1450:19  
**treated** [1] - 1449:44  
**triangle** [3] - 1451:14,  
 1451:18, 1451:25  
**tried** [4] - 1408:15,  
 1414:36, 1438:37,  
 1438:39  
**triggers** [1] - 1431:12  
**Trio** [2] - 1448:31,  
 1449:40  
**true** [2] - 1414:14,  
 1414:21  
**trust** [4] - 1415:8,  
 1415:13, 1415:18,  
 1421:45  
**truth** [1] - 1415:6  
**try** [10] - 1406:24,  
 1406:25, 1413:10,  
 1416:6, 1418:47,  
 1444:37, 1444:38,  
 1457:25, 1458:44,  
 1463:11  
**trying** [16] - 1403:13,  
 1408:16, 1410:2,  
 1410:40, 1411:27,  
 1414:39, 1415:1,

1415:21, 1415:22,  
 1417:28, 1418:1,  
 1430:6, 1430:7,  
 1434:22, 1460:24  
**turn** [15] - 1441:24,  
 1441:40, 1442:12,  
 1443:19, 1445:3,  
 1446:18, 1448:36,  
 1449:28, 1449:29,  
 1450:22, 1450:23,  
 1452:19, 1455:43,  
 1456:21, 1456:33  
**turned** [1] - 1436:1  
**turns** [1] - 1467:22  
**two** [23] - 1409:34,  
 1412:8, 1420:21,  
 1429:8, 1431:2,  
 1431:17, 1432:28,  
 1443:6, 1443:21,  
 1443:26, 1443:46,  
 1447:36, 1447:39,  
 1448:36, 1451:17,  
 1451:25, 1454:1,  
 1454:2, 1456:13,  
 1457:36, 1460:25,  
 1465:7, 1465:38  
**two-way** [2] - 1409:34,  
 1431:2  
**type** [3] - 1431:11,  
 1444:29, 1454:11  
**types** [2] - 1458:43,  
 1459:12  
**typically** [7] - 1444:20,  
 1447:11, 1449:11,  
 1457:44, 1458:10,  
 1463:10, 1466:11

**U**

**ultimate** [2] - 1450:46,  
 1463:23  
**ultimately** [7] -  
 1450:44, 1451:37,  
 1452:24, 1462:44,  
 1463:7, 1463:27,  
 1464:24  
**umbrella** [1] - 1448:8  
**unauthentic** [2] -  
 1414:42, 1415:8  
**under** [6] - 1427:16,  
 1442:13, 1442:27,  
 1443:20, 1448:8,  
 1450:24  
**undergo** [1] - 1461:5  
**underlying** [3] -  
 1444:1, 1444:3,  
 1445:17  
**underpin** [1] - 1440:31  
**understood** [3] -  
 1407:39, 1426:19,

1467:17  
**undertake** [1] - 1405:12  
**undertaken** [3] - 1404:21, 1407:10, 1448:6  
**undertaking** [1] - 1407:6  
**undiagnosed** [1] - 1452:25  
**unduly** [1] - 1432:46  
**unfortunately** [1] - 1429:46  
**unhappy** [1] - 1413:11  
**Unit** [1] - 1406:26  
**university** [1] - 1445:26  
**unknown** [1] - 1452:7  
**unlikely** [1] - 1464:10  
**unpack** [1] - 1406:37  
**unreliability** [7] - 1447:26, 1447:41, 1448:22, 1466:47, 1467:31, 1467:36, 1467:45  
**unreliable** [9] - 1447:19, 1447:34, 1452:3, 1452:8, 1452:23, 1456:7, 1461:17, 1462:30, 1462:35  
**unsure** [1] - 1424:33  
**unusual** [1] - 1457:14  
**up** [29] - 1404:40, 1404:46, 1408:16, 1411:3, 1414:38, 1415:4, 1417:13, 1417:35, 1419:28, 1419:43, 1421:6, 1421:21, 1428:10, 1428:19, 1429:26, 1429:45, 1435:30, 1436:33, 1438:27, 1438:38, 1438:45, 1439:12, 1439:29, 1452:38, 1453:35, 1453:38, 1455:38, 1457:5, 1463:13  
**update** [1] - 1466:15  
**UPON** [2] - 1440:43, 1441:15  
**upper** [1] - 1415:2  
**upwards** [1] - 1415:5  
**urgency** [4] - 1454:34, 1454:40, 1464:40, 1465:4  
**urgent** [1] - 1455:17  
**uses** [4] - 1429:21, 1450:5, 1451:17, 1451:24

**V**

**vacuum** [1] - 1463:39  
**valid** [5] - 1438:2, 1453:22, 1454:28, 1459:39, 1461:24  
**validate** [1] - 1425:30  
**validated** [6] - 1425:29, 1427:21, 1438:6, 1438:8, 1442:4, 1443:8  
**Validation** [1] - 1446:20  
**validation** [46] - 1425:40, 1426:8, 1438:26, 1440:17, 1440:38, 1442:20, 1442:22, 1442:29, 1443:2, 1443:9, 1443:14, 1443:39, 1445:5, 1446:24, 1447:18, 1447:20, 1447:24, 1448:39, 1448:47, 1449:6, 1449:16, 1450:26, 1450:30, 1450:32, 1452:10, 1452:21, 1452:29, 1454:13, 1455:43, 1456:14, 1456:24, 1457:35, 1458:6, 1458:19, 1458:37, 1459:23, 1459:26, 1459:32, 1459:41, 1461:3, 1462:25, 1462:39, 1466:15, 1467:14, 1467:16, 1467:17  
**validation/ verification** [1] - 1428:43  
**validations** [20] - 1438:20, 1438:47, 1442:5, 1442:37, 1445:33, 1446:5, 1447:39, 1448:21, 1454:7, 1457:14, 1457:41, 1457:44, 1458:4, 1458:43, 1458:45, 1459:20, 1459:38, 1459:44, 1460:17, 1467:41  
**valuation** [1] - 1441:37  
**value** [8] - 1449:11, 1449:19, 1449:26, 1449:40, 1449:42, 1450:15, 1450:18, 1462:37  
**values** [5] - 1417:38, 1437:24, 1443:26,

1449:17, 1454:29  
**Values** [1] - 1407:5  
**variability** [10] - 1456:40, 1457:8, 1457:15, 1457:18, 1458:36, 1458:38, 1458:41, 1458:42, 1459:6, 1459:10  
**variable** [1] - 1456:46  
**variables** [1] - 1425:21  
**variances** [1] - 1425:22  
**variation** [4] - 1425:41, 1447:13, 1450:36, 1458:46  
**various** [3] - 1405:22, 1463:3  
**verification** [5] - 1421:18, 1421:22, 1421:36, 1421:39, 1423:21  
**verify** [1] - 1421:40  
**version** [1] - 1452:39  
**versions** [2] - 1412:21, 1412:25  
**view** [18] - 1411:24, 1415:23, 1421:17, 1431:41, 1434:20, 1437:6, 1437:8, 1437:16, 1437:35, 1450:11, 1454:7, 1455:33, 1456:2, 1456:40, 1458:20, 1458:36, 1461:10, 1464:8  
**viewpoints** [1] - 1413:5  
**views** [2] - 1413:16, 1417:10  
**voice** [2] - 1416:6, 1416:7  
**voices** [1] - 1416:35  
**volume** [1] - 1427:14  
**vulnerable** [1] - 1431:4

**W**

**wait** [1] - 1417:38  
**waiting** [1] - 1415:42  
**Walter** [1] - 1402:26  
**wants** [1] - 1417:30  
**warrant** [1] - 1447:20  
**warrants** [2] - 1464:3, 1464:5  
**ways** [4] - 1412:43, 1414:39, 1428:37, 1432:43  
**website** [1] - 1403:15  
**Wednesday** [1] -

1452:44  
**weight** [1] - 1463:13  
**well-trained** [2] - 1452:32, 1453:35  
**WfQ** [1] - 1410:23  
**whereas** [2] - 1457:16, 1457:17  
**whereby** [1] - 1449:10  
**WHICH** [1] - 1403:29  
**whilst** [1] - 1446:6  
**whole** [7] - 1406:25, 1410:43, 1415:1, 1419:17, 1420:15, 1425:22, 1430:35  
**wide** [1] - 1416:35  
**wider** [2] - 1416:5, 1416:44  
**Wilcoxon** [1] - 1443:33  
**Wilde** [1] - 1437:44  
**willing** [2] - 1422:37, 1431:5  
**willingly** [1] - 1415:25  
**Wilson** [1] - 1437:44  
**Wilson-Wilde** [1] - 1437:44  
**wish** [4] - 1429:16, 1439:20, 1442:31, 1468:5  
**wished** [1] - 1415:33  
**wishes** [1] - 1442:24  
**WIT.0002.0096.0001** [1] - 1412:21  
**WIT.0004.1245.0001** [1] - 1452:38  
**WIT.0006.0152.0001** [1] - 1427:46  
**WIT.0006.0152.0001\_R** [1] - 1460:32  
**WIT.0009.0022.0001\_R** [1] - 1458:27  
**WIT.0011.0010.0001** [1] - 1418:13  
**WIT.0011.0024.0001** [1] - 1403:41  
**WIT.0014.0147.0001** [1] - 1403:30  
**WIT.0014.0147.0001** [1] - 1403:16  
**WITHDREW** [2] - 1439:41, 1468:7  
**WITNESS** [4] - 1416:25, 1439:41, 1462:4, 1468:7  
**witness** [3] - 1403:13, 1419:30, 1432:5  
**witnesses** [1] - 1468:19  
**wonderful** [1] - 1436:46

**word** [6] - 1433:1, 1433:44, 1436:16, 1446:7, 1467:3, 1467:9  
**words** [2] - 1406:39, 1432:42  
**workers** [1] - 1414:6  
**Workplace** [2] - 1404:22, 1406:2  
**workplace** [2] - 1407:37, 1435:20  
**works** [5] - 1438:18, 1442:27, 1448:29, 1451:12  
**world** [3] - 1437:43, 1437:45, 1438:7  
**world-renowned** [3] - 1437:43, 1437:45, 1438:7  
**worry** [2] - 1408:20, 1408:23  
**worse** [2] - 1414:42, 1417:21  
**wrap** [1] - 1415:4  
**writing** [5] - 1423:26, 1435:26, 1435:35, 1435:36, 1435:37  
**written** [3] - 1404:4, 1409:21, 1412:3  
**wrote** [4] - 1411:36, 1419:6, 1419:25, 1460:34

**Y**

**year** [1] - 1423:22  
**years** [7] - 1414:36, 1414:37, 1417:28, 1430:31, 1430:36, 1434:43, 1445:30  
**yesterday** [6] - 1403:43, 1414:10, 1418:34, 1452:40, 1452:42, 1452:44  
**yourself** [15] - 1404:16, 1404:17, 1415:32, 1416:14, 1416:31, 1421:21, 1423:18, 1426:30, 1430:24, 1430:34, 1430:39, 1430:40, 1431:1, 1438:11, 1461:37

**Z**

**zero** [1] - 1449:22  
**zoom** [6] - 1421:6, 1427:47, 1428:1, 1428:19, 1429:35,

1448:37