

COMMISSION OF INQUIRY  
INTO FORENSIC DNA TESTING IN QUEENSLAND

Brisbane Magistrates Court  
Level 1/363 George Street, Brisbane

On Friday, 25 November 2022 at 9.30am

Before: The Hon Walter Sofronoff KC, Commissioner

Counsel Assisting: Mr Michael Hodge KC  
Ms Laura Reece  
Mr Joshua Jones  
Ms Susan Hedge

1 THE COMMISSIONER: Yes Mr Jones.

2

3 MR JONES: Mr Commissioner, before we start with my opening  
4 and evidence from Professor Wilson-Wilde, Mr Clarke is at  
5 the Commission. He seeks to make an application for leave  
6 to appear on behalf of Nationwide News, and then if that  
7 leave is granted there should be a folder together with  
8 some documents in front of you which details an application  
9 that he has to make.

10

11 THE COMMISSIONER: Yes, Mr Clarke, where are you?

12

13 MR CLARKE: Good morning, Mr Commissioner. May it please  
14 the Commission my name is Clarke, C-L-A-R-K-E, initials ME  
15 of counsel. I seek leave to appear on behalf of Nationwide  
16 News Pty Ltd instructed by Thomson Geer.

17

18 THE COMMISSIONER: Yes. That's in relation to varying a  
19 non-publication order, is that right?

20

21 MR CLARKE: That's so, Commissioner.

22

23 THE COMMISSIONER: Yes.

24

25 MR CLARKE: A non-publication order number 12 which was  
26 made on Wednesday.

27

28 THE COMMISSIONER: Yes. Is there any reason I shouldn't  
29 give Mr Clarke leave to appear? No? You have leave,  
30 Mr Clarke.

31

32 MR CLARKE: Thank you, Commissioner.

33

34 THE COMMISSIONER: I know that this effects the police,  
35 QPS, who had asked very early on in the proceedings for a  
36 non-publication order and after consulting with them I had  
37 varied the order by reducing its scope, and your client  
38 would like the scope of restriction reduced even further,  
39 that is you want leave to publish material that at the  
40 moment your client can't publish, is that right?

41

42 MR CLARKE: That's so, Commissioner, yes. The QPS was  
43 informed as to the nature of the application yesterday  
44 morning, that's quite soon obviously to this morning but it  
45 was only in respect of the order which was made on  
46 Wednesday. I'm not sure if --

47

1 THE COMMISSIONER: Hang on, the order that was made on  
2 Wednesday - anyway, it doesn't matter. Look, Mr Hunter,  
3 obviously that's not something you'd be ready to deal with  
4 now, or is it?

5

6 MR HUNTER: We became aware that there was an application  
7 to vary the order.

8

9 THE COMMISSIONER: Yes.

10

11 MR HUNTER: But we weren't made aware of what the variation  
12 sought actually was until about quarter to ten this  
13 morning.

14

15 THE COMMISSIONER: I haven't seen it yet, but it doesn't  
16 matter. How should we proceed?

17

18 MR HUNTER: It may be that we're able to reach an agreed  
19 position between the parties.

20

21 THE COMMISSIONER: Yes.

22

23 MR HUNTER: The sticking point as far as we're concerned is  
24 the ongoing with current matters that are either being  
25 investigated or before the courts.

26

27 THE COMMISSIONER: So I should leave your client and  
28 Mr Clark's client to see if it can be resolved, and if not  
29 then we can worry about making directions so that this can  
30 be dealt with quickly, is that right?

31

32 MR HUNTER: Yes, we think it might well be the case that we  
33 can deal with it by way of written submissions.

34

35 THE COMMISSIONER: All right.

36

37 MR HUNTER: Perhaps if you were prepared to revisit the  
38 issue at --

39

40 THE COMMISSIONER: Well I'll do it whenever you want.  
41 Subject to this, that we've got experts who are ready to  
42 give evidence about matters and I want to release them as  
43 soon as possible. So subject to that we can do it any  
44 time, Mr Hunter.

45

46 MR HUNTER: Can I suggest 2.15 or 2.30?

47

1 THE COMMISSIONER: Any time you like. Speak to Ms Hedge  
2 and Mr Jones and work it out.

3  
4 MR HUNTER: The only reason I said 2.30 was because I  
5 suspect that it will only involve maybe five minutes.

6  
7 THE COMMISSIONER: Yes. The other thing is this, when we  
8 adjourn for lunch we can deal with this, that's one option.

9  
10 MR HUNTER: Yes.

11  
12 THE COMMISSIONER: I'll wait to hear from you and Mr Clarke  
13 about how you want to proceed otherwise we'll proceed with  
14 the evidence. Are you happy with that?

15  
16 MR HUNTER: Yes.

17  
18 THE COMMISSIONER: Are you happy with that, Mr Clarke?

19  
20 MR CLARKE: I am, Commissioner. I'm just concerned there  
21 may not be an agreement and it will need to be the subject  
22 of some argument.

23  
24 THE COMMISSIONER: Yes.

25  
26 MR CLARKE: If that happens, if Mr Hunter requires more  
27 time it might as another way to proceed be appropriate to  
28 make some brief oral submissions and then just some  
29 directions be made as to the filing of submissions in  
30 response in writing.

31  
32 THE COMMISSIONER: I'll proceed in whatever way the parties  
33 wish subject to proceeding efficiently in a way that  
34 doesn't interrupt other matters more than necessary. At  
35 the moment is there anything you want to do differently?

36  
37 MR CLARKE: No, Commissioner.

38  
39 THE COMMISSIONER: All right. I'll leave it to the two of  
40 you to sort it out and to tell me how you want to proceed  
41 in due course.

42  
43 MR CLARKE: Thank you, Commissioner.

44  
45 THE COMMISSIONER: All right, Mr Jones. And, Mr Clarke,  
46 stay or go as you wish.

47

1 MR CLARKE: If I could be excused?

2

3 THE COMMISSIONER: Yes, certainly, and you needn't ask.  
4 Just come and go as you wish.

5

6 MR CLARKE: Thank you, Commissioner. I'm sorry,  
7 Commissioner, just one final thing.

8

9 THE COMMISSIONER: Yes.

10

11 MR CLARKE: I'll just identify the materials that have been  
12 placed before you.

13

14 THE COMMISSIONER: No need to do that, I've got some stuff  
15 here. I'm not going to look at it unless I have to.

16

17 MR CLARKE: I just mention it because there is a draft  
18 order there and, Commissioner, you mentioned you hadn't  
19 seen a copy of what the proposed variation to the order is.

20

21 THE COMMISSIONER: Yes, but I'm not going to look at it  
22 until the point comes when I'm looking at it for a  
23 particular purpose.

24

25 MR CLARKE: Thank you, Commissioner.

26

27 THE COMMISSIONER: I'll just hang on to the material in the  
28 folder and during the break I might look at it, but  
29 otherwise I'll wait for you two to tell me what I should  
30 do.

31

32 MR CLARKE: Thank you, Commissioner.

33

34 THE COMMISSIONER: Mr Jones.

35

36 MR JONES: Commissioner, on 1 November 2022 Ms Baker  
37 alerted us to a concerning finding made by her and  
38 Dr Kogios when reviewing the operations of the laboratory.  
39 The finding related to the swabs and wetting agent used by  
40 the Queensland Police Service to collect biological from  
41 crime scenes.

42

43 Since 2010 the Queensland Police Service has used rayon  
44 swabs with 70 per cent ethanol as the wetting agent.

45

46 Dr Kogios and Ms Baker had not seen this before and they  
47 commenced reviewing some literature and recommended that

1 investigations be performed to confirm the suitability of  
2 rayon swabs with 70 per cent ethanol as the wetting agent.

3  
4 If the collection method is poor or sub-optimal it will  
5 affect the success of downstream DNA analysis. So this is  
6 a significant issue that is worthy of proper and thorough  
7 investigation.

8  
9 Accordingly, you requested statements addressing the issue  
10 from both the Queensland Police Service and from Queensland  
11 Health. You also requested documents separate from the  
12 statements from Queensland Health regarding validations or  
13 verifications that had been done since the year 2008.

14  
15 You received two statements from Inspector Neville, a  
16 statement from Cathie Allen and a statement from Allan  
17 McNevin. You also commissioned Professor Linzi  
18 Wilson-Wilde to provide an opinion.

19  
20 You will hear from Professor Wilson-Wilde shortly but first  
21 I'll give you some background about how the Queensland  
22 Police Service came to be using rayon swabs with 70  
23 per cent ethanol as the wetting agent.

24  
25 Mr Operator, could QPS.0308.0002.0001 be brought up on the  
26 screen, please, and turn to page 2. You'll see the heading  
27 at the bottom there, Commissioner, "sampling technique".  
28 This is a statement of Inspector Neville of 2 November  
29 2022. A hard copy is provided of the material I'm  
30 referring to in the bundles that are in front of you,  
31 Commissioner.

32  
33 THE COMMISSIONER: Just let me find it. Yes, I have it,  
34 Mr Jones.

35  
36 MR JONES: Thank you, Commissioner. As you are well aware,  
37 Commissioner, the Queensland Police Service took over  
38 sub-sampling from FSS in 2008.

39  
40 THE COMMISSIONER: Yes.

41  
42 MR JONES: That's confirmed there in paragraph 11 of  
43 Inspector Neville's statement. At that time the Queensland  
44 Police Service commenced using Copan 4N6 flocked swabs with  
45 water as a wetting agent. If the page can be turned to  
46 page 3 please, Mr Operator.

47

1 Inspector Neville says that the Copan 4N6 flocked swab was  
2 selected after joint research was undertaken between QPS  
3 and FSS. This is picked up in paragraph 12 of the  
4 statement. I'll come back to the notion of joint research  
5 in a moment, but could alongside Inspector Neville's  
6 statement document WIT.0019.0043.0001 be brought up,  
7 please, and turn to page 2.

8  
9 You'll see in paragraph 12 of Inspector Neville's statement  
10 reference made is to Exhibit 221.

11  
12 THE COMMISSIONER: Yes.

13  
14 MR JONES: Which is a copy of a final report.

15  
16 THE COMMISSIONER: Yes.

17  
18 MR JONES: I'll come back to that in a moment but if  
19 Exhibit 222 could be brought up on the screen, please,  
20 which is at page 90. Sorry, it's Exhibit 220, my  
21 apologies, which is at page 89.

22  
23 This is an email from Cathie Allen of 18 June 2008 to the  
24 QPS regarding the use of water or 70 per cent ethanol.  
25 Ms Allen says:

26  
27 *I spoke with a couple of other scientists*  
28 *and they were in agreement. We thought*  
29 *that either distilled water or 70 per cent*  
30 *ethanol would be a suitable solution to*  
31 *collect blood.*

32  
33 This is in response to a question asked by the QPS which is  
34 outlined at page 11 of Inspector Neville's statement.

35  
36 On the document to the right at page 2, paragraph 6  
37 Ms Allen says that she has no memory of the discussions  
38 that were had with other scientists. It seems that as a  
39 consequence of that email water was selected as the wetting  
40 agent and the swab Copan 4N6 was used commencing in 2008  
41 when the police took over sub-sampling.

42  
43 You'll hear, Commissioner, from Professor Wilson-Wilde  
44 about what amounts to or what a validation is and what a  
45 verification is and the importance of doing a validation or  
46 a verification when changing processes such as this.

47

1 It would seem on the current evidence that the use of the  
2 Copan 4N6 swab with water as a wetting agent was not  
3 validated or verified by the Queensland Police Service  
4 before its use in 2008 but it cannot be ascertained  
5 currently whether there was a validation before 2008. It  
6 seems unlikely though because Ms Allen has identified in  
7 her statement at para 8 that when she started there was  
8 historical use of ethanol, not water with the Copan 4N6.

9  
10 Of course the police only started sub-sampling in 2008 and  
11 Professor Wilson-Wilde will tell you that it's important  
12 when doing a validation to validate it how it's going to be  
13 used, so by police --

14  
15 THE COMMISSIONER: Just hang on a minute, Mr Jones. Go  
16 ahead, Mr Jones.

17  
18 MR JONES: I was just saying it seems it very unlikely it  
19 would have been validated or verified prior to 2008 because  
20 the police didn't have - weren't doing the sub-sampling.  
21 But the lab may have done some form of validation when they  
22 were doing the sub-sampling but we don't have that.

23  
24 Ms Allen finishes paragraph 8 there by --

25  
26 THE COMMISSIONER: The position is that there's no evidence  
27 that anybody did any validation?

28  
29 MR JONES: That's right, and we have only asked so far for  
30 validations since 2008. But Ms Allen has indicated a lack  
31 of memory surrounding some of those things but difficulty  
32 getting documents that may be in hard copy.

33  
34 THE COMMISSIONER: Anyway, you go ahead.

35  
36 MR JONES: Ms Allen concludes there at paragraph 8:

37  
38 *My assumption is that published journal*  
39 *articles regarding an appropriate medium to*  
40 *collect possible blood on a swab was the*  
41 *source of the information, as upon my*  
42 *commencement with the laboratory it had*  
43 *historically used ethanol as a medium.*

44  
45 That's another reason we say that it probably was not  
46 validated water with the Copan 4N6 swab.

47



1           Could document WIT.0040.0102.0001 be brought up please?

2

3           THE COMMISSIONER: What is that?

4

5           MR JONES: That is Allan McNevin's statement of 24 November  
6           2022. It has the wrong month, it has October but it was  
7           given to us and only asked in the last few days so it was  
8           November.

9

10          Could Inspector Neville's statement be brought up,  
11          QPS.0308.0002.0001. Over the page to paragraph 13 please.  
12          Thank you. You'll see there, Commissioner, in Inspector  
13          Neville's statement that in early 2009 --

14

15          THE COMMISSIONER: Hang on, I want to find my copy. I have  
16          it, yes.

17

18          MR JONES: In paragraph 13.

19

20          THE COMMISSIONER: Yes.

21

22          MR JONES: In early 2009 the Queensland Police Service  
23          experienced an issue with Copan 4N6 swabs and water as the  
24          wetting agent not wielding DNA profiles.

25

26          THE COMMISSIONER: Yes.

27

28          MR JONES: The Queensland Police Service looked for an  
29          alternative swab, one that could snap off from the shaft so  
30          that it was robot ready.

31

32          THE COMMISSIONER: Yes.

33

34          MR JONES: Could you turn to page 90 of Inspector Neville's  
35          statement please, operator, and turn to page 2 of  
36          Mr McNevin's statement, please. In Inspector Neville's  
37          statement would you go over a page to page 90. Thank you.

38

39          THE COMMISSIONER: Yes Mr Jones.

40

41          MR JONES: You'll see there in paragraph 7 that Mr McNevin  
42          is speaking of the January 2009, then a laboratory study  
43          done on the 4N6 swab.

44

45          THE COMMISSIONER: Yes.

46

47          MR JONES: This it seems is a response to the issue that

1 the QPS were having with not getting DNA with the water  
2 that Inspector Neville spoke of. It also appears to be the  
3 joint research that Inspector Neville is referring to in  
4 paragraph 12 of his statement. Certainly no other  
5 documents were provided and it seems that Inspector Neville  
6 may have conflated the issue with the swabs not picking up  
7 DNA and with the selection of the 4N6 swab.

8  
9 But in any event this study was undertaken and under the  
10 heading "introduction" there, Commissioner, if that could  
11 just be blown up, the paragraph "introduction", please.

12  
13 *The examination of items for forensic DNA*  
14 *testing is labour intensive and depending*  
15 *on the item, a time consuming process. For*  
16 *simple items such as swabs laboratory*  
17 *efficiency could be improved by delivering*  
18 *items to the testing laboratory in a format*  
19 *that is suitable for analytical use.*

20  
21 This is making a reference to the item being robot ready  
22 and compatible with the processes of the lab and equipment  
23 of the lab.

24  
25 *Such a format includes the supply of swab*  
26 *heads packaged in a tube suitable for*  
27 *testing in the analytical environment, ie*  
28 *suitable to be used directly in the DNA*  
29 *extraction procedure without the need for*  
30 *examination by a scientist.*

31  
32 Then it identifies one such swab. Then:

33  
34 *One format that the product may be*  
35 *purchased in is a kit containing a flocked*  
36 *nylon swab packaged with a 2ml tube with a*  
37 *vented lid allowing for the drying of the*  
38 *swab head.*

39  
40 *The testing like lots of other decisions in*  
41 *the lab had reference back to reducing time*  
42 *consuming processes.*

43  
44 And here that means robot ready.

45  
46 *At the time there was no published papers*  
47 *that could be found by authors that*

1           *directly compared the Copan 4N6 with other*  
2           *swabs in use.*

3

4           And that can be picked up under the heading "aims". If the  
5           operator could go down - sorry, under the heading - sorry,  
6           just above "aims" there. Then if the "aims" section could  
7           be blown up please, and that can be taken down.

8

9           *Testing only related to the efficacy of the*  
10          *Copan 4N6 flocked swab to uptake and*  
11          *release DNA in comparison to spun cotton*  
12          *swabs and spun rayon swabs.*

13

14          If we could go over the page now please, operator.  
15          Experiment 1, if that could be blown up please, related to  
16          whole blood being spotted directly onto the swab and  
17          allowed to air dry for an hour.

18

19          Then if experiment 2 could be blown up please. Experiment  
20          2 was diluted whole blood, again being spotted onto the  
21          swab, not the swab swiping a substrate.

22

23          Experiment 3 was buccal cells spotted directly on to the  
24          swab and allowed to dry for an hour.

25

26          Experiment 4 was then a dilution of the cells and again  
27          spotted directly on to the swab.

28

29          Then experiment 5 was whole blood spotted directly on to  
30          the surface of a new petri dish and allowed to dry  
31          overnight and then standard laboratory techniques used for  
32          liberating the blood at a crime scene were used to liberate  
33          the blood onto the swab, that is the swiping of the swab on  
34          the petri dish. There was a wetting agent used in  
35          experiment 5, Nanopure water, not ethanol. Thank you, that  
36          can be taken down.

37

38          Then the results are detailed over the next few pages but  
39          if we can go to page 10, please. In the first paragraph  
40          there, the sentence concludes the paragraph with:

41

42                 *Additionally given the small sample size*  
43                 *for these experiments further testing is*  
44                 *warranted to draw a clearer conclusion*

45

46          THE COMMISSIONER: Where are you reading from?

47

1 MR JONES: This is page 10, the top paragraph, the final  
2 sentence.

3  
4 THE COMMISSIONER: Yes, I see it. I've got it. Thank you.  
5

6 MR JONES: Then under the heading "recommendations" the  
7 report concludes with:

8  
9 *The testing carried out in this trial has*  
10 *been on small scale and represents some*  
11 *initial evaluation of the 4N6. The testing*  
12 *falls short of a validation or*  
13 *verification. All results should be viewed*  
14 *and caution given the small sample size for*  
15 *each experiment and the limited number of*  
16 *experiments performed, and as such no*  
17 *recommendation is made to either use or not*  
18 *use the 4N6 swab.*

19  
20 THE COMMISSIONER: So why did they bother doing it?

21  
22 MR JONES: Why did the laboratory bother doing the testing?

23  
24 THE COMMISSIONER: Well it's not even testing because some  
25 experiments were conducted from which you conclude nothing.

26  
27 MR JONES: Because of the small scale.

28  
29 THE COMMISSIONER: Because of whatever reason he gives, but  
30 you spend all this time doing it and then you say you can't  
31 rely on this for anything. Anyway, yes, where do we go  
32 next?

33  
34 MR JONES: Two other things that should be said about that  
35 report, if you like. The testing did not use or compare  
36 different wetting agents, in fact all of the experiments  
37 bar one didn't have a wetting agent. In some instances  
38 they were allowed to just dry over an hour. And none of  
39 the experiments used surfaces such as concrete or other  
40 such surfaces found at a crime scene to swipe the swab on.

41  
42 If those two documents could be taken down, please, and  
43 QPS.0308.0002.0001. I'm just checking to see whether I can  
44 give you an answer, Commissioner, to your question from  
45 paragraph 7 and onwards of Mr McNevin's statement where he  
46 identifies doing the research and what the research  
47 involved, but he doesn't identify what the purpose of the

1 research was other than to say that in 2009 the laboratory  
2 conducted a study comparing the swabs.

3

4 THE COMMISSIONER: No. As a result told everybody "don't  
5 pay any attention to this".

6

7 MR JONES: Yes, that's right. The Queensland Police  
8 Service it seems after this study in 2009 identified a  
9 rayon swab and sought advice from FSS as to its  
10 suitability. This is picked up at page 100 of Inspector  
11 Neville's statement.

12

13 THE COMMISSIONER: Paragraph 100?

14

15 MR JONES: Page 100, it's an exhibit --

16

17 THE COMMISSIONER: No, I understand that. It's fine.  
18 That's an email?

19

20 MR JONES: That's right.

21

22 THE COMMISSIONER: Yes.

23

24 MR JONES: This is an email from Allan McNevin to Inspector  
25 Neville and Liza. It seems that Inspector Neville had  
26 after the study, research we just spoke about had gone into  
27 the laboratory with another type of swab and spoke with  
28 Mr McNevin about whether it was suitable and Mr McNevin  
29 then sent this email some time later on 26 March 2009.

30

31 There's a few things to note from this email. Firstly, the  
32 advice being sought was to suitability, not validation or  
33 verification. This observation is supported by the recent  
34 statement of Mr McNevin. Which is - if it can be brought  
35 up alongside of this please, WIT.0040.0102.0001 and turn to  
36 page 2, paragraph 5.

37

38 Now the reason I draw your attention, Commissioner, to the  
39 distinction of suitability and not a validation or  
40 verification is the suitability, as I understand it, goes  
41 to the swab and the packaging suitability to be able to fit  
42 in with the laboratory's processes rather than a study or  
43 question about the efficacy of using a particular swab with  
44 a particular wetting agent.

45

46 THE COMMISSIONER: I don't understand the distinction.  
47 (Indistinct) what suitability means then.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25  
26  
27  
28  
29  
30  
31  
32  
33  
34  
35  
36  
37  
38  
39  
40  
41  
42  
43  
44  
45  
46  
47

MR JONES: It's important to differentiate - this is Mr McNevin - between DNA collection and DNA extraction. If, for example, the police officer --

THE COMMISSIONER: Let me read that then. I think that's pretty atrocious really. Tell me if I've understood this correctly.

MR JONES: Yes.

THE COMMISSIONER: They have absolutely no idea whether the swabs that the police are putting forward are of any use in getting biological material into the swab.

MR JONES: Perhaps if paragraph 5 can be shrunk down so we can see the rest of what Mr McNevin says, please.

THE COMMISSIONER: In the email Mr McNevin told Inspector Neville that the rayon swabs are suitable for use and it's not necessary to perform any testing.

MR JONES: Over the page you'll see more information --

THE COMMISSIONER: Over the page?

MR JONES: Of McNevin's statement to page four.

THE COMMISSIONER: Yes.

MR JONES: And, Commissioner, if you read that it may put into context --

THE COMMISSIONER: Which paragraph?

MR JONES: It starts at paragraph 15:

*On Tuesday 3 March Inspector Neville attended the laboratory.*

THE COMMISSIONER: Let me read it, yes. What does Inspector Neville say about that?

MR JONES: He doesn't mention - I'll just bring it up, sorry.

THE COMMISSIONER: Mr McNevin says in paragraph 17 that he

1 didn't have the experience or knowledge to discuss the  
2 suitability of a swab for uptake, that is DNA collection.

3  
4 MR JONES: Correct. At paragraph 13 Inspector Neville  
5 says, speaks of the issue in early 2009 with the 4N6 swabs:

6  
7 *As a result we searched for an alternative*  
8 *with a shaft that would enable the swab*  
9 *head to be broken off into the tube.*

10  
11 Obviously being robot ready, Commissioner.

12  
13 *A rayon swab with a plastic shaft was*  
14 *identified that would achieve this. The*  
15 *QPS sought advice from QHFSS as to the*  
16 *suitability of the swab. Mr Allan McNevin*  
17 *provided email advice that: "We have*  
18 *considered the rayon swabs that David*  
19 *brought out for us suitable for use. We do*  
20 *not consider it necessary to perform any*  
21 *testing as the rayon swab appears to be*  
22 *identical to a product we have used for*  
23 *various processes within the laboratory".*

24  
25 A copy of the email is attached and then that's the email,  
26 Commissioner, that's on the screen, Exhibit 222.

27  
28 At the very least there is a misunderstanding between  
29 Inspector Neville --

30  
31 THE COMMISSIONER: Where does he say in his communications  
32 with Inspector Neville to make it clear that he's only  
33 talking about whether the swab is suitable for the limited  
34 purpose of the lab's processes in extracting DNA and that  
35 he's giving no opinion about its suitability for collecting  
36 DNA? I would have thought that the lab's interest is in  
37 obtaining of DNA in order to extract it, and it's  
38 convenient now to say "I'm only talking about its  
39 suitability for extraction having learned that there's a  
40 problem with collection". But where is that ever made  
41 plain at the time so that police knew?

42  
43 MR JONES: It's not explicitly said by Mr McNevin but in  
44 paragraph 13 by Inspector Neville he's asking about the  
45 suitability of the swab. There's no mention of it being  
46 validated or verified in terms of its efficacy. Some of  
47 this --

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25  
26  
27  
28  
29  
30  
31  
32  
33  
34  
35  
36  
37  
38  
39  
40  
41  
42  
43  
44  
45  
46  
47

THE COMMISSIONER: Some lay person said - I mean I know Inspector Neville is not a lay person, he's a scientist as well, but when you ask, "We're going to using this for swabbing up blood samples with a view to getting DNA profiles, is this suitable", you'd hardly be understood to be speaking about, "Is it suitable for a limited purpose?"

MR JONES: The difficulty of course is that we are receiving evidence from what appears to have occurred as a conversation in March 2009, at least part of it, that is picked up from paragraph 15 of McNevin's statement, where Inspector Neville attends the laboratory and shows them the swab. And there's a discussion with Cathie Allen about that and then it goes on with the recollection of McNevin:

*I recall in my conversation with Inspector Neville on 3 March was about whether the swab and the tube was suitable for the process in the laboratory.*

THE COMMISSIONER: Where is that?

MR JONES: This is at paragraph 16:

*I specifically recall discussing the physical properties of the swab and how these physical properties could effect the DNA extraction process. For example, the length of the swab stick and whether it would fit in our spin basket.*

So this is all about the suitability of the product working within the lab's process, not about the efficacy of the product.

THE COMMISSIONER: Well, in his mind.

MR JONES: That's what I say, this is the conversation that is said to have been had with Inspector Neville and Inspector Neville's statement at paragraph 12 or 13 speaks about the a suitability, not the efficacy.

THE COMMISSIONER: The upshot is that Mr McNevin says:

*I was only talking about whether the lab could work with these swabs given its own*



1           *processes and I had no experience or*  
2           *knowledge to know whether this swab type*  
3           *would ever pick up any DNA.*

4  
5 MR JONES: And McNevin uses that as a mechanism for recall  
6 to say, in effect:

7  
8           *I'm confident that what we spoke about in*  
9           *paragraph 16 was suitability, and not*  
10          *efficacy, because I wasn't qualified at the*  
11          *time to talk about efficacy.*

12  
13 THE COMMISSIONER: But he doesn't suggest in any of his  
14 evidence that he made that limitation plain to the police  
15 officer whose interest was in getting profiles, not in  
16 using swabs uselessly to pick up nothing so that it could  
17 be used in a particular machine.

18  
19 MR JONES: Yes. Professor-Wilson-Wilde will tell you, I  
20 suspect, that any validation or verification would be a  
21 collaborative effort, not one that is solely placed on the  
22 responsibility of the laboratory.

23  
24 THE COMMISSIONER: As Dr Bedowle said yesterday, in order  
25 to test whether something is suitable or not for the  
26 purpose for which it's going to be used, you have to test  
27 it in the real world in swabbing something and then seeing  
28 if you can get that something out. Mr McNevin might well  
29 have had, if we take his statement at face value, a mental  
30 reservation about his expertise and may well have been  
31 using the term 'suitable' in his own mind as whether they  
32 can stick it into their machines and extract anything  
33 that's there. But it's certainly not evident from anything  
34 that he's written in his statement that he made that plain  
35 to Inspector Neville.

36  
37 MR JONES: That's right. Although the only other point I  
38 would make is that it doesn't seem that Inspector Neville  
39 has said, 'I understood what I was discussing with him to  
40 be about efficacy'. He uses the word 'suitability' as  
41 well, but --

42  
43 THE COMMISSIONER: Suitability is all encompassing, it's a  
44 plastic word that depends on its context and when police  
45 ask is this suitable for - what's the language of the email  
46 that we looked at a moment ago?

47

1           *We have considered the rayon swabs suitable*  
2           *for use.*

3

4           MR JONES: Yes, but that needs to be read in the context of  
5           both that conversation and also the email that wasn't given  
6           to us by the QPS but is included at paragraph 18 of  
7           McNevin's statement:

8

9           *Thanks for bringing out the sample of the*  
10          *swabs and tube. I just wanted to summarise*  
11          *where to go with your visit today. The*  
12          *swab does appear very similar to a product*  
13          *we have used and currently use within the*  
14          *lab, with the difference appearing to be in*  
15          *that the swab head in the examples you*  
16          *provided is not as tightly wound and I will*  
17          *get back to you whether (a) that's a*  
18          *problem, and (b) we would like to do some*  
19          *testing before use. The 1.5 ml tube*  
20          *appears to be the same product that we have*  
21          *used before, although we prefer a 2 ml tube*  
22          *of which I've provided an SSI product for*  
23          *comparison. It appears okay but I will get*  
24          *back to you on that. Additionally you are*  
25          *going to get --*

26

27          THE COMMISSIONER: This is like the Options Paper in  
28          putting the onus on police. How are police going to know  
29          whether a swab is useful for picking up DNA? Only the lab  
30          will be able to test for that.

31

32          MR JONES: As I understand it the evidence will be it's  
33          done in collaboration.

34

35          THE COMMISSIONER: Yes, of course, of course, but the QPS  
36          doesn't have its own resources to swab samples for testing  
37          to see whether it, the particular swab is effective in  
38          picking up biological material containing DNA, and so when  
39          you ask the lab is this suitable, what does any rational  
40          person think you're asking? Whether it's suitable in all  
41          respects for getting DNA profiles.

42

43          Anyway, Mr McNevin might well have, in his own mind,  
44          thought he was only speaking about suitability for  
45          extracting what's there, although I find it difficult to  
46          think that he could have appreciated that Inspector Neville  
47          was limiting his question in that way but, anyway, it

1 doesn't matter. Where do we go next?

2

3 MR JONES: Secondly, about this email of 26 March is, of  
4 course, it speaks of it being identical, but it's not  
5 identical, so before it was used it would need to be  
6 validated or verified and, thirdly, there is no discussion  
7 or advice in the email about wetting agents that are to be  
8 used. It seems that that advice is about what will be  
9 compatible only with the laboratory.

10

11 There is that earlier email, the two line email that,  
12 Commissioner, you saw from Cathie Allen in 2008 about a  
13 reference to either water or 70 per cent ethanol, but  
14 otherwise --

15

16 THE COMMISSIONER: Now, that makes it plain, doesn't it,  
17 that what they're being asked about is suitability for  
18 collection, not for extraction?

19

20 MR JONES: It seems so, in June 2008.

21

22 THE COMMISSIONER: The collection includes extraction  
23 because you use something to scoop up material and you hope  
24 to scoop it up effectively with a view to having it  
25 extracted from the thing that scooped it up for testing to  
26 give you a profile. You're not interested in an extremely  
27 inefficient useless collection device that's wonderful in  
28 the lab for extracting nothing that's there. Anyway,  
29 that's a story. Where do we go next?

30

31 MR JONES: Exhibit 177 to Inspector Neville's statement of  
32 August 2022, which is WIT.0020.0004.0001. So that is  
33 August 2022 at p421.

34

35 THE COMMISSIONER: Let me see if I can find it, Mr Jones.

36

37 MR JONES: You won't have that, I'm told. Apologies.

38

39 THE COMMISSIONER: Yes, all right. You can tell me where  
40 we're going.

41

42 MR JONES: If you could just go over the page, Mr Operator,  
43 to 22 please. It's 422. Thank you. In early 2010 the  
44 Queensland Police Service were advised of suspected mould  
45 on some of their swabs. Acting Senior Scientist Adrian  
46 Pippia concludes in his email that is before you in the  
47 final paragraph:

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25  
26  
27  
28  
29  
30  
31  
32  
33  
34  
35  
36  
37  
38  
39  
40  
41  
42  
43  
44  
45  
46  
47

*I am wondering if ethanol would be the choice of wetting agents for swabs as it evaporates a lot quicker than distilled water. Please let me know of your investigations.*

So this is, the context to this email is the issue of the mould is being raised with police and an Acting Senior Scientist is questioning whether ethanol would assist with the mould because it would likely dry a lot quicker than water, which is what the police were using at the time.

THE COMMISSIONER: He's writing to Quality Manager PFS. What's that? Police Forensic Services or --

MR JONES: I don't know, but the Quality Manager is - there's a Quality Management Section within the DNA Management Unit and what becomes apparent over the page, if we go back to 21, 421, is that Inspector Neville and Lyza McMenz, who is a research officer --

THE COMMISSIONER: With whom?

MR JONES: The QPS. If that can just be blown up a little bit so we can see the response down there.

THE COMMISSIONER: She's in something called the Quality Management Section as well.

MR JONES: That's right. And I'm going from memory here, but I'm sure Mr Hunter will correct me if I'm wrong, I think Inspector Neville was in charge of the Quality Management Section at around 2010, hence he becomes part of that chain of email above.

So the suggestion in the earlier email is that the police will carry out some investigations and let the Acting Senior Scientist know what the outcomes are.

And then the research officer commences to look at the issue of drying, but not efficacy, and she makes reference to the higher humidity areas of presumably Queensland where they're collecting some samples.

And if that is taken down and above that is Inspector Neville's request of Lyza to provide the data that was

1 compiled in assessing that, and that is Exhibit 223 to  
2 Inspector Neville's statement of 2 November 2022 and you  
3 have that statement, Commissioner, it's at p102.

4  
5 THE COMMISSIONER: Hang on a minute, whose statement?

6  
7 MR JONES: Inspector Neville's, 2 November 2022, Exhibit  
8 223. The dock ID is QPS.0308.0002.0001. And then turn to  
9 p0102, please, Operator.

10  
11 Under the heading 'Purpose' you'll see, Commissioner, the  
12 purpose was the evaluation was directed towards drying  
13 times, not efficacy of rayon swabs as 70 per cent ethanol  
14 as a wetting agent.

15  
16 Under the heading 'Background' on p1 there, the report  
17 refers to turnaround times as a focus and rapid delivery to  
18 the laboratory. That's picked up in paragraph 1 under the  
19 heading 'Background'.

20  
21 Then a reference is made to the tubes having an evaporation  
22 hole in that same paragraph. That's, as we understand it,  
23 to assist in drying. 94 per cent of the samples considered  
24 in this study by the police have been collected during a  
25 particularly wet period. That's picked up in paragraph 3  
26 under the heading 'Background'.

27  
28 In the final paragraph of 'Background', you'll see it  
29 starts with:

30  
31 *In July 2010 an assessment of the*  
32 *effectiveness of the addition of a*  
33 *desiccant to aid in the drying of blood*  
34 *swabs collected with water was conducted.*  
35 *This evaluation, however, was limited in*  
36 *scope and only explored two collection and*  
37 *packaging options. In a separate project*  
38 *studies have been undertaken to assess the*  
39 *ability to generate a DNA profile from*  
40 *dried bloodstains collected using 70 per*  
41 *cent ethanol and water as solvent.*

42  
43 Could, on the left-hand side of the screen document  
44 WIT.0020.0012.0001 be brought up on the screen, please.  
45 Turn to p3 and expand paragraph 14 and 15, please.

46  
47 As a consequence of the paragraph in the report of

1 Lyza-Jane McMenz referencing separate project studies,  
2 Commissioner, you asked for a further statement from  
3 Inspector Neville. That further statement was provided and  
4 is dated 14 November 2022.

5  
6 In response to a request about being provided with those  
7 separate project studies, Inspector Neville said:

8  
9 *This work, if undertaken, occurred more*  
10 *than ten years ago and the officer involved*  
11 *left the employment of the Queensland*  
12 *Police Service several years ago. The*  
13 *paper refers to interim results only. A*  
14 *search of her records failed to find any*  
15 *information in relation to these studies or*  
16 *interim results.*

17  
18 So it seems that the report that references separate  
19 project studies either wasn't done to completion or has now  
20 been lost. In any event --

21  
22 THE COMMISSIONER: But we see from Ms McMenz's study, which  
23 is at p102 of Inspector Neville's statement, that while she  
24 was interested in addressing the mould issue by studying  
25 the rate of drying of swabs, she incidentally found that  
26 while the ethanol dried much more, the ethanol soaked swabs  
27 dried much more quickly, she says at p2:

28  
29 *These swabs do not appear to collect as*  
30 *much sample as the water moistened swab*

31  
32 and she doesn't seem to have any numerical data for that,  
33 but then at the foot of p3 she repeats that:

34  
35 *When using 70 per cent ethanol moistened*  
36 *swabs it appeared that not as much of the*  
37 *stain is completed. This may prove to be*  
38 *critical in the case of small stains on*  
39 *semi porous surfaces such as plasterboard.*

40  
41 And she then at the end says something that Dr Bedowle  
42 said:

43  
44 *It's apparent that the use of silica*  
45 *desiccants can assist with the drying of*  
46 *samples.*

1 If they're to be stored in plastic for an extended period  
2 of time, which she noted was going to happen because  
3 Australia Post evidently required these sorts of things to  
4 be put into plastic bags. Then she says:

5  
6 *Items should be thoroughly dried prior to*  
7 *packaging and consideration should be given*  
8 *to the addition of silica desiccants.*  
9

10 So she says ethanol dries more quickly, it doesn't work as  
11 well, and you should be drying these things before sending  
12 them if they're going to be sent, if they're going to be  
13 put into plastic packages.

14  
15 MR JONES: And then one further matter. They're the  
16 matters I was going to point out to you but there is one  
17 further matter under the heading 'Recommendation' on p5.  
18

19 THE COMMISSIONER: It may be that it really didn't matter,  
20 as I thought it did matter, that Mr McNevin was speaking at  
21 cross-purposes with Inspector Neville, if he was, as he  
22 says, that he was referring to a limited issue of lab use,  
23 not efficacy of collection, because police had done their  
24 own work on collection.  
25

26 MR JONES: But remember this is just the history. They  
27 only are about to start using ethanol and this is the  
28 concluding - that is, the QPS are about to start using  
29 ethanol as their emergent response to some mould, and it's  
30 in the context that they have now done their own study on  
31 drying times which concludes on p5:  
32

33 *Conduct further experiments comparing the*  
34 *effect of 70 per cent ethanol and water on*  
35 *DNA yield and profiling results,*  
36 *particularly in cases of semi porous*  
37 *surfaces and small stains.*  
38

39 So it's a case that no validation or verification has taken  
40 place as at the time they decide to use ethanol, but even  
41 more egregiously is the fact that they are on notice that  
42 its efficacy is questionable.  
43

44 THE COMMISSIONER: That's the point. But you're being told  
45 take into account that it appears to be not as good and  
46 this may matter with small stains.  
47

1 MR JONES: Yes.

2

3 THE COMMISSIONER: And then having given that warning she  
4 then says just make sure everything's dry before you send  
5 it.

6

7 MR JONES: Yes.

8

9 THE COMMISSIONER: And suggests a way that can be done.  
10 And then what happens after that?

11

12 MR JONES: Rayon swabs with 70 per cent ethanol were then  
13 commissioned into use without any further consideration and  
14 have been in operation since 2010, some twelve years  
15 without, it seems, any further consideration.

16

17 THE COMMISSIONER: I see, all right. Where do we go next  
18 in the evidence?

19

20 MR JONES: Next is Professor Linzi Wilson-Wilde will give  
21 her opinion about these swabs and wetting agents to assist  
22 you, Commissioner, in investigating this issue fully. I  
23 call Professor --

24

25 THE COMMISSIONER: Just before you do, do we know the date  
26 of this study of Ms McMenz?

27

28 MR JONES: Yes. It is 2010. And I've worked that out in  
29 this way. Firstly, under 'Background' it speaks of the  
30 July 2010 an assessment of effectiveness, speaking about  
31 these studies, that couldn't be produced, but also in I  
32 think Inspector Neville's statement --

33

34 THE COMMISSIONER: Anyway, the reason I ask is that whatever  
35 might have been the situation with what Mr McNevin said -  
36 Mr McNevin was dealing with this issue, we see, from the  
37 emails that you showed, in --

38

39 MR JONES: 2009.

40

41 THE COMMISSIONER: 2009. Ms Allen's email to --

42

43 MR JONES: 2008.

44

45 THE COMMISSIONER: Was 2008 and 2009, so they didn't change  
46 at that point?

47



1 MR JONES: So it goes with sub-sampling happening in 2008  
2 and the use of the flocked swab with water.

3  
4 THE COMMISSIONER: So they changed the swab at that point  
5 but not --

6  
7 MR JONES: 2008 was flocked swab with water.

8  
9 THE COMMISSIONER: Yes.

10  
11 MR JONES: As the inception of police taking over.

12  
13 THE COMMISSIONER: Yes.

14  
15 MR JONES: The flocked swab with water. In 2009 an issue  
16 was experienced with not picking up DNA at all.

17  
18 THE COMMISSIONER: Yes.

19  
20 MR JONES: And so they changed to rayon swabs that were  
21 compatible with the --

22  
23 THE COMMISSIONER: Yes. And that was the subject matter of  
24 the discussion with Mr McNevin.

25  
26 MR JONES: That's in 2009. And then in 2010, January and  
27 February, they have the issue with mould and they kick to  
28 70 per cent ethanol.

29  
30 THE COMMISSIONER: So is there - anyway, I'll ask later  
31 when you've told me more about it.

32  
33 So we changed to rayon in 2009 and that's after the  
34 discussions with Mr McNevin. Then they have issues with  
35 mould, they're still using water, and in no earlier than  
36 July 2010, we see that from the contents of Ms McMenz's  
37 document, no earlier than July 2010 experiments are  
38 conducted to see whether ethanol or water dries more  
39 quickly, and incidentally to that study she found that the  
40 ethanol moistened swabs didn't pick up material as well as  
41 water moistened swabs and warns about that and then says  
42 here, 'So you can avoid mould, just make sure everything is  
43 dry before you stick them into plastic bags'. So then what  
44 happened, then they changed to ethanol swabs after that?

45  
46 MR JONES: So could I just invite, Commissioner, you to  
47 turn up Inspector Neville's 2 November 2022 statement and -

1 from paragraph 11 onwards. Each paragraph starts with a  
2 date and the chronology of the events. So at paragraph 11  
3 it's taking over the sampling of the use of the Copan  
4 flocked swab with water as a wetting agent. You'll see  
5 that in paragraph 11.

6  
7 THE COMMISSIONER: Yes.

8  
9 MR JONES: Then if you turn over the page, at that time  
10 advice was given that two line email from Cathie Allen  
11 about water or ethanol, and then there's some confusion  
12 about the joint research. If you just place that aside for  
13 one moment and go down to paragraph 13. It's early 2009  
14 that they stop getting profiles with the swab, and so then  
15 they switch. At the bottom of that paragraph 13, 'Based on  
16 this advice we switched to rayon'.

17  
18 THE COMMISSIONER: Yes.

19  
20 MR JONES: And then in paragraph 14 you'll see, 'On 15  
21 February we had issues with mould'.

22  
23 THE COMMISSIONER: Yes.

24  
25 MR JONES: And they do their study with ethanol and they  
26 concluded it dried six times faster.

27  
28 THE COMMISSIONER: Yes.

29  
30 MR JONES: And in paragraph 15 they adopt ethanol and  
31 Inspector Neville doesn't refer to anything other than the  
32 email, provided the two line email of Ms Allen in 2008,  
33 which is cited in paragraph 11 at the top of that page.

34  
35 THE COMMISSIONER: And that's despite Ms McMenz's work?

36  
37 MR JONES: Correct.

38  
39 THE COMMISSIONER: All right. So you're going to call  
40 Professor Wilson-Wilde.

41  
42 MR JONES: And the Professor will take an affirmation.

43  
44 THE COMMISSIONER: Professor, you can take it that you're  
45 under your previous affirmation. Yes, Mr Jones.

46  
47 <LINZI MARY ADELINE WILSON-WILDE, recalled: [11.56 AM]

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25  
26  
27  
28  
29  
30  
31  
32  
33  
34  
35  
36  
37  
38  
39  
40  
41  
42  
43  
44  
45  
46  
47

<EXAMINATION BY MR JONES:

Q. We'll just do a test. Can you hear me, Professor?

A. I can, yes.

Q. Your full name is Linzi Wilson-Wilde?

A. Linzi Mary Adeline Wilson-Wilde.

Q. You're a forensic biologist?

A. I am, yes.

Q. We've heard that you're the Director of the Forensic Science South Australia laboratory?

A. That's correct.

Q. You prepared a report for the Commissioner primarily about the Queensland Police Service's use of rayon swabs with 70 per cent ethanol as a wetting agent?

A. I did, that's correct.

Q. And that report is dated 18 November 2022?

A. That's correct.

Q. Could EXP.0002.0009.0001 be brought up on the screen, please. Is that a copy of your report?

A. That is, yes.

Q. And you can see that on your screen, can you, Professor?

A. It's small, but I can see it.

I tender that, Commissioner.

**EXHIBIT #225 REPORT OF LINZI WILSON-WILDE DATED 18 NOVEMBER 2022**

Q. You say in your report that the method used to collect biological material from a substrate is a critical element in the forensic DNA analysis process?

A. I do, yes.

Q. Can you give us some idea what biological material that term encapsulates, please?

A. Biological materials are essentially body fluids such as blood, semen, hair, saliva, or any other material like skin cells or even naked DNA. So it's material that comes

1 from the body that we use it in reference to samples that  
2 are more likely to contain DNA from that individual.

3  
4 Q. And what is a substrate?

5 A. The substrate is the surface on which the biological  
6 material is deposited. So it could be a porous material  
7 like concrete or clothing, those sorts of things. It could  
8 be non-porous like plastic or wood. It's essentially the  
9 surface on which the biological material is deposited.

10  
11 Q. And can you tell us why the method used to collect the  
12 biological material from a substrate is critical element to  
13 the forensic DNA analysis process, please?

14 A. Yes. The collection is extremely important. If you  
15 don't collect the biological material in the right way to  
16 maximise the collection of material, or you don't collect  
17 it in such a way that preserves the evidence that you've  
18 collected, then it may compromise the downstream processes,  
19 be that the DNA analysis practices or whatever other  
20 evidence type that you might be analysing and so the  
21 collection is, the process is designed to maximise the  
22 capture of the biological material, but then store it in a  
23 sufficient way that it preserves the evidence as much as  
24 possible for the analysis.

25  
26 Q. Thank you. Is there a single suitable method for  
27 collecting of biological samples/types from substrates?

28 A. No, there isn't. There's various methods for  
29 collecting biological material like swabbing, tape lifting,  
30 you can collect the entire substrate that it's on or you  
31 could sample the substrate and the method that you choose  
32 depends on the biological material itself and the substrate  
33 to which it's deposited on.

34  
35 Q. And what about if we focus on swabbing then. Is there  
36 a single suitable or optimal swab type to use?

37 A. There's no one set swabbing method and wetting agent  
38 combination that - the perfect method for every different  
39 type of biological material and substrate. It is a balance  
40 of maximizing it in the environmental conditions to which  
41 you're operating.

42  
43 Q. And what about the use of a wetting agent, when is a  
44 wetting agent used and why?

45 A. Wetting agents are generally used when the sample is  
46 dry. So a dried bloodstain, you'd use a wetting agent on  
47 the swab in order to be able to collect that dried sample

1 as much as possible.

2

3 Q. Right. So a wetting agent is not used if the  
4 biological material is itself wet?

5 A. If you had a large pool of blood you certainly wouldn't  
6 need to use a wetting agent and generally speaking if it's  
7 wet you don't need to, although I mean you might get a  
8 bloodstain that's dry around the edges and wet in the  
9 middle and if it's a small one you might choose to use a  
10 wetting agent for that, partially dry, partially wet, so  
11 it's up to the crime scene examiner to consider each sample  
12 that they're looking at and test the best (indistinct) for  
13 that particular sample.

14

15 Q. And can we take it from that that a wetting agent is  
16 not used if a sample has been taken from inside the body?

17 A. No, generally not.

18

19 Q. Is there a most common type of wetting agent or optimal  
20 type of wetting agent?

21 A. There's not an optimal type. Generally speaking water  
22 is used, it's probably the most common one, common wetting  
23 agent but it's not always, it's not shown to be always the  
24 best, it's just it's the most common one that would be used  
25 in most generic situations.

26

27 Q. And what about packaging once the sample has been  
28 collected, packaging the swab, is there a method used to  
29 dry the swab?

30 A. There's varying methods that can be used. You could  
31 snip a section out of the swab tube so that the air can,  
32 the moisture can get out of the swab tube and the swab  
33 facilitates the whole, facilitates the drying process, or  
34 you could use a desiccant process and some, obviously some  
35 alcohol you can use that evaporates the water far more  
36 readily and will dry the swab quicker. So there's varying  
37 things that can be used but again it depends on the  
38 environmental conditions that you're operating in.

39

40 Q. All right. And how does one then make a decision about  
41 which swab or wetting agent to use?

42 A. It's best practice to conduct a validation and so you  
43 would test within your environment and your laboratory  
44 settings or operating environment, that you would identify  
45 a number of different options for swabs and wetting agents  
46 (indistinct words), so using the process that you would  
47 analyse the system so there's an end to end. So you'd

1 limit your casework systems as much as possible and you  
2 would test them and see in your hands which of the options  
3 work the best or which combination of options works best  
4 for you.

5

6 Q. So how does a validation work when you have a police  
7 agency that use the swabs, that is they collect the  
8 biological material, and they give it to the laboratory in  
9 a robot ready format, how does the validation work then  
10 when you have two agencies that need to validate the one  
11 swab?

12 A. You can envisage a collaborative process whereby  
13 whoever is responsible for that component, the methodology,  
14 they should understand I guess the processes that sit  
15 behind the method and how it works and design a validation  
16 study that limits the different environmental conditions,  
17 different substrates, you know, how they're going to  
18 transport it to the laboratory. So they would pick up that  
19 component of an empirical study and then they would ship  
20 them as they would normally ship them to the laboratory,  
21 who could then do the downstream testing. So it would be a  
22 collaborative effort.

23

24 The laboratory might have some components or tests they  
25 would like to put into the empirical study that maybe picks  
26 up some of their issues and by working together between  
27 both agencies you could have an agreed empirical structure  
28 design that would suit all needs and test a majority of the  
29 circumstances that you would routinely encounter in  
30 casework, noting that each case is different and that  
31 you're unlikely to be able to test every single  
32 circumstance, but as long as you're testing the most common  
33 ones then you can have confidence in the eventual decision  
34 of where you go as far as that validation process goes.

35

36 Q. And does your laboratory - how does your laboratory  
37 deal with the validations of swabs used by the South  
38 Australian police?

39 A. We have a project going at the moment. We work in  
40 partnership with our police agencies to look at combined  
41 issues and design appropriate studies based on that. So we  
42 take a collaborative approach to these sorts of projects.

43

44 Q. Is it something that's only done when there's a change  
45 of process or is it something that you do regularly?

46 A. It's really if issues arise or there's a tender  
47 process.

1

2 Q. And how long does it take you to validate a swab in a  
3 wetting agent generally speaking in that collaborative way?

4

5 A. It does depend on the level of the change that's  
6 required. It's a little bit how long is a piece of string?

6

7 The more you're changing, the more it is, but these can  
8 take a few months sometimes to do, depending how big the  
9 study or whether it's a validation or a verification,  
because there would be two different sizes of studies.

10

11 It's a little bit hard to answer that one but measured in  
12 months as opposed to years, I would suggest.

12

13

14 Q. Would you consider it best practice if one of these  
15 issues arose that required a change of process, to change  
16 the process without a validation or before a validation?

16

17 A. It is not advisable to change any processes without a  
18 proper validation or verification process because you  
19 wouldn't understand or potentially you would miss things  
20 that might impact on the analysis processes that you  
21 haven't considered and so I wouldn't advise it at all. I  
22 would test it if you were going to make a change. And  
23 those changes really, what you are focussing on they're  
24 critical changes that effect the, that might impact on the  
25 ability to obtain a result. So something that  
26 substantially impacts on the end result would need to be  
27 tested.

27

28

29 For instance, if you are changing a wetting agent you would  
30 need to validate or verify that, depending on whether it's  
31 been validated elsewhere, you won't need to verify it. If  
32 you're changing a label on the outside of the tube then you  
33 probably, then you wouldn't need to verify that. So it  
34 does depend on what you're changing. If it's a change that  
35 may substantially impact on the result, then you need to  
36 validate or verify it.

36

37

38 Q. You've spoken or you've mentioned a couple of times  
39 verification. Would you tell the Commissioner what a  
40 verification is and when a verification is done as opposed  
41 to a validation, please?

41

42 A. Sure. A validation is an empirical study that is  
43 designed to understand the methodology and it's whether  
44 it's fit for purpose, whether it performs to expected  
45 outcomes. Is it repeatable, i.e. each time you do the  
46 method you'll get the expected result or that it's  
47 reproducible. If one examiner performs a method versus  
another examiner performs a method, that they both get the

1 expected result. You understand the false positive, false  
2 negative rates. And so essentially a validation is about  
3 understanding the limitations of the method. So where is  
4 the realm of where it effectively operates and it should be  
5 performed in conditions of casework. So that's for every  
6 method, you should do a validation.

7  
8 A verification is when another laboratory or a manufacturer  
9 of a method or system, or whatever it might be, has done a  
10 validation. So some of that information is well-known and  
11 understood and hopefully it's out in the peer reviewed  
12 literature. And so you could take some of those, that  
13 information and adopt it but you still need to demonstrate  
14 that the method operates in your hands in the way that you  
15 would expect and so that you can get those reproducible  
16 results within the expected range. So verification is much  
17 smaller study.

18  
19 There are some techniques that are very well understood  
20 that have been utilised in multiple laboratories throughout  
21 the world. Those methods don't need to be re-validated in  
22 every single laboratory and so they just need this  
23 verification process. So that's essentially the difference  
24 between them.

25  
26 Q. Would you consider the use of 70 per cent ethanol as a  
27 wetting agent to be one of those methods that's so widely  
28 used that it could just be verified?

29 A. In my review of the literature I haven't found any  
30 validation studies on rayon swabs with 70 per cent ethanol  
31 and so I can't find any evidence that it's been validated,  
32 so I would expect a validation somewhere to be done if it  
33 was to be implemented.

34  
35 Q. And to be clear, you would expect that to be a  
36 collaboration between the collector of the biological  
37 sample and the laboratory?

38 A. I would, yes. I mean whoever is conducting the method  
39 should understand the science behind the method, they  
40 should understand the limitations of the method. These  
41 sorts of - you know, you can't just swab it or use it, you  
42 need to understand what's going on and so - or someone in  
43 the agency does - and so that's what a validation study  
44 gives you, it gives you the limits of the methodology and  
45 the collection is a critical step that can substantially  
46 impact on the results, so it does need to be validated and  
47 I can't find any evidence of that.



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25  
26  
27  
28  
29  
30  
31  
32  
33  
34  
35  
36  
37  
38  
39  
40  
41  
42  
43  
44  
45  
46  
47

Q. So when you say the user of the method needs to be familiar with those matters you just mentioned, are you referring to the police, that is the forensic officers need to be familiar with the method that they are using in collection, that is the validation of the method they are using?

A. Absolutely. I think it's really important that any method that's used that is a critical method is well understood by those that are using it.

Q. Now, I'll take you to paragraph 22 of your report, and you've touched on this already. Mr Operator, if you could turn over four pages to p4 please. Thank you. What are the implications for failing or validate or verify a change in the process such as swabs and wetting agents?

A. If you don't sufficiently validate you can't be confident that there won't be unforeseen impacts on the method such as, as we've heard, reduced sample collection efficiency if it doesn't sample properly, compromised sample storage in terms of swabbing, or potential downstream effects such as compromised DNA analysis and subsequent profile generation. So I think - and that's in reference to swabbing in particular, but any method. You know, you don't know - if you haven't fully validated it you won't know what those things are. And if you haven't verified it, for instance in a Queensland cases with a rayon swab and water you're working in an environment potentially that has high humidity, and so that's part of the environmental impact on the swabbing, storage, transportation systems. So that's why it's really important to verify the products so that you can test for these aspects. And so those you would hope may come out in the verification process, and so that's what you would - that's essentially what you're trying to do, is mimic the process to ensure that you're getting - you can show that you're getting suitable (indistinct).

Q. So the verification might, for example, expose that 70 per cent ethanol as a drying agent is okay on some substrates and on some types of samples but should be avoided on other substrates or biological samples because it damages or its efficacy is questionable?

A. That's correct. It would show you how good rayon swabs versus cotton swabs versus polyester swabs, there's lot of different types of swabs that show you how good they are at collecting samples in your environment, and then the

1 wetting agent how good that wetting is, seeing the swab and  
2 collecting it. But then also there's a (indistinct)  
3 transport component as well through to any impacts on  
4 downstream DNA analysis that it might have.

5  
6 Q. What about if the issue is with mould growing on the -  
7 potential mould growing on the swabs, you mentioned before  
8 about one way of drying is cutting a slip in the tube. Is  
9 cutting the tube like that something again that's a change  
10 of process that would require a validation, or would that  
11 be an interim measure that could be done until you're able  
12 to validate a new wetting agent?

13 A. Yeah, put some desiccant into a - and snip it with  
14 some, you know, you would probably, you could keep the swab  
15 that you have, put a hole up near the top of the handle,  
16 not down near the swab, and put a small packet of desiccant  
17 in and that would resolve, you would hope that would  
18 resolve that issue whilst you validate other options. I  
19 mean there are other options.

20  
21 Q. Sorry, Professor, the question was whether or not that  
22 small change would need to be validated or whether that  
23 could be used as an interim measure until full validation  
24 could be done?

25 A. Yeah, that could be just an interim measure.

26  
27 Q. Once a validation is done or a verification is done  
28 where should it be kept?

29 A. It should be kept on file in a place that members that  
30 are using that process have easy access to.

31  
32 Q. Right. Should the information from it be included in  
33 the Standard Operating Procedures?

34 A. I think it's good practice to reference validation,  
35 important validations. So these are verifications that are  
36 in the SOPs so those that are using that SOP know that it  
37 exists and can access it.

38  
39 Q. That touches on what you were saying before, the people  
40 using it must understand the method and have available to  
41 them the validation so they can see the weaknesses and  
42 strengths of it?

43 A. That's correct. I mean you go through a training  
44 process to be qualified to use these methods and sometimes  
45 validation reports can be part of those training documents.  
46 (Indistinct) go through the training process and then you  
47 wouldn't look at that document, essentially you wouldn't

1 look at it again. Whereas an SOP every time there's a  
2 change it's updated and re-issued. And so if there are -  
3 the validation studies go in the SOPs as references then  
4 those that are using it know that there are new studies and  
5 can track the history of it. You're more likely to go to  
6 an SOP than back to the original (indistinct words). Ease  
7 of use for those that are conducting the test.

8  
9 Q. Were you able to identify in any of the documents that  
10 had been given to you, the Queensland Police Service  
11 documents or Queensland Health documents, records like  
12 you're talking about in terms of verification or  
13 validation, and in the police training documents reference  
14 back to the validations or verifications for rayon and 70  
15 per cent ethanol?

16 A. I couldn't find any reference to a validation study for  
17 that particular combination.

18  
19 Q. You have listed at the back of your report the  
20 documents that were provided to you when you were briefed  
21 by the Commissioner. Further documents were provided to  
22 you yesterday, they included a statement from Cathie Allen  
23 and Allan McNevin, together with a project plan and two  
24 project reports?

25 A. Yes.

26  
27 Q. A biology management team minutes and then three DNA  
28 analysis management team minutes. In those documents and  
29 also the documents you were originally briefed with were  
30 you able to identify any validation or verification of  
31 rayon swabs with 70 per cent ethanol as the wetting agent?

32 A. No, I couldn't.

33  
34 Q. Do you have an opinion about the use of rayon swabs  
35 with 70 per cent ethanol as a wetting agent?

36 A. I do have concerns about that particular combination as  
37 a swabbing form. It's not the worst but it's also not the  
38 best.

39  
40 Q. All right?

41 A. I think there are potentially better combinations that  
42 would (indistinct) needs.

43  
44 Q. Could I direct your attention to paragraph 21 of your  
45 report and can you tell us what those other options or  
46 better options are?

47 A. I think there are other wetting agents such as

1 isopropanol that can be used, that's another type of  
2 alcohol but has a different structure to ethanol that has  
3 been shown to perform better. And by choosing a swab with  
4 a desiccant attached to it would be good. I think the  
5 issue is the - given the environmental conditions  
6 (indistinct) they really do need to look at different swab  
7 options and different wetting agent options.

8  
9 Q. That then ties into what recommendations would you now  
10 make with respect to the swabs and wetting agent used by  
11 the Queensland Police Service?

12 A. Would be to have a look at other options. 70 per cent  
13 ethanol, I can't see evidence of it being a better wetting  
14 agent than other options. It's not the best in most  
15 circumstances, from the research and the literature, and so  
16 I'd be recommending that they have a look at other options  
17 and validate, conduct a validation study on those different  
18 options.

19  
20 Q. So if they were to continue using rayon and 70 per cent  
21 ethanol your recommendation would be that they work  
22 collaboratively with the laboratory to do a validation?

23 A. That's correct.

24  
25 Q. Is it reasonable for the collector, the Queensland  
26 Police Service, to simply ask the laboratory for the advice  
27 as to what's the correct swab or what's the correct wetting  
28 agent?

29 A. Just asking them, asking them for advice on what are  
30 the types of swabs or wetting agents that they could test  
31 is perfectly reasonable. But I think it's then important  
32 for Queensland Police to then actually test them to see how  
33 they perform in their hands through their processes.  
34 Queensland Health don't go to scenes, they wouldn't have  
35 the experience of different types of substrates found at  
36 crime scenes, so their advice would potentially be limited  
37 and so it's really that collaborative approach that would  
38 elicit the best outcome.

39  
40 Q. I direct your attention to paragraph 17 of your report.  
41 You identify some literature that Inspector Neville has  
42 cited in a statement. Have you reviewed those  
43 publications?

44 A. I have reviewed those publications.

45  
46 Q. What are you able to observe about what Inspector  
47 Neville has said about those publications?

1 A. The papers have value and they provide information but  
2 none of the papers represent a validation study for a rayon  
3 70 per cent ethanol combination, and none of them represent  
4 a - are done by Queensland Police. So you can't infer that  
5 in their (indistinct) and nor would any of those or the  
6 totality of those articles provide all of the validation  
7 information that would be required.

8  
9 Q. Did you review other Queensland Police Service Standard  
10 Operating Procedures associated with the collection of  
11 biological material?

12 A. I did, there's a list that's provided in appendix 2 of  
13 my report.

14  
15 Q. Thank you. What were your general findings about that?  
16 I direct you to paragraphs 29 and 30 of your report?

17 A. Many of these methods are well-understood in the  
18 literature and have been used for some time, but there was  
19 no evidence of any validation or verification study  
20 reference in the SOPs so I can't ascertain whether they  
21 were or weren't validated or verified as appropriate.

22  
23 Q. And if they had not been validated or verified they  
24 should be validated and verified?

25 A. Yes, there is a section in the quality manual that does  
26 suggest that if it is validated elsewhere that it doesn't  
27 need to be validated or verified, which I did have some  
28 concerns about. I would consider the best practice is to  
29 verify any method that has the potential to substantially  
30 impact on the downstream result, it should be verified  
31 prior to implementation.

32  
33 Q. Just a question about self-drying and self-vented  
34 swabs. Are they a relatively new piece of equipment? How  
35 long have they been around and what are they?

36 A. I couldn't tell you how long they have been around  
37 exactly but I know there have been some tubes with little  
38 vents on the end of them for quite a number of years.

39  
40 Q. At least since 2009 there's been vented lids, is that  
41 right?

42 A. Sorry, I couldn't give you the exact date they were  
43 implemented but I know it's been quite a number of years.

44  
45 Q. I'll just quickly show you some documents. The first  
46 is Exhibit 222, Inspector Neville's statement,  
47 QPS.0308.0002.0089, which is an email from Cathie Allen of

1 18 June 2008. Do you see that, Professor?

2 A. I do.

3

4 Q. I'll state the obvious, that's obviously not a  
5 validation or a verification study?

6 A. No, no, that's not.

7

8 Q. Is it an appropriate form, way in which to advise the  
9 QPS as to the appropriate wetting agent to be used?

10 A. It's certainly a (indistinct) statement to suggest here  
11 are two options that you could look at and investigate.

12

13 Q. Could Exhibit 221 which is QPS.0308.0002.0001, page 90  
14 which is the report on 4N6 swabs - can you see that?

15 A. Yes.

16

17 Q. Now that report deals with the efficacy in terms of the  
18 uptake of DNA and the extraction of DNA, do you accept  
19 that, is that right?

20 A. Yes.

21

22 Q. It doesn't deal with wetting agents and types of swabs?

23 A. No, it primarily focuses on looking at different types  
24 of swabs to see how they perform in an extraction  
25 methodology, ie the release of DNA from the swab.

26

27 Q. And the testing was not in the case environment?

28 A. No, it wasn't.

29

30 Q. And the only time a wetting agent was used it was water  
31 and it was in one experiment, experiment 5?

32 A. That's correct as far as I can tell.

33

34 Q. And it was a very small scale test?

35 A. Five I think.

36

37 Q. The question asked by the Commissioner was if it  
38 doesn't make any recommendations what's the real purpose of  
39 it? Having read it are you able to determine what its  
40 utility is, if any?

41 A. This type of pilot study I would suggest could be used  
42 as a, "Here's a new swab, let's have a quick look at it to  
43 see whether it's something you should look at further"  
44 would be the purpose of this type of study.

45

46 Q. So as it suggests it would be inappropriate to rely on  
47 it as a recommendation or a validation?

1 A. That's correct.

2

3 Q. But it might be the starting point towards a proper  
4 validation?

5 A. That's correct. So if it didn't perform well then  
6 you'd do no further study on it.

7

8 Q. Could Exhibit 222 to Inspector Neville's statement  
9 which is at page 100 be brought up please?

10 A. I need to alert you, I do apologise, my computer  
11 battery appears to be running low.

12

13 Q. I've only got three more questions, it will be Ms Hedge  
14 that suffers that.

15

16 THE COMMISSIONER: What should we do, Professor?

17 A. I was - we can go but then I'd probably need to log  
18 back in via my phone.

19

20 Q. Yes, so should we adjourn so that you can change your  
21 equipment?

22 A. That would be good actually. I do apologise.

23

24 Q. It's half past 12 here, let's break for ten minutes and  
25 we'll - we'll come back when you're ready but not before  
26 ten minutes?

27 A. Excellent, thank you.

28

29 Q. Thank you?

30 A. Thank you Commissioner.

31

32 **SHORT ADJOURNMENT**

33

34 MR JONES: Professor, on the screen is Exhibit 222 to  
35 Inspector Neville's statement, QPS.0308.0002.0001 at page  
36 100. That's an email from Allan McNevin regarding the  
37 rayon swabs and it's dated 26 March 2009. Can you see  
38 that?

39 A. I can, yes.

40

41 Q. Again, to state the obvious that's not a validation or  
42 verification of any type, is it?

43 A. No.

44

45 Q. What do you make of the language "suitable for use",  
46 what is it that's being said by Mr McNevin?

47 A. I don't think I can comment on that.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25  
26  
27  
28  
29  
30  
31  
32  
33  
34  
35  
36  
37  
38  
39  
40  
41  
42  
43  
44  
45  
46  
47

THE COMMISSIONER: I don't think it's a question for Professor Wilson-Wilde, Mr Jones.

MR JONES: Thank you. Could QPS.0308.0002.0102 be brought up please. Again, you reviewed that research?  
A. I did, yes.

Q. It doesn't amount to a validation or verification of 70 per cent ethanol and rayon swab?  
A. No.

Q. Thank you. Could WIT.0020.0007.0001 page 10 be brought up please, operator. At the start of your evidence you told us about different substrates and what you had read about 70 per cent ethanol or 100 per cent ethanol or ethanol as a wetting agent and it being less than optimal from what you were able to discover. Could you have a look at the surfaces on page 10 here and tell us, if you can, what effect 70 per cent ethanol with a rayon swab may have on that surface from what you've been able to discover from published material?

A. Ethanol from the research doesn't have as good a recovery of blood as other types of wetting agents. It does depend on how much they rubbed, how wet it was, how they were able to collect it. There are other options. It's wood, it could have been - you could have excised some of that sample with a scalpel, so there are different ways it could have been collected. There's obviously a lot of blood there in the wood. Ethanol will pick everything up but it will actually pick up all of the inhibitors that might be there in that wood as well and so you don't know what the downstream effects of the inhibitors might be as it's being collected.

Q. Okay?

A. It is difficult to say without testing it empirically but there are - I would have a few comments about that.

Q. What about page 11, over the page, that substrate and type of biological sample?

A. Is that on concrete or brick?

Q. It appears to be concrete?

A. Yeah. It's hard to say what the best technique would have been for these. Obviously you'd need a swab with some wetting agent to be able to collect the blood from this



1 type of sample. What's the best would depend on how you're  
2 going to store it, what's proven, environmental conditions,  
3 et cetera. It's a bit difficult to comment but again  
4 ethanol would pick up all of the inhibitors as well that's  
5 on a surface like that.

6

7 Q. Thank you?

8 A. Without testing you don't know what the effect would  
9 be.

10

11 Q. Thank you, Professor. Commissioner, that's the  
12 evidence-in-chief. Ms Hedge is now going to open the  
13 second part of Professor Wilson-Wilde's evidence.

14

15 THE COMMISSIONER: Thank you. Yes Ms Hedge.

16

17 MS HEDGE: Thank you Commissioner. The Commission has  
18 asked Professor Linzi Wilson-Wilde to perform a review of  
19 another topic and so I'll open that briefly before I ask  
20 the professor some questions about it.

21

22 The topic is the success rates of the laboratory. One  
23 (indistinct) the performance of a forensic DNA laboratory  
24 is its success rates. Success in this context refers to  
25 the ability to progress a sample through all stages of DNA  
26 testing analysis and interpretation to obtain a DNA profile  
27 that can be used for some purpose in the criminal justice  
28 system.

29

30 The Queensland laboratory has not had a ready way of  
31 determining its success rates through data mining using the  
32 forensic-register. Dr Matthew Croft of the Queensland  
33 Police Service published a paper in 2021 with some success  
34 rates in it, but those rates were calculated from QPS data  
35 which does not include some of the detail known to the  
36 laboratory, but of course it includes some other details  
37 that are not known to the laboratory.

38

39 That data was not accepted by the laboratory or by the  
40 managing scientist as being accurate and Dr Croft said in  
41 his article that the purpose of that data analysis was to  
42 compare sampling techniques by police rather than to  
43 consider the laboratory's performance objectively.

44

45 For that reason and for the lack of knowledge of success  
46 rates by the Queensland laboratory, the Commission required  
47 Queensland Health to provide data on success rates for

1 different types of samples and those samples categorised as  
2 what you would know as DIFP and no DNA for the last five  
3 years, from 2018 to 2022, as well as contamination rates by  
4 Queensland police officers and other information.  
5

6 The Commission engaged Professor Linzi Wilson-Wilde to  
7 review that data and asked the question whether it sat  
8 within what might be expected of a laboratory in Australia.  
9 She prepared a report which we'll come to in a moment.  
10 Generally she considered that the success rates of the  
11 laboratory were within the range that might be expected,  
12 taking into account the high thresholds used by the  
13 laboratory. That is if you have high thresholds like DIFP  
14 and no DNA, and those are hard thresholds, then you test  
15 less material and you test the better quality material and  
16 so your success rates are higher than a laboratory --  
17

18 THE COMMISSIONER: That is to say if we look only at what  
19 has been tested the success rate is acceptable?  
20

21 MS HEDGE: Yes, that's right. But if you test everything,  
22 for example, if you're a laboratory that tests absolutely  
23 everything, no thresholds at all, then you'd expect your  
24 success rate to be lower than a laboratory like the  
25 Queensland laboratory has been functioning.  
26

27 THE COMMISSIONER: Yes, they've reserved themselves to the  
28 best samples. They've restricted their work to the best  
29 samples.  
30

31 MS HEDGE: To the higher samples, that's right.  
32

33 THE COMMISSIONER: Yes.  
34

35 MS HEDGE: Can we place on the screen the report  
36 EXP.0002.0010.0001. Can I in opening just draw the  
37 Commission's attention to a number of the key features and  
38 then I'll ask some questions of Professor Linzi  
39 Wilson-Wilde to deal with the detail.  
40

41 THE COMMISSIONER: Yes.  
42

43 MS HEDGE: If we turn to page 3 of that document and  
44 paragraph 15 and zoom in on or expand paragraph 15, please.  
45 These are the success rates for the sample types that the  
46 Commission asked about over a five year period. So blood  
47 82 per cent, semen 81 per cent, saliva 67 per cent, HBS

1 stands for high vaginal swab 74 per cent. As you see there  
2 the conclusion is they're within the expected range for  
3 those sample types considering the quantitation threshold  
4 applied.

5  
6 THE COMMISSIONER: Yes.

7  
8 MS HEDGE: Can we turn then to paragraph 12 on that same  
9 page. This deals with Queensland police officer  
10 contamination rates, so that is when the DNA of a police  
11 officer is found in a sample which is explainable by them  
12 having taken the sample or being present when the sample  
13 was being taken, and in the middle of that paragraph the  
14 percentages over the last five years of range between .09  
15 per cent and .21 per cent which is considered appropriate  
16 within an acceptable range.

17  
18 Can I hand up, Commissioner, a list of documents to tender  
19 as part of this topic. Could I tender those documents as  
20 an exhibit number column on the far right-hand side for  
21 which I thought we might put the numbers consecutively from  
22 where we're up to.

23  
24 THE COMMISSIONER: All right. Well then document number 1  
25 is Exhibit 225.

26  
27 **EXHIBIT #225 DOCUMENT NUMBER 1**

28  
29 **EXHIBIT #226 DOCUMENT NUMBER 2**

30  
31 **EXHIBIT #227 DOCUMENT NUMBER 3**

32  
33 **EXHIBIT #228 DOCUMENT NUMBER 4**

34  
35 **EXHIBIT #229 DOCUMENT NUMBER 5**

36  
37 **EXHIBIT #230 DOCUMENT NUMBER 6**

38  
39 **EXHIBIT #231 DOCUMENT NUMBER 7**

40  
41 **EXHIBIT #232 DOCUMENT NUMBER 8**

42  
43 **EXHIBIT #233 DOCUMENT NUMBER 9**

44  
45 **EXHIBIT #234 DOCUMENT NUMBER 10**

46  
47 MS HEDGE: And can I tender as a bundle three extra

1 documents which are the spreadsheets that Professor  
2 Wilson-Wilde used, her working spreadsheets.

3  
4 **EXHIBIT #235 WORKING SPREADSHEETS**

5  
6 MS HEDGE: Thank you.

7  
8 **<EXAMINATION BY MS HEDGE:**

9  
10 Q. Can you see and hear me, Professor?

11 A. I can, yes.

12  
13 Q. Thank you. You've heard an explanation of what you  
14 were asked to do by the Commission in terms of success rate  
15 data; is that right?

16 A. That's correct.

17  
18 Q. Can we deal first with what success rate means and can  
19 we turn to page 2 of your report and paragraph 3. That's  
20 the definition that you've been using in your review?

21 A. It is, yes, and that's a definition of the samples that  
22 produce a DNA profile of any measure, be that single source  
23 or (indistinct) interpreted, but it's essentially the  
24 definition provided by Queensland Health in the data tables  
25 that were provided. So it's where they determined a  
26 profile has been generated, I've accepted that as a  
27 (indistinct words).

28  
29 THE COMMISSIONER: Professor, if we applied that definition  
30 to all of the samples that FSS received, a significant  
31 proportion of which they chose not to test, then it would  
32 be appropriate to put those samples that they chose not to  
33 test because of this Options Paper protocol into the  
34 category of failures so that the success rate would have to  
35 take into account not just the samples received and tested  
36 but the samples received that achieved no result, not  
37 because testing was unable to achieve a profile but because  
38 of a wrong decision not to test them. What do you say  
39 about that?

40 A. I haven't accounted --

41  
42 Q. No, I know you haven't, I'm talking as a matter of  
43 principle?

44 A. Yeah. When they don't progress you don't know whether  
45 you can get a DNA profile or not, so it's very difficult to  
46 ascertain whether they would have produced a profile or  
47 not. You can do some data analytics based on success rates

1 and quantitation rates to determine it. It is very  
2 difficult to comment because there's a chance that they  
3 wouldn't produce a profile.  
4

5 Q. Anyway, in absolute I infer I put a false comparison so  
6 I won't pursue it. Thanks for that?

7 A. Thank you.  
8

9 MS HEDGE: Turning to those quantitation thresholds that  
10 the Commissioner just mentioned, if we look at paragraph 5  
11 on that same page. I'm sorry, I have a copy of the report,  
12 Commissioner.  
13

14 THE COMMISSIONER: Thank you.  
15

16 MS HEDGE: Paragraph 5 on the same page deals with the  
17 issue of quantitation thresholds and you conclude there  
18 that when a laboratory has a high quantitation threshold  
19 one might expect their success data to be higher than a  
20 laboratory with a lower quantitation threshold, is that  
21 right?

22 A. That's right. The success right is determined by quite  
23 a number of factors that impact on the DNA analysis process  
24 throughout it. You can have thresholds at varying  
25 processes in the stage from the number of exhibits received  
26 versus exhibits collected at crime scenes versus those you  
27 collect, the number of samples you then choose to analyse  
28 and process through, quantitation levels, et cetera,  
29 settings on instruments can have an effect. The  
30 amplification kit that you use has an effect. So these are  
31 all the variables that impact on success rates, but if you  
32 set a quantitation threshold high you would realistically  
33 expect that if you're targeting your samples at those with  
34 higher levels of DNA or measurable levels of DNA, then  
35 anticipate a high success rate.  
36

37 Q. Would you agree that the Queensland Health laboratory  
38 when it was operating with both DIFP and no DNA thresholds  
39 in place was one that had high thresholds?

40 A. That is a higher threshold, yes.  
41

42 Q. All right. Can we talk briefly about what the  
43 limitations are of this data. Is it easy for a laboratory  
44 to determine what its success rates are?

45 A. It is. In all honesty it is very complicated as a  
46 process. Intuitively we think that it's easy to calculate  
47 our success rate, the number of samples goes in, the number

1 of profiles you get. But it is very complicated in the  
2 sense of you might get a high vaginal swab that you split  
3 into two (indistinct) female and male fractions. You'll  
4 process those. You might repeat them. You might go back  
5 and concentrate a sample (indistinct). So if you're not  
6 just doing a linear process, ie (indistinct) sample then  
7 you analyse them once and the result you get is the result  
8 you get. If you're doing anything other than that then  
9 there's nuances in how you calculate these figures.  
10 Indeed, if you have a mixed profile you might have a major  
11 component and a minor component (indistinct words), two  
12 results or one result. So this data was extremely  
13 difficult to ascertain given the labelling what it's  
14 actually referring to in all of that, and it would be I  
15 think quite difficult for most labs to get easy success  
16 rate data for all of their samples they get.

17

18 Q. All right. Just on that last point, is that because of  
19 most laboratories current document or information  
20 management systems, is it possible to set up a system that  
21 would get good success rate data and that just doesn't  
22 exist generally?

23 A. You have to have a system that can collect the data and  
24 interpret it. Not all labs have an electronic system, so  
25 some are manual. Those that do you need to understand I  
26 guess what the laboratory information systems can capture  
27 and then you've got to cut the data in a way that it's  
28 meaningful and then if you want to compare it to others  
29 then you've got to make sure you're comparing apples with  
30 apples, which is also problematic. Not all labs would have  
31 visibility I would suggest.

32

33 Q. As the manager or director of a laboratory, is the  
34 success rate data of interest or use to you in terms of how  
35 you would then make decisions about the laboratory?

36 A. It's extremely useful. Extremely useful. It can tell  
37 you whether your systems are working or not working, and if  
38 you can track your samples through the process you can  
39 potentially identify issues with components of the  
40 methodology, et cetera. But it is difficult to capture.

41

42 Q. In an ideal world would you like to have that success  
43 rate data available to you being a manager or a director of  
44 a laboratory in real time so you can always be checking how  
45 it's going for the year or the previous six months or the  
46 previous three years or whatever period you choose?

47 A. Absolutely. Success rate data is very useful as a

1 director and I would advocate for a system that can produce  
2 that data in a readily digestible format.

3

4 Q. Thank you. Can we turn then to the overall success  
5 rates and can we turn to page 12 of the report which is  
6 appendix 3F. If we zoom in on the 2018 at the top of the  
7 table there. This appendix deals with P1, P2, the 1, 2, 3  
8 is P1, P2, P3; is that right?

9 A. That's correct.

10

11 Q. This is just for 2018. There's the number tested, the  
12 number the profile was obtained from and the percentage of  
13 samples tested that gave a profile and then the percentage  
14 of samples tested loaded to the national database. Now you  
15 say that you consider the getting of a profile more  
16 important than the database, can you just explain your  
17 reasoning for that?

18 A. The samples that are loaded to the database won't be  
19 reflective of all of the profiles that were generated. For  
20 instance in a sexual assault case you might have a victim's  
21 profile that you wouldn't load to NCIDD if that victim's  
22 profile was found at a suspect's premises or in their car,  
23 it wouldn't be very good evidence or probative evidence for  
24 an investigation. And so NCIDD figure represents a subset  
25 of value that you might get from DNA profiling.

26

27 Q. We see those numbers there for 2018, 40 per cent for  
28 priority 1, 55 per cent priority 2, 39 per cent priority 3?

29 A. Correct.

30

31 Q. And you say that's not surprising but priority 3 would  
32 be below the other because often there's trace DNA samples  
33 and so on gathered in that sort of case?

34 A. Generally speaking, and again these results are just  
35 simply taken from the data provided in terms of those  
36 tested, those who are categorised as a profile that's been  
37 generated. So just emphasising that caveat. But it's not  
38 a surprising figure that the volume crime is lower than the  
39 (indistinct) crime.

40

41 Q. All right. And just dealing with that caveat, does  
42 that mean that the percentages you've calculated might be  
43 seen as an estimate of the true value, as opposed to an  
44 exact true value?

45 A. It means I can't conclusively say these are the actual  
46 figures, it's purely based off the data that was provided.

47

1 Q. But from the data that's been provided and the way that  
2 it's been created, which Queensland Health provided to the  
3 Commission and then to you, from that are you satisfied  
4 that it's an estimate of the true value?

5 A. It would be somewhere around an estimate of the true  
6 value, depending on how those profile figures are  
7 calculated, but it gives you certainly an indication  
8 between the three priorities and the true figure would be  
9 somewhere in that, as you would anticipate.

10

11 Q. Could we zoom in right at the bottom then on the 2022  
12 part of the table and the overall - to the last part of the  
13 table. This is 2022 up to late October, I understand. And  
14 so those percentages there are generally slightly higher  
15 than the percentages from 2018, so there's been an  
16 improvement in obtaining profiles by the laboratory over  
17 five years?

18 A. Or it could represent a better targeting of samples or  
19 a higher threshold has been applied. There's a lot of - an  
20 improved kit, different extraction. There's many reasons  
21 why this might change but it does show that they are  
22 getting good results. Whether that's from targeting better  
23 samples or not, I don't know.

24

25 Q. I see. And the overall, over the five years, is a  
26 50 per cent success rate for all types of samples?

27 A. Correct.

28

29 Commissioner, I note the time.

30

31 THE COMMISSIONER: Yes.

32

33 MS HEDGE: I've probably got another 15 or 20 minutes and  
34 then, of course, there'll be cross-examination on both what  
35 Mr Jones has asked about and myself.

36

37 THE COMMISSIONER: Are you aware that there will be, or  
38 don't you know?

39

40 MS HEDGE: Definitely Mr Hunter, but I haven't spoken to  
41 everyone.

42

43 MR HUNTER: I might have half an hour.

44

45 THE COMMISSIONER: Yes, all right. Well then we'd better  
46 adjourn.

47



1 Professor, we will adjourn until 2.30 unless another time  
2 suits you better?

3 A. That's fine.

4

5 Q. All right, we'll adjourn until 2.30 then.

6 A. Thank you, Commissioner.

7

8 Thank you.

9

10 **LUNCHEON ADJOURNMENT**

11

12 THE COMMISSIONER: Ms Hedge.

13

14 MS HEDGE: Thank you, Commissioner.

15

16 Q. Can you see and hear me, Professor?

17 A. I can, yes, thank you.

18

19 Q. Thank you. Just before the break we spoke about the  
20 overall success rates split into the priority categories 1,  
21 2 and 3. Can I now turn to the sample type categories,  
22 blood, saliva, semen and high vaginal swab. Can we first  
23 turn to p3 of your report and paragraph 15. Now these four  
24 categories were chosen by the Commission, not by you, is  
25 that right?

26 A. That's correct.

27

28 Q. Do you think they're useful categories to get a handle  
29 on how the laboratory's performing on key samples of  
30 interest?

31 A. They can give you an indication on these types of  
32 samples, absolutely.

33

34 Q. I suppose what I mean is, are those the types of  
35 samples that are particularly useful generally in criminal  
36 investigations?

37 A. They are. There are other types such as (indistinct)  
38 that can be used as well, but these do represent probably a  
39 high portion of samples.

40

41 Q. Now we see those percentages there, which is the total  
42 percentage success rate over the five year period?

43 A. That's correct.

44

45 Q. And just to clarify, that's the percentage success  
46 being the percentage of samples that were tested that  
47 resulted in a profile that might be able to be compared to

1 something?

2 A. That's correct.

3

4 Q. And those percentages, blood 82 per cent, semen 81 per  
5 cent, saliva 67 per cent and high vaginal swab 74 per cent  
6 are all within the expected range for those swabs types?

7 A. Yes, they are.

8

9 Q. Subject, of course, to the quantitation thresholds that  
10 we've discussed earlier?

11 A. Exactly.

12

13 Q. Just on the high vaginal swab, it's correct that the  
14 information from Queensland Health is that it would count  
15 as success if the high vaginal swab had either the profile  
16 of the person from whom it was taken or someone else, is  
17 that right?

18 A. I believe that's correct.

19

20 Q. And so would it be more informative if someone was to  
21 do data review down the track in the Queensland laboratory,  
22 would it be more informative to find the percentage that  
23 obtained - where sperm was seen, for example, on  
24 spermicroscopy, and then the percentage of them that the  
25 (indistinct) obtained?

26 A. I think that would be a more useful analysis to do. So  
27 where you have spermatozoa, what is the percentage of  
28 samples that then give you a profile from that, from those.

29

30 Q. And just focusing on that, still on the high vaginal  
31 swabs. Is that number - would you not expect to get a  
32 profile in 100 per cent of cases on a high vaginal swab if  
33 you include the DNA profile of the person from whom the  
34 sample was taken?

35 A. You would potentially get a higher percentage but, as I  
36 said before, it's hard to determine what sits behind some  
37 of these samples and what samples (indistinct) a profile  
38 and what means. So it is a little bit, was a little bit  
39 hard to tell.

40

41 Q. All right. Can we turn to p14 now and appendix 3h,  
42 which is the broken down by years data. Just zooming in on  
43 that whole table if that's possible. We can see there in  
44 the blue numbers these numbers for 2018 to 2022. Did you  
45 notice anything about any trend or matter of interest in  
46 how these numbers have fluctuated over the years?

47 A. They're all - I mean obviously there's a range. The

1 blood, for instance, ranges from 79 to about 85 per cent  
2 that give a profile. It's a little bit hard to infer too  
3 much from that though, it's only a very small percentage  
4 range difference that it's fluctuating. There wasn't that  
5 much that could be observed from it in terms of the trend  
6 lines. There were round about relatively consistent over  
7 (indistinct).  
8

9 Q. And if being relatively consistent but the other  
10 numbers of P1, P2, P3 increasing over time, can you draw  
11 any conclusions from that?

12 A. It's probably the number of samples that go in the P2,  
13 P3 include trace samples as well as the biological material  
14 samples listed here and so I'd be inferring, and it would  
15 just be a complete inference, not based on any empirical  
16 data, to say that they're potentially getting more results  
17 from samples where they hadn't got them before. Maybe  
18 there's an increased sensitivity that they're getting more  
19 results from trace samples or something like that. It  
20 would be a complete inference because I don't have a break  
21 down of the samples that aren't biological material  
22 typically.  
23

24 Q. And so that might be one explanation that the  
25 laboratory had an increased sensitivity to improve their  
26 ability to get a profile from a trace DNA sample?

27 A. Possibly, but there could be other reasons as well.  
28 Maybe they're targeting samples a little bit better outside  
29 of these particular ones, so maybe they're targeting a  
30 particular type of or doing less (indistinct) samples that  
31 wouldn't perhaps give a profile before. They could be  
32 changing their thresholds. There's probably many reasons  
33 why you would get these differences but you'd have to  
34 break down all of those thresholds at all of those points  
35 and compare it to each of the individual sample types in  
36 order to actually ascertain or be more concrete.  
37

38 Q. I understand. Can we move on then to the no DNA  
39 threshold that was in place. Can we turn to p7 of the  
40 report please and appendix 3a. So here we have on the far  
41 left, so the second column after the years, the number of  
42 exhibits or samples that were reported or first categorised  
43 as no DNA?

44 A. Yes.  
45

46 Q. And in the second, those that were nonetheless  
47 processed, whether that be because of a QPS request or

1 because of a scientist request or for some other reason  
2 we're not aware of, but were nonetheless processed?

3 A. That's correct.

4

5 Q. Then in the fourth column along is the count of both  
6 samples, including sub-samples, that resulted in a profile?

7 A. Yes, that's correct.

8

9 Q. So looking at that third column then, the per cent of  
10 total samples processed, this is the per cent of samples  
11 that were first categorised as no DNA that were nonetheless  
12 processed?

13 A. I understand that's the case.

14

15 Q. And so that number fluctuates between 5 per cent in  
16 2022, up to 18 per cent in 2018 and then 2020. So the flip  
17 side of that is that 80 per cent of samples categorised as  
18 no DNA were not further processed in 2018 and 95 per cent  
19 in 2022?

20 A. That would be correct.

21

22 Q. And then looking at the per cent that gave a profile,  
23 this is a percentage of those that were processed and those  
24 numbered --

25 A. Yes.

26

27 Q. -- between 55 and 97?

28 A. A percentage, yes.

29

30 Q. Yes. So when things were processed there was quite a  
31 high percentage chance of getting a profile according to  
32 these figures, is that right ?

33 A. Yes. So they appear to be targeting samples that  
34 whilst they've got a quantitation value that indicates  
35 there's no DNA there, they're getting better at targeting  
36 those that are more likely to still give a profile, but  
37 it's a smaller number.

38

39 Q. Now can I just - can we turn over on to appendix 3b and  
40 can we just interrogate that 97 percent number, which is  
41 for 2022. So in appendix 3b can we have the last part of  
42 the table expanded please. So this is the totals. Above  
43 that it's separated by blood, semen, saliva and high  
44 vaginal swab. But this is the totals per year split into  
45 halves. Do you see that?

46 A. Yes.

47

1 Q. And there's four columns of numbers there. Thank you,  
2 the Operator has sorted that out for me. Can we just look  
3 at 2022 half 1. Do you see that there?

4 A. Yes.

5

6 Q. 1279 were originally categorised as no DNA, then 83  
7 were further processed and then 103 got a profile. So  
8 ordinarily you wouldn't expect that second number to be  
9 higher than the first number and that shows the issue with  
10 the sub-samples, is that right?

11 A. That's correct. So that's showing that they're  
12 processing but they may (indistinct) a sample or  
13 (indistinct) a sample, so you'll end up, potentially end up  
14 with more, a higher count in this column.

15

16 Q. And so that 97 per cent number then must be an over  
17 estimate because clearly there's more sub-samples than  
18 samples in that particular time period?

19 A. Yeah, I would assume it's an over estimate of the true  
20 value and this exemplifies the issue with the data in the  
21 sense of I'm taking an assumption over what the titles  
22 mean, but what actually sits behind it, because I don't  
23 have the full data and it's thousands of samples and that  
24 would be a very difficult task to do, but without going  
25 through each individual result and each individual sample  
26 and tracking the path, it is very difficult to infer  
27 anything too specific from this data.

28

29 Q. All right. Now that one's an obvious example but you  
30 can't tell from some of the others - that's the only one,  
31 for example, that shows that really stark difference?

32 A. Yes, that's correct.

33

34 Q. Can we turn over to DIFP and can we turn to  
35 appendix 3c, p9. This is the same table we looked at 2  
36 before. So the percentages in the third column are the  
37 percentages of DIFP samples that were nonetheless processed  
38 for whatever reason and the fifth column is the percentage  
39 of those that returned a profile?

40 A. Correct.

41

42 Q. And so same as we discussed before, that if the numbers  
43 in the third column range between 10 and 16 per cent, so  
44 that means that 80 to 90 per cent of samples in every year  
45 for the last five years that were categorised as DIFP were  
46 not further processed?

47 A. That appears to be what the data is saying.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25  
26  
27  
28  
29  
30  
31  
32  
33  
34  
35  
36  
37  
38  
39  
40  
41  
42  
43  
44  
45  
46  
47

Q. And the fifth column shows that when things were processed, that there was above 50 per cent chance of success?

A. Correct.

Q. Can we turn then to appendix 3e and this is a - p11. This is a combination or a position of the effect of the no DNA and the DIFP thresholds. So the first part of that table sets out that data we've already looked at, that is what percentage of samples that were categorised as DIFP or no DNA were not further processed?

A. Correct. It indicates the percentage of samples that didn't move on.

Q. That's right. And in the far right-hand column --

A. Sorry, I'll correct that. The first columns are those that were classified as no DNA detected or classified as insufficient DNA for further processing.

Q. That's right, and didn't move on. Whereas the addition in this table is the first column, which is total samples received by the laboratory in each year, which is over 20,000 in each case?

A. Correct.

Q. And the last column, which shows the percentage of that total samples received that were classified as no DNA or DIFP and did not progress?

A. Correct.

Q. And so what that last column shows is that between 18.8 per cent and 26.9 per cent of total samples received were not progressed because of those two thresholds?

A. That's correct, according to the data provided.

Q. And looking at the total samples received being between 20 and 25,000 samples, those numbers, which is about 20 per cent, equates to over 4000 samples in every year were not processed (indistinct words)?

A. Yes, that's what the data indicates.

Q. All right. And so that data provided by Queensland Health shows the effect of those two thresholds over this period of time in terms of what was not tested?

A. Correct.

1 Q. Thank you. Can I finally deal with instances of  
2 contamination by first responders and police. Can I go to  
3 p3 of your report and to paragraph 12?

4 A. Yes.

5

6 Q. And that sets out the percentages - so you understand  
7 that (indistinct words) from the police have their DNA  
8 profile available for the lab to eliminate them if they  
9 happened to contaminate a sample?

10 A. Yes.

11

12 Q. And that contamination might happen inadvertently when  
13 one is trying to take a sample and some DNA, skin cell or  
14 something, biological material, comes off the police  
15 officer into the sample somehow?

16 A. Yes.

17

18 Q. And the percentages found, according to the data  
19 provided, was between .09 per cent and .21 per cent of  
20 total samples received by the laboratory?

21 A. That was detected, correct.

22

23 Q. And you're content that that's within an acceptable  
24 range for the collection of biological material?

25 A. Yes. You would expect some contamination events to  
26 occur when you have humans involved, but that is what  
27 happens, we aim for zero, but having a human element does  
28 mean that these incidences will occur and so based on the  
29 literature that I've been able to find in terms of what  
30 contamination rates have been found elsewhere, this is, you  
31 know, at a lower level than that.

32

33 Yes, thank you. Thank you, Commissioner, those are my  
34 questions.

35

36 <EXAMINATION BY MR HUNTER:

[2.53 PM]

37

38 Q. Professor, I act for the Queensland Police Service.  
39 Can I start by asking you about an answer you gave to  
40 Mr Jones earlier today when he was asking you about the use  
41 of isopropanol, as opposed to ethanol?

42 A. Yes.

43

44 Q. And my note of the answer you gave was that isopropanol  
45 has been shown to perform better than ethanol?

46 A. Yes.

47

1 Q. When you gave that evidence were you referring to a  
2 particular study?

3 A. Yes. In addition, experience.  
4

5 Q. So which particular study were you referring to? Was  
6 that Lacerenza?

7 A. I believe it's Bonsu.  
8

9 Q. Bonsu?

10 A. Yes. I'll just check that. I could be - Lacerenza is  
11 one of them actually.  
12

13 Q. Yes. Lacerenza was concerned with 100 per cent ethanol  
14 though, wasn't it?

15 A. That's correct.  
16

17 Q. Not a mixture of ethanol and water?

18 A. That's correct.  
19

20 Q. In Bonsu, though, the authors concluded, didn't they,  
21 that, or they referred to a number of other studies but  
22 then said that:  
23

24 *The results of those studies demonstrated*  
25 *that whilst swab types and buffers effect*  
26 *the DNA collection process, there was no*  
27 *individual best swab brand or moistening*  
28 *agent.*  
29

30 A. There isn't, no, that's correct, it's the appropriate  
31 one for that period. The other article I was referring to  
32 is (indistinct), which showed some improvement in some  
33 instances, and again it depends on what swab that you're  
34 using.  
35

36 Q. Again, (indistinct), the conclusion was that there is  
37 no single best moistening agent for DNA collection, i.e.  
38 certain agents combine better with certain cotton swabs?

39 A. That's right, you need to - and that's why you need to  
40 validate.  
41

42 Q. Can I make it quite clear, I'm not suggesting that  
43 there should not been validation, there was no need for  
44 validation to occur in this instance, but there was a study  
45 that compared 70 per cent ethanol and 100 per cent  
46 isopropanol. I'm going to have a crack at pronouncing the  
47 author's name, Phueng Mong Kolchaikija. Do you know the



1 one I'm talking about?

2 A. I do know the one you're talking about.

3

4 Q. And this was a study to look at alcohols as a solution  
5 for delaying microbial degradation on cotton swabs?

6 A. Yes.

7

8 Q. The result of that study was that no fungal growth was  
9 observed on any of the cotton swabs that was moistened with  
10 either of those two moistening agents?

11 A. That would be expected.

12

13 Q. And that full DNA profiles could be generated from all  
14 swabs on days 1, 3 and 5, that is days 1, 3 and 5 from  
15 collection?

16 A. Yes.

17

18 Q. But on the other hand, fungal growth was detected on  
19 two out of three swabs moistened with sterile deionised  
20 water after five days?

21 A. Yes.

22

23 Q. And then the study went on to say that:

24

25 *Although effects from the types of*  
26 *biological evidence, higher storage*  
27 *temperatures and types of services still*  
28 *need to be further investigated, the*  
29 *results suggested that in combination with*  
30 *using 70 per cent ethanol or 100 per cent*  
31 *isopropanol of swab moistening agent*  
32 *plastic bags should be used as containers*  
33 *when better ventilated packaging such as*  
34 *cardboard boxes isn't available.*

35

36 That, I'm suggesting, is at p2 of that study?

37 A. Yes.

38

39 Q. And there was a study that found that 70 per cent  
40 ethanol outperformed water when it came to - Jansen?

41 A. Yes.

42

43 Q. Jansen involved a comparison between swabs and  
44 absorbent paper and 70 per cent either nuclease free water  
45 or 70 per cent ethanol?

46 A. Yes.

47

1 Q. And the conclusion was that using pieces of absorbing  
2 paper moistened with ethanol can improve the efficiency of  
3 stain collection from items with small amounts of DNA  
4 compared to the standard method with cotton swabs and  
5 water?

6 A. Yes.

7

8 Q. And that more DNA was recovered when collecting  
9 epithelial cells and touch DNA using pieces of absorbing  
10 paper moistened with 70 per cent ethanol instead of cotton  
11 swabs moistened with water?

12 A. Yes.

13

14 Q. I guess my point is that 70 per cent ethanol seems to  
15 be at least in some corners thought to be a method of  
16 moistening swabs or other collection media that merited  
17 investigating, which would suggest that some people must be  
18 using it?

19 A. Ethanol could be a useful wetting agent in some  
20 circumstances for some laboratories depending on their  
21 environmental conditions and other processes.

22

23 Q. Thank you?

24 A. It's not an invalid method but the testing to confirm  
25 whether it's the best method in that particular environment  
26 in that particular methodology.

27

28 Q. So chemically when it comes to gathering biological  
29 material of any relevant type, I'm talking about DNA, what  
30 chemically is the important difference between isopropanol  
31 on the one hand and ethanol on the other?

32 A. I'll just preface my answer with I'm not a chemist so I  
33 can only give you my understanding. My understanding is  
34 structurally they're different compounds. Ethanol is a  
35 polar molecule whereas isopropanol is a non-polar - it has  
36 a preference for non-polar compounds should I say. So  
37 ethanol is a good solvent for polar compounds whereas  
38 isopropanol is solvent for non-polar compounds. Ethanol  
39 has a different structure, it has a linear structure,  
40 whereas isopropanol has a branch structure to it. And  
41 whilst they're both alcohol they're going to perform in a  
42 slightly different way. They're both alcohol so they'll  
43 both remove the water out of the sample, so that's why it's  
44 better for samples that have - in situations where mould  
45 might perform. They'll both remove the biological material  
46 and the inhibitors with them. So that's - I think when I  
47 assess whether they're a good solvent or a polar versus

1 non-polar compound will have an implication in (indistinct  
2 words) for forensic testing and downstream DNA. Because if  
3 it's a polar compound it is a good solvent for DNA, because  
4 DNA is polar. So will the number of inhibitors as well.  
5 The point I guess I'm trying to make is they are  
6 chemically - chemically they have differences, they will  
7 perform differently so you need to test what the impact of  
8 that difference is.

9

10 THE COMMISSIONER: Professor, you used the expression  
11 polar, what did you mean by that in this context?

12 A. It's the structure and relates to the structure of the  
13 compound and the negative charge versus positive charge on  
14 the compound. So it's - water is polar, DNA is polar, and  
15 it's the way that it's structured and where the negative  
16 and positive charges sat.

17

18 Q. Thank you.

19

20 MR HUNTER: Are you sure that isopropanol alcohol is  
21 non-polar?

22 A. It's a good solvent for non-polar compound.

23

24 Q. Are you sure that it's a non-polar solvent?

25

26 THE COMMISSIONER: You're asking whether the compound  
27 itself is polar?

28

29 MR HUNTER: Isopropanol alcohol, can I suggest to you that  
30 isopropanol alcohol is, like ethanol, a polar solvent?

31 A. It is a good solvent for non-polar compounds.

32

33 Q. Well, my question to you though is whether you accept  
34 that isopropanol alcohol is a polar solvent?

35 A. It can do that but it's whether it's preferential or  
36 not.

37

38 Q. But as a compound its chemistry is polar I'm suggesting  
39 to you?

40 A. I'm just trying to work out what you're actually  
41 saying.

42

43 Q. What I'm suggesting to you is that you told us that the  
44 chemical structure of isopropanol alcohol?

45 A. Yeah.

46

47 Q. Is different from ethanol because one is polar and the

1 other is non-polar?

2 A. No, what I'm actually saying is that how good a solvent  
3 - I mean isopropanol is a polar molecule itself. It's a  
4 good solvent for non-polar compounds, the solvent component  
5 of it. But I'm differentiating not the structure of the  
6 property of isopropanol itself.

7

8 Q. So both ethanol and isopropanol are polar solvents,  
9 yes?

10 A. They're both polar compounds.

11

12 Q. They're both solvents?

13 A. But it's whether it's the preference for what it is  
14 good to - as a good solvent or not.

15

16 Q. Sure. But do you accept that they are both polar  
17 solvents?

18 A. Yes.

19

20 Q. Can I ask you, please, about the validation process  
21 that you mentioned in answer to Mr Jones's questions. You  
22 spoke about a project that was currently under way. Has  
23 there been a validation process in South Australia for the  
24 swabs and moistening agent used by the South Australian  
25 Police?

26 A. That's currently occurring.

27

28 Q. So the answer to my question is no?

29 A. I honestly cannot tell you in the past. I'm not aware  
30 before my time and I haven't looked into whether we have a  
31 validation report or whether that's occurred in the past so  
32 I can't answer the question.

33

34 Q. Have you been in your current position since the  
35 commencement of this current validation process?

36 A. Yes.

37

38 Q. And have you had oversight of it?

39 A. Not detailed oversight but I'm aware it's occurring.

40

41 Q. Is there a particular reason why this validation  
42 process is being currently undertaken, for example has  
43 there been a material change in swab or solvent?

44 A. The swab that South Australia police are currently  
45 using is no longer available from the manufacturer and they  
46 have a limited supply left, so we are working with South  
47 Australia to identify an appropriate replacement.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25  
26  
27  
28  
29  
30  
31  
32  
33  
34  
35  
36  
37  
38  
39  
40  
41  
42  
43  
44  
45  
46  
47

Q. You don't know whether what they had been using up until this shortage emerged had ever been validated?

A. I don't know, I can't tell you that.

Q. What about the moistening agent, has that been validated to your knowledge?

A. I don't know, I haven't been asked that question before.

Q. Coming back then to the solvent properties, whether it's isopropanol or ethanol. You were shown a photograph of a stain on some timber?

A. Yes.

Q. And you said that your evidence was that ethanol will pick up the inhibitors?

A. Yes.

Q. Isopropanol would also pick up the inhibitors, wouldn't it?

A. They would.

Q. If another method was used, that is maybe someone scraped the stain off the timber, there's a fair chance that that might pick up some of the inhibitors in the timber as well, do you agree?

A. It might, yes.

Q. Isopropanol over ethanol is not going to solve that problem, is it?

A. All I can tell you is in our hands, in our experience the isopropanol works better than ethanol. It's not a published study but certainly in our experience that's what we've found.

Q. You accept though that there's no substitute for a properly conducted study if you're going to express an opinion on a matter like that?

A. Absolutely, and we certainly have internal studies that we've done here that I accept are not published where we've demonstrated that and that was my comment before about in my experience as well as the published literature.

Q. Was there a point in time at which ethanol was used as a moistening agent in South Australia?

A. I'm not aware of that.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25  
26  
27  
28  
29  
30  
31  
32  
33  
34  
35  
36  
37  
38  
39  
40  
41  
42  
43  
44  
45  
46  
47

Q. And when the studies were done internally in your laboratory did they involve 100 per cent ethanol or 70 per cent ethanol?

A. 70 per cent ethanol.

Q. What was the reason that your lab was comparing that particular combination, 70 per cent ethanol, and I assume water was the other 30 per cent, what reason --

A. Yes.

Q. What was the reason that prompted you to compare those two moistening agents?

A. I can't talk for who designed the study at the time and designed it, it was before my time, but I would assume it was because that was an option that was put in the literature as having essentially merit and it should be tried. It was quite a broad-based study that was conducted using various different types of wetting agents and 70 per cent ethanol was one of those.

Q. I suppose my point is that it's not as though the idea of 70 per cent ethanol and 30 per cent water is something that was just plucked from the ether by the Queensland lab for example?

A. No, absolutely not. But certainly studies that have discussed it before and 70 per cent ethanol is used in the extraction process, so there's some logic to - in some extraction processes, there's some logic to it, but it's a matter of testing and verifying that that is the best solution as a wetting agent in your environment in your (indistinct words) hands.

Q. Can ask about other methods, other analytical methods that are employed by the South Australian Police, and in particular I'm talking about the presumptive reagents?

A. Yes.

Q. For example tetramethylbenzidine, or TMB. Do you know whether the South Australian police have validated either the product itself or their procedure in respect of TMB?

A. I'm not aware.

Q. TMB has been used as a presumptive test for blood for decades, correct?

A. That's correct.

- 1 Q. The method by which it's used is - it's a pretty basic  
2 thing to use, do you agree, it's not a complicated reagent  
3 to deploy?  
4 A. No, that's correct. It's very well-validated and it's  
5 very well accepted within the community.  
6  
7 Q. And the same could be said for Combur test strips?  
8 A. Absolutely.  
9  
10 Q. Leucocrystal Violet?  
11 A. Correct.  
12  
13 Q. Luminol?  
14 A. Correct.  
15  
16 Q. Harris hematoxylin stain?  
17 A. Correct.  
18  
19 Q. The ABA card for testing for seminal fluid?  
20 A. Yes.  
21  
22 Q. Acid phosphatase test for seminal fluid?  
23 A. Very well accepted.  
24  
25 Q. And the ABA card Hematrace for blood again?  
26 A. Yes.  
27  
28 Q. Do you know whether the South Australian Police service  
29 has validated its collection methods, I've already asked  
30 you about swabs and wetting agents, do you know whether  
31 they've validated and verified their tape lift method?  
32 A. I have no oversight over any of the methodologies or  
33 any of the implementation of South Australia police  
34 methodologies. I simply haven't looked into that because I  
35 haven't been here long enough.  
36  
37 Q. You accept, don't you, that the police themselves could  
38 not validate their own collection processes, could they?  
39 A. Some they could, absolutely.  
40  
41 Q. For DNA they couldn't, could they?  
42 A. Not a swabbing technique that would have - be collected  
43 for downstream DNA testing, that would need to be done in  
44 partnership with the --  
45  
46 Q. And so would a tape lift method?  
47 A. The tape lift method. But something like the TMB

1 method wouldn't.

2

3 Q. No, no, I'm not talking about the presumptive test now,  
4 I'm talking about validating collection methods for DNA  
5 testing?

6 A. Right.

7

8 Q. They couldn't validate swabs and wetting agents by  
9 themselves because they'd need the analysis provided by the  
10 lab?

11 A. That's correct.

12

13 Q. They couldn't validate the tape lift method for the  
14 same reason?

15 A. That's correct.

16

17 Q. Similarly in respect of a vacuuming method of  
18 collecting biological material, they'd need the lab's  
19 analysis, yes?

20 A. They would need the lab's assistance.

21

22 Q. And same for swabs from fingernail scrapings?

23 A. Yes, it would need to be a collaborative approach.

24

25 Q. Sure. Are you aware of your laboratory's involvement  
26 in the validation of any of those methods used by the South  
27 Australian Police?

28 A. I just know that we're assisting with the current swab  
29 validation studies at the moment and I can't comment on  
30 anything before that I'm afraid.

31

32 Q. Okay. But do you accept that if those methods were  
33 validated then there must have been some engagement between  
34 the South Australian Police and your laboratory?

35 A. I would assume so.

36

37 Q. You were asked some questions about the success rate,  
38 and accepting of course that there are issues with the way  
39 the data's been collected and displayed, can I ask you  
40 though back in 2009 and 2010 when the Queensland Police  
41 changed from distilled water to 70 per cent ethanol, it  
42 would be possible to have a look at the results that were  
43 before and after the point in time when that change was  
44 made, do you agree?

45 A. You can have a look at them.

46

47 Q. And accepting that the DIFP regime was not in place at



1 that point, it might be possible to see whether or not  
2 there was a discernible change in the success rate after  
3 the change to ethanol was made?

4 A. You could certainly have a look at that. That's not a  
5 verification study though.

6  
7 Q. No, I'm not suggesting it is. Just in terms of  
8 allaying any concerns that this change to ethanol might  
9 have resulted in a significant problem in terms of the  
10 gathering of evidence.

11  
12 THE COMMISSIONER: Mr Hunter, are you developing the  
13 proposition that what could be done - what could have been  
14 done or what could be done now to ensure that - to  
15 understand the scope of the issue that's arisen for  
16 consideration, which we haven't delved into, is that right?

17  
18 MR HUNTER: To either allay concerns or raise them.

19  
20 THE COMMISSIONER: Yes, I understand, thank you.

21  
22 MR HUNTER: My question is accepting the limitations of the  
23 data, there would at least be an ability to see whether  
24 there was a discernible change before and after the  
25 decision?

26 A. Yeah, you could certainly have a look at the data and  
27 just ensure that there's no other changes and no other  
28 variables within the two sets of data that you're  
29 comparing. That would be the only caveat I'd put on it and  
30 that would give you some indication about whether there was  
31 any impact on the change, noting that it's data that you  
32 don't know what the ground truth is.

33  
34 Q. Is there any other way that you can think of where  
35 there could be at least some degree of comfort gained, if  
36 indeed that's the outcome, that the use of - the change to  
37 ethanol from water hasn't been a decision of great forensic  
38 significance?

39 A. That's a tough question to ask. I mean start with a  
40 small study on a zero solution of blood samples and do a  
41 small study to begin with just to see if there's anything,  
42 particularly at that lower end. Where you would have - I  
43 would suggest that where you have a lot of samples, such as  
44 a pool of blood where there's a (indistinct) collection  
45 there's probably not too much of an impact there you would  
46 assume. You could reduce down and when you do your  
47 datasets have a look at samples that are at the lower level

1 perhaps. I think the quickest way is probably a small part  
2 of the study to begin with and then moving up from there.

3

4 Q. One of the things about the pilot study, can I ask you  
5 about this, this is based on some evidence we heard from  
6 Dr Bedowle yesterday. The process of actually taking the  
7 swab, that is the mechanical action of taking the swab,  
8 that is where you're collecting it, collecting the  
9 evidence, Dr Bedowle told us that that's something of an  
10 art and what I'm going to ask you then is whether there can  
11 be variations in the amount of DNA that is sampled  
12 depending upon the technique employed by the sampler?

13 A. You would anticipate some variation between  
14 individuals, potentially how many times do you rub, how  
15 much of the swab you put a sample on. I think you need to  
16 when you're doing the study or when you're training your  
17 scientists that you train them the same way so that they've  
18 all got good technique. I think it comes down to a  
19 training issue, and with the appropriate training can  
20 minimise some of that variation.

21

22 Q. But my point is that for the purposes of a study you  
23 would want to ensure that there was no variation or as  
24 little as possible, correct?

25 A. As little as possible, yeah. So you might have the  
26 same person do the swabbing or limit it to a small number  
27 of people, for instance, so you can minimise it that way.  
28 I think if you design the study right you can minimise some  
29 of those components. You might not get rid of it entirely  
30 but I appreciate your point.

31

32 Q. Can I ask you about a document that was shown to you by  
33 Mr Jones. This was a document under the hand of Liza Jane  
34 McMens. This was the cautionary note that was provided to  
35 the Queensland Police Service?

36 A. Yeah.

37

38 Q. About the use of ethanol and particular concern was  
39 raised with respect to porous or semi-porous surfaces?

40 A. Yes.

41

42 Q. Do you recall that document and being asked about it by  
43 Mr Jones?

44 A. Yes.

45

46 Q. You though have reviewed the techniques that are  
47 prescribed for scientific and scenes of crime officers with

1 the Queensland Police?

2 A. I have.

3

4 Q. And it's the case, isn't it, that I think it's CSE101  
5 specifically says that swabs are to be used on nonporous  
6 surfaces but that other collection methods depending upon  
7 the surface are to be used for porous or semi-porous  
8 surfaces?

9 A. Yes. Does it have semi-porous surfaces? I think --

10

11 Q. No, you might be right. I may have misquoted that.  
12 Just bear with me. I think the only distinction was made  
13 between porous and nonporous?

14 A. That's correct, there was a table within that SOP that  
15 had a technique for porous and then nonporous.

16

17 Q. The alternative techniques include using a tape lift?

18 A. Yes.

19

20 Q. Or actually excising the area that contains the stain?

21 A. That's correct.

22

23 Q. The requirement or the suggestion to use 70 per cent  
24 ethanol was said to be for nonporous surfaces, correct?

25 A. That's correct.

26

27 Q. That was repeated several times during - throughout  
28 CSE101?

29 A. That's correct.

30

31 Q. So if that's been the prescription in the procedures  
32 since 2011, that's what I'm suggesting to you is the case,  
33 but if that's been the case then that would largely address  
34 the concern raised by Ms McMens when she cautioned the use  
35 of ethanol on porous and semi-porous surfaces?

36 A. I guess yes, however it comes down to definitions and  
37 that in between grey area I think that would I guess red  
38 flag or maybe cause some concern, such as concrete. I was  
39 shown before a photo of concrete where the blood had  
40 clearly seeped in, concrete, so is that porous or  
41 nonporous?

42

43 Q. That's an actual grey area as well as metaphorical.  
44 There are other problems with concrete as well when it  
45 comes to recovering, using DNA, correct?

46 A. That's right.

47

1 Q. Calcium ions in the concrete can inhibit or damage the  
2 DNA?

3 A. There are various inhibitors that can cause problems in  
4 downstream processes, absolutely. So I think, you know,  
5 whilst there is a protocol that quite clearly states  
6 between porous and nonporous, I think there is still a lot  
7 of crime scene examiner judgment and expertise around the  
8 best sampling technique that needs to be applied. And  
9 because you get those grey areas like plasterboard and  
10 those sorts of things where you might not have a clear-cut  
11 (indistinct) for it, so it will depend on how you use it,  
12 process it (indistinct) as well.

13

14 Q. Dr Bedowle also said that he didn't support the idea  
15 that scenes of crime and scientific officers should have an  
16 armoury, if you like, of different swabs and different  
17 wetting agents, that there should be a selection of - and  
18 this is my word, not his, an all rounder that gives you the  
19 best across all of the different substrates?

20 A. Okay.

21

22 Q. What's your position or take on that?

23 A. I think that swabbing will be good for some surfaces,  
24 some substrates. Tape lifting can also be good. I think  
25 there should be a generally accepted or it's useful, should  
26 I say, to have a general (indistinct) for this is the swab  
27 and this is the wetting agent that we use. But I do think  
28 that excising collections, tape lifting, swabbing, are all  
29 good choices, can be a good choice depending on the  
30 substrate and the biological material you're dealing with.  
31 You wouldn't want to have one lock in (indistinct) into one  
32 version of those.

33

34 Q. I'm not suggesting that. What I'm talking about is  
35 whether the crime scene examiners should only have a single  
36 type of swab and a single wetting agent as opposed to a  
37 choice?

38 A. I could support that.

39

40 Q. Do you accept that when one's looking for a swab then  
41 what one's looking for is an all rounder that gives you the  
42 best result across the spectrum or substrates and  
43 (indistinct words)?

44 A. That's usually the way these protocols are implemented.

45

46 Q. There's an enormous variety of swabs available, isn't  
47 there?

1 A. That's correct.

2

3 Q. And also quite a substantial variety of moistening  
4 agents as well?

5 A. There are some main ones but there are lots of options.

6

7 Q. My question to you though is where does one start?  
8 Let's say you are looking to validate a particular swab for  
9 use by crime scene examiners and a laboratory such as the  
10 one we have in Queensland. Where do you start?

11 A. You start with the main types of swabs. You could do  
12 some research to look at what literature says about in a  
13 similar environment with similar downstream DNA  
14 methodology, what works. So that can be useful. And then  
15 start there and test those. A pilot study is a useful way  
16 to do it. So you start off with a larger number of swabs  
17 and wetting agents, see what works the best and do a small  
18 study on those, and then tick maybe just the top  
19 (indistinct words) performers or whatever stands out, and  
20 then do a more detailed in-depth study of those. That  
21 would be quite useful.

22

23 Q. In terms of the sort of resources that would be  
24 involved in doing this, I'm particularly thinking about  
25 from the laboratory end, you'd expect that at least in the  
26 short to medium term there'll be quite a bit of work being  
27 done at the laboratory in terms of how its procedures might  
28 be done differently. What are the resource implication for  
29 the lab of participating collaboratively with the police in  
30 a validation role of swab and wetting agent?

31 A. Yes, it will take resources. There will be at least a  
32 portion of a scientist's time that needs to be allocated to  
33 the study and that might be - it depends on how the swabs  
34 come in or whatever you're testing, how that comes in and  
35 any pre work that has to be done and then they'll go into  
36 the DNA analysis (indistinct words) depends on how big the  
37 study is and what you're testing. I think there are some  
38 benefits for the laboratory, however, in improving the end  
39 to end DNA analysis process. What those are will depend on  
40 the size of the study.

41

42 Q. The size of the study is going to govern the  
43 reliability of the results surely. You can't have too few  
44 samples, otherwise you're not going to get reliable data,  
45 do you agree?

46 A. I agree.

47

1 Q. So I'm just trying to get some sort of sense from you  
2 about how long you would expect a process such as this to  
3 take, at least from the laboratory's point of view?

4 A. It might take from an end to end process, it might take  
5 two or three months. I guess it's not a full-time person  
6 though within that time, but that would just be an  
7 estimate.

8

9 Q. Lastly, can I move to a subject that hasn't been raised  
10 with you, at least not this time. I want to ask you about  
11 the subject of turnaround times?

12 A. Yes.

13

14 Q. And I wonder whether you would agree with this  
15 proposition, that turnaround times are particularly  
16 important when it comes to what I'll call bulk crime or in  
17 Queensland where that's P3?

18 A. Volume crimes.

19

20 Q. Volume crime, yes?

21 A. Yes.

22

23 Q. And that's because the longer it takes to identify an  
24 offender, the more offences they're likely to be  
25 committing, correct?

26 A. That's correct. Generally speaking in my experience  
27 dealing with police if they can't get a result from a  
28 volume crime in a very short space of time, then it's  
29 unlikely that that case will be investigated at a later  
30 date because that offender may have been identified at 100  
31 more (indistinct words) in the meantime.

32

33 Q. Now you've held a role on the National Institute of  
34 Forensic Science Australia, correct?

35 A. Correct.

36

37 Q. Do you currently hold a role?

38 A. I sit on the ANZFEC, Australian and New Zealand  
39 Forensic Executive Committee. It's a small position by  
40 virtue of the fact that Forensic Science South Australia  
41 providing some funding to them.

42

43 Q. Were you on NIFFS, involved with NIFFS in 2012?

44 A. I was, yes.

45

46 Q. And are you aware of a paper that was published by  
47 NIFFS called the End to End Forensic Identification Process

1 Project, Volume Crime?

2 A. I am, yes.

3

4 Q. And can I suggest to you that in the introduction to  
5 that report it was observed by the authors about volume  
6 crime. It was said:

7

8 *It is clear that expediency in the*  
9 *investigation of these crimes and action*  
10 *against these criminals is the key to*  
11 *having a significant impact on the crime*  
12 *rate.*

13

14 A. That's correct.

15

16

17 Q.  
18 *Delays in identification and investigation*  
19 *means offenders are likely to be committing*  
20 *further offences during that time.*

20

21 A. I agree.

22

23 Q. And so is it correct to say that, at least from the  
24 police perspective, if there's pressure when it comes to  
25 turnaround times, it's likely to be in the area of volume  
26 crime?

27 A. It would depend, I would suggest. In terms of  
28 turnaround times volume crime can be really important for  
29 the community, however if there is an unknown sexual  
30 predator out on the street that's committing sexual  
31 offences (indistinct words) context, that there could be an  
32 even higher priority for those. Similarly, if there was an  
33 unidentified murderer where there is no suspect (indistinct  
34 words) a higher priority.

35

36 Q. Of course, obviously those sorts of cases will be of  
37 prime importance, but I really meant in a general sense,  
38 that the focus on turnaround times is likely to be on  
39 volume crime because that's how you reduce volume crime, by  
40 identifying and stopping offenders, correct?

41

42 A. That's correct.

43

44 Might I just have a moment?

45

46 THE COMMISSIONER: Yes, of course Mr Hunter.

47

48 MR HUNTER: Those are the questions, thank you.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25  
26  
27  
28  
29  
30  
31  
32  
33  
34  
35  
36  
37  
38  
39  
40  
41  
42  
43  
44  
45  
46  
47

THE COMMISSIONER: Thank you. Mr Rice.

<EXAMINATION BY MR RICE: [3.35 PM]

Q. Professor, just a few questions about what I'll call the success rate analysis. If we take your report, the various appendices and associated spreadsheets containing primary data collectively, this presents as being an elaborate and time consuming exercise, but has it been?

A. How long - are you asking me how long did it take me to do this analysis?

Q. No, not really. I'm asking you whether taking your report and the appendices to the report, in association with the primary data provided by the laboratory, if you take those things collectively, that this has been an elaborate and time consuming exercise?

A. I don't know how --

THE COMMISSIONER: I'm not sure what you're asking, Mr Rice. Are you asking about the exercise in preparing the report or the exercise that the Professor recommends me undertaking?

MR RICE: The effort that's been put into the compilation of this, of the final report.

THE COMMISSIONER: You mean the effort put in to compiling the data in Queensland Health?

MR RICE: And converting it or transforming it into the appendices and the report.

THE COMMISSIONER: Yes.

MR RICE: I'm simply asking about the degree of effort that has gone into --

THE COMMISSIONER: Yes, all right. You go ahead, Mr Rice.

MR RICE: Professor, I'm really asking you about the degree of effort, as you apprehend it to be, in arriving at your report with its associated appendices.

THE COMMISSIONER: I think Mr Rice is referring to your assessment of the effort, time and effort that was involved



1 by everybody who had to do anything, including yourself, in  
2 order to arrive at the report that you've written, is that  
3 right, Mr Rice?

4  
5 MR RICE: Yes, Commissioner.

6 A. I'm not sure I can comment on how many time it's taken  
7 Queensland Health to produce the data, I simply don't know.  
8 I can comment on how much time it took me to analyse it and  
9 produce a report if that's helpful.

10  
11 Q. All right, give us not an exact figure, but some  
12 estimate or some indication of the time frame?

13 A. Twelve hours.

14  
15 Q. Okay. Can you tell us whether this kind of data  
16 retrieval and analysis is engaged in by other laboratories  
17 as part of their ordinary business?

18 A. It's quite difficult, I understand, to put this data  
19 together, depending on the laboratory information  
20 management system that you have. Not all things are  
21 created equal and some laboratories have manual processes,  
22 so it would be extremely difficult for a laboratory to put  
23 this kind of data together that has a manual process. If  
24 you have a LIMS - or we've only just implemented an ability  
25 to extract success rate data within this laboratory, others  
26 would have varying amounts. I know Queensland Health has  
27 the Forensic Register which is probably one of the better  
28 LIMS perhaps for collecting this sort of data, but again  
29 depending on what question is asked, whether there's  
30 already an algorithm or a macro to collect that data, I  
31 don't know, so I don't know whether new systems have to be  
32 written, but it is very complicated and there's no, as I  
33 was saying before, it's not a case of a sample goes in and  
34 a result goes out and you just do a straight count.  
35 Samples can be split, it can be repeated, they can have  
36 major components, minor components, so collecting this data  
37 in a meaningful way is very difficult, I absolutely  
38 appreciate that.

39  
40 Q. My question was whether, you are aware of whether this  
41 kind of exercise is being done by other laboratories, not  
42 in a Commission of Inquiry context, but as part of their  
43 ordinary business, do you know?

44  
45 THE COMMISSIONER: Excuse me, Professor. Are you talking  
46 about the collation of data to look at success rates?

47

1 MR RICE: Yes.

2

3 THE COMMISSIONER: Thank you.

4 A. We are looking at success rates here. I can confirm  
5 that. In other laboratories I don't know, so I can't  
6 confirm that.

7

8 MR RICE: Would the ideal be to develop some kind of  
9 program making use of an information system such as LIMS to  
10 enable the data to be retrieved more easily?

11 A. That would be a very useful thing to do.

12

13 Q. I beg your pardon?

14 A. That would be very usefully, so I agree.

15

16 Q. To develop such a program obviously it would have to be  
17 resourced by some program or in conjunction with input from  
18 the scientists as to how to go about it?

19 A. That's correct.

20

21 Thank you, those are my questions, Commissioner.

22

23 THE COMMISSIONER: Thank you. There's nobody else I take  
24 it?

25

26 THE COMMISSIONER: Yes, Mr Jones.

27

28 <EXAMINATION BY MR JONES:

[3.41 PM]

29

30 Q. Just a few questions, Professor. You're aware that the  
31 change to ethanol was in 2010, that is the QPS ethanol  
32 70 per cent and the swab?

33 A. I believe that's what I've been told through the  
34 materials provided.

35

36 Q. And you're aware that the Queensland Health, the  
37 laboratory changed to the Forensic Register in 2017?

38 A. Okay, yes.

39

40 Q. Thereabouts, yep?

41 A. Yes.

42

43 Q. We're now in 2022. Are you able to express an opinion  
44 as to the ease or otherwise now to do an assessment of the  
45 damage done, if any, of the unvalidated change to the  
46 70 per cent ethanol?

47

1 THE COMMISSIONER: You'd put it a different way, wouldn't  
2 you? It would be to assess whether any damage has have  
3 done and, if so, how much.

4  
5 MR JONES: To assess whether any damages have been done  
6 and, if so, how much?

7 A. You'd need to compare the protocols and the methodology  
8 that was occurring. The issue is: are there any other  
9 variables? And so that's a concern and I guess the  
10 reticence I have is what else has changed during that time  
11 and if you see - and therefore if you see a difference, is  
12 that because of what else was changed, whether that's a  
13 good difference or a bad difference. So it could mask an  
14 issue or it could - an issue in that change, or it could  
15 exacerbate an issue in that change. Without knowing what  
16 the other variables are it's very hard to ascertain.

17  
18 Q. All right. And, finally, you were asked some questions  
19 about the importance of turnaround time for volume crime.  
20 Whilst turnaround time is important, it should not be the  
21 focus to the detriment of the process or scientific  
22 methods?

23 A. Correct. Getting a good quality reliable result is  
24 really important and if it's a difference between  
25 turnaround times and not getting the result, I think you're  
26 better off getting a result, a good quality result for an  
27 investigation has to be the outcome.

28  
29 Thank you. Thank you, Commissioner.

30  
31 THE COMMISSIONER: Ms Hedge anything on your part?

32  
33 MS HEDGE: No, thank you.

34  
35 THE COMMISSIONER: Thank you, Professor, for your  
36 assistance throughout this Commission and also for your  
37 willingness to work urgently on some things that you've had  
38 to do?

39 A. My pleasure, Commissioner.

40  
41 Q. Thank you, you're free to cut the link as soon as you  
42 wish?

43 A. Thank you very much.

44  
45 <THE WITNESS WITHDREW

46  
47 THE COMMISSIONER: Ms Hedge, what's next?

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25  
26  
27  
28  
29  
30  
31  
32  
33  
34  
35  
36  
37  
38  
39  
40  
41  
42  
43  
44  
45  
46  
47

MS HEDGE: Next we're going to tender some material that hasn't been tendered yet, so a number of sheets of material. So that might come to me, and then I'll open the issue of STRMix review and call Dr Duncan Taylor, and that will be end of what's of today's hearing. So can I hand up this bundle of documents to be tendered. So this is a list --

THE COMMISSIONER: I take it there's no oral evidence to be led further, or is there?

MS HEDGE: There is. Dr Duncan Taylor.

THE COMMISSIONER: He's giving evidence, all right. Go ahead.

MS HEDGE: If I can hand up this document. It attaches a number of lists which have been distributed to the parties with leave to appear.

THE COMMISSIONER: Yes.

MS HEDGE: And there's exhibit numbers written on there which indicate that each list will have an exhibit number and then the things in the list will have the corresponding exhibit numbers.

THE COMMISSIONER: All right. So you've given copies of this to your colleagues, is that right?

MS HEDGE: Yes, electronically.

THE COMMISSIONER: Yes, with the exhibit numbers on them?

MS HEDGE: No, I believe not. Not the ones with the handwritten amendments.

THE COMMISSIONER: Yes. So that the exhibit numbers --

MS HEDGE: We can distribute it again with those numbers later this afternoon.

THE COMMISSIONER: Yes, and then you can upload the list with handwritten numbers or a list with those numbers on it so it becomes part of the record to save me reading it.

1 MS HEDGE: Yes, but they are effectively consecutive  
2 numbers from 236 onwards.

3  
4 THE COMMISSIONER: Yes. You can do that in due course,  
5 thank you.

6  
7 MS HEDGE: Thank you. Can I open then the --

8  
9 THE COMMISSIONER: Go ahead, Ms Hedge.

10  
11 MS HEDGE: Thank you, Commissioner. Commissioner, when  
12 Dr Kogios and Ms Baker finalised their overall review of  
13 the lab they were unable to complete one task that they  
14 considered necessary for their review to be considered  
15 entirely fulsome. That was a consideration of the use of  
16 STRMix within the laboratory, which you'd be aware is the  
17 DNA interpretation software used to interpret DNA profiles  
18 and obtain likelihood ratios.

19  
20 They recommended in recommendation 27 of their report that  
21 the laboratory undertake a review that included a number of  
22 topics, including consistency of how STRMix is used with  
23 the laboratory Standard Operating Procedures and STRMix  
24 recommendations, consideration of how and when loci are  
25 dropped from the STRMix analysis, investigation of the  
26 laboratory's use of STRMix diagnostic data, consideration  
27 of how the number of contributors to a mixed profile is  
28 determined and whether that process is fit for purpose, an  
29 investigation of the appropriate stratification of the  
30 population within STRMix.

31  
32 The Commission engaged Dr Duncan Taylor to perform this  
33 task between Dr Kogios and Ms Baker giving evidence and  
34 today. Dr Taylor, as you know, is the Chief Scientist of  
35 Forensic Statistics at Forensic Science South Australia and  
36 has given evidence earlier in the Commission in relation to  
37 validations conducted by the laboratory.

38  
39 He conducted a review of the Standard Operating Procedures  
40 and manuals used by the laboratory for STRMix and had  
41 access to a number of recent case files that spanned P1,  
42 P2, P3 cases, as well as homicide and sexual assault cases  
43 and cases in which loci had been dropped for the purposes  
44 of STRMix analysis.

45  
46 He concluded that in many areas the use of STRMix by the  
47 laboratory was appropriate. There were, however, areas

1 where the laboratory should clearly set out in their  
2 Standard Operating Procedure guidance to scientists about  
3 certain topics of interpretation, for example, how to drop  
4 a locus or when to drop a locus from STRMix, or how to  
5 treat certain stutter peaks, but that was recommended  
6 rather than seeing errors being made.  
7

8 He also recommends some steps that could be taken to  
9 enhance the use of STRMix because that software does have  
10 some functionality that the lab doesn't currently employ.  
11

12 However, in one area, the determination of the number of  
13 contributors, he identified a risk that the laboratory was  
14 operating below best practice. The number of contributors  
15 is determined by a reporting scientist when analysing a DNA  
16 profile and is their expert opinion or estimation as to how  
17 many persons' DNA is present in a mixed profile.  
18

19 The risk of systemic overestimation in the Queensland  
20 laboratory arose for Dr Taylor in two ways. First, there  
21 were parts of the DNA Interpretation Standard Operating  
22 Procedure which showed a bias towards adding a contributor  
23 on very little extra information in a electropherogram and  
24 then, second, in the case files he reviewed, which was only  
25 13 case files, he saw in that number seven particular  
26 samples where a profile was said to be a three person  
27 mixture when he personally would have identified it as a  
28 two person mixture. Now he does say that that is his  
29 opinion and that people can have different - reporting  
30 scientists can have different opinions, as you know, but in  
31 his view very little was necessary in those ones that he  
32 saw for Queensland Health scientists to add an extra  
33 contributor.  
34

35 THE COMMISSIONER: That is to say, you're speaking about  
36 the terms of the Standard Operating Procedure or the  
37 parameters that were applied to STRMix?  
38

39 MS HEDGE: The terms of the Standard Operating Procedure  
40 but also in particular cases what peaks on the  
41 electropherogram were used to add a contributor.  
42

43 THE COMMISSIONER: What peaks were identified by the  
44 profiling scientists to conclude that there was a third  
45 contributor?  
46

47 MS HEDGE: That's right.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25  
26  
27  
28  
29  
30  
31  
32  
33  
34  
35  
36  
37  
38  
39  
40  
41  
42  
43  
44  
45  
46  
47

THE COMMISSIONER: Which in his view, these peaks were too low and should be dismissed as other, having other significance, but not as evidencing the contribution of DNA by a third contributor?

MS HEDGE: That's right, and during his evidence today Dr Taylor will take us through one electropherogram to show --

THE COMMISSIONER: Why that's so.

MS HEDGE: -- what was enough for a scientist to add a contributor in the Queensland Health laboratory and why in his view that shouldn't have been done in that particular case.

THE COMMISSIONER: All right, thank you.

MS HEDGE: Dr Taylor was not able to review sufficient cases to understand whether that overestimation of contributors is systemic or widespread, because he could only review that certain number of profiles, but his concern about the risk led him to recommending a wider review and that review would cover 12 months of sexual assault cases in which contributors of three or more were identified, and all currently existing sexual assault cases where three or more contributors were identified on any sample to identify whether there's a system problem in this area.

Commissioner, overestimation of contributors can be highly significant in a particular case, particularly in sexual assault cases. If a complainant has given a version of events in which only he or she and the perpetrator or defendant are involved, a finding that there are three people's DNA on an intimate swab, like a high vaginal swab or an anal swab, can be used to forcefully attack his or her credit. It may lead to an investigation or a prosecution not proceeding, or to an acquittal by a jury.

It may also be highly distressing for a complainant to be told that the DNA results have returned a third contributor or a fourth contributor to DNA on an intimate swab if that does not accord with what they have understood to have happened to them.

1 For that reason this particular issue of how STRMix is used  
2 is of particular concern for the administration of justice.  
3 This is the issue, of all the issues that Dr Taylor dealt  
4 with, this is the issue which will be the focus of the oral  
5 evidence that I call. I call Dr Duncan Taylor.

6

7 THE COMMISSIONER: Thank you. Dr Taylor, you can regard  
8 yourself as under your former affirmation.

9

10 <DUNCAN TAYLOR, called: [3.52 pm]

11

12 MS HEDGE: Thank you. You are Dr Duncan Taylor?

13 A. Yes.

14

15 Q. And you are the Chief Scientist Forensic Statistics at  
16 Forensic Science South Australia?

17 A. Yes, that's right.

18

19 Q. I assume you can hear me quite well?

20 A. Yes.

21

22 Q. Let me know if the sound or vision drops out on you.

23 You've been asked to review the use of STRMix by the  
24 Queensland Health laboratory by the Commission?

25 A. Yes, that's right.

26

27 Q. And you've prepared a report which is dated 21 November  
28 2022?

29 A. Yes.

30

31 Q. Can I put that on the screen, it is

32 EXP.0003.0002.0001\_R. That's the report that you prepared?

33 A. Yes, it is.

34

35 Q. Thank you. Can I hand up to you, Commissioner, a list  
36 of documents to be tendered which is the report and the non  
37 case file part of the brief that was provided to Dr Taylor,  
38 but none of the case files will be tendered. There's space  
39 for exhibit numbers there but I'm conscious that I - I'm  
40 not sure where we're up to from that --

41

42 THE COMMISSIONER: Don't worry about that. Why don't we --

43

44 MS HEDGE: We can provide one with numbers on it to the  
45 Commission and the parties.

46

47 THE COMMISSIONER: All right, let's do that. I'll mark



1 Dr Taylor's report Exhibit 254.

2

3

**EXHIBIT #254 DR TAYLOR'S REPORT**

4

5

THE COMMISSIONER: And otherwise the remaining documents will be marked sequentially after that number in accordance with a document you'll prepare and hand to your colleagues and we'll put on the files and upload to the website.

6

7

8

9

10

MS HEDGE: Thank you. We do also have a hard copy of Dr Taylor's report.

11

12

13

THE COMMISSIONER: Thank you, I'd like that.

14

15

16

17

MS HEDGE: That's the report and some of the key documents referred to in it. It's not just the report in that folder.

18

19

20

THE COMMISSIONER: Thank you.

21

22

23

24

MS HEDGE: Dr Taylor, we've said in the opening that you conducted a review of STRMix arranging a number of questions namely framed by Dr Kogios and Ms Baker in their review of the lab, is that right?

25

26

27

A. Yes, that's right.

28

29

30

Q. And can we turn to p5 of your report and if we expand online 107 to 131 the italicised section. These are the instructions that were given to you?

31

32

33

34

Q. And you reviewed all of those things and set out your opinions about how procedures were or were not appropriate and how they could be improved in the report, is that right?

35

36

37

A. Yes, that's correct.

38

39

40

Q. Today we're going to deal only with one of them in oral evidence and that is the assignment of a number of contributors?

41

42

43

A. I understand.

44

45

Q. So can you tell us in a general sense what that means, what the assignment of a number of contributors is in terms of DNA analysis?

46

47

A. Yes. So when you're using a software like STRMix to analyse a DNA profile, the first step before you use STRMix

1 is to assign a number of contributors, so that would be a  
2 number of individuals you believe have contributed DNA to  
3 the sample that has ultimately then led to the DNA profile  
4 that you've obtained and that process is carried out in a  
5 general sense using expertise and knowledge of DNA profile  
6 behaviour and by interpreting the series of peaks and  
7 information that you see within a DNA profile.

8

9 Q. And is it possible for different scientists to have a  
10 different opinion about how much contributors there are to  
11 a DNA profile?

12 A. Yes, it is.

13

14 Q. And I probably should have put in the word reasonable  
15 there. Is it possible for more than one scientist to have  
16 different but both reasonable positions about how many  
17 number of contributors there are?

18 A. Yes, that is possible.

19

20 Q. And in the Queensland Health witness statements that  
21 you saw in the case files you reviewed, the number of  
22 contributors is stated in the statement?

23 A. Yes.

24

25 Q. And is there any level of certainty or uncertainty  
26 placed in the statements against that or is it stated as  
27 fact effectively?

28 A. I believe that in most cases the number was given as to  
29 how the profile had been interpreted. There were a number  
30 of instances where there was qualifying information about  
31 the presence of a potential low level additional  
32 contributor.

33

34 Q. All right. Can we turn to p8 of your report, please.  
35 And can we zoom in on the first paragraph starting at  
36 line 215. You can conclude here that:

37

38 *There are some passages within the basics*  
39 *of DNA profile interpretation Standard*  
40 *Operating Procedure that if applied and*  
41 *written would lead to an bias towards*  
42 *overestimating the number of contributors.*

43

44 Yes, that's right.

45

46 Q. Can we just look at those quickly. And can we - can I  
47 turn to a different document. Can we turn to

1 FSS.0001.0012.0147. 0047.

2

3 OPERATOR: Sorry, could I have the whole number again?

4

5 MS HEDGE: FSS.0001.0012.0147.

6

7 OPERATOR: I apologise, there it is.

8

9 MS HEDGE: Thank you. This is the basics of DNA profile  
10 interpretation Standard Operating Procedure you were  
11 referring to, Dr Taylor?

12 A. Yes.

13

14 Q. Can we turn then to the page that ends in .0166, it's  
15 page 20. Could we zoom in on the part under the heading  
16 16.1.8 reproducibility. Now in the second-last paragraph  
17 that we can see - sorry, third-last paragraph, the last  
18 sentence says:

19

20 *There is no certainty that there is only*  
21 *one contributor to the low-level*  
22 *contribution and a contributor should be*  
23 *added.*

24

25 This is one of the parts that you found concerning about  
26 the Standard Operating Procedure?

27 A. This is one of the parts of that Standard Operating  
28 Procedure that if applied sort of strictly as written would  
29 lead to an over estimation of the number of contributors  
30 regularly.

31

32 Q. Can you tell us what you would propose should be said  
33 in that part of the Standard Operating Procedure instead of  
34 "a contributor should be added"?

35 A. I suppose in a general sense a lot of the reasons that  
36 you could potentially add a contributor to a profile are  
37 mentioned in this SOP and they are reasonable reasons for  
38 increasing the number of contributors. So these would be  
39 instances like peak imbalance or very high levels of  
40 stutter beyond what is reasonable to expect. In this  
41 particular guidance that's given the idea is if you see  
42 low-level contributor to a DNA profile but it appears there  
43 was only one low-level contributor and you don't have  
44 certainty or you don't see any evidence of an additional  
45 contributor but you're not certain that the low-level  
46 contribution has come from a single person, the suggestion  
47 here is to then add a contributor. So in a lot of cases

1 that won't be a reasonable thing to do, that would lead to  
2 an over estimate of the number of contributors. So really  
3 the best thing to do in that situation is if you can carry  
4 out a re-amplification of the DNA and perhaps amplify it  
5 with more DNA so that you can get a better understanding of  
6 the low-level contributor to that profile. But ultimately  
7 if there's no evidence of an additional contributor I would  
8 suggest that you wouldn't add one.  
9

10 Q. So would it be your position that the Standard  
11 Operating Procedure shouldn't suggest that a contributor be  
12 added but rather that expertise is applied and for a  
13 scientist to decide in an individual case whether one  
14 should be added or not rather than a sort of blanket  
15 recommendation?

16 A. Yes.  
17

18 Q. You said something else in there about re-doing another  
19 amplification. Generally if a scientist is uncertain about  
20 the number of contributors what would you recommend they do  
21 before assigning a number of contributors?

22 A. There is a number of actions that can be taken but  
23 probably one of the first would be to carry out a  
24 re-amplification of that sample and to generate another DNA  
25 profile from that sample. And that can be useful for a  
26 number of reasons. So if your concern in the original  
27 profile is that there are peak imbalances or potentially  
28 high stutter peaks, then by carrying out another  
29 amplification you can see whether or not those imbalances  
30 are repeated or even more extreme in the second  
31 amplification, which might then give you more comfort or  
32 further reason to assign that higher number of  
33 contributors, or you might find that on re-amplification  
34 those imbalances are no longer present, which suggests that  
35 perhaps the first time they were just stochastic effects  
36 and it's not correct to interpret a higher number of  
37 contributors. That would be the first main step that I  
38 would take.  
39

40 Q. All right. Are there other analytical steps that can  
41 be taken, for example, concentration or other rework  
42 options rather than struggling with the interpretation and  
43 number of contributors just at the STRmix stage?

44 A. Yes, certainly if you can concentrate the sample that  
45 has a similar effect of potentially being able to add more  
46 DNA into the amplification reaction and at the result, at  
47 the end result of all of this you also have statistical

1 ways to approach the problem if you're still unsure of the  
2 number of contributors. Within STRmix you can express that  
3 uncertainty with the way that you analyse the sample.  
4

5 Q. Right. Just explain to us that last point, is that the  
6 variable number of contributors function in STRmix?

7 A. That's right. So if after carrying out multiple  
8 amplifications you're still uncertain about the number of  
9 contributors, say for example you're not sure whether a  
10 profile has come from two or three people, you can analyse  
11 the profile within STRmix telling it that the profile can  
12 have originated from two or three contributors and then  
13 STRmix will handle that uncertainty probabilistically.  
14

15 Q. If you did that would you then expect to see in a  
16 witness statement written for a court or in a report - I'm  
17 sorry, in a result reported to police it would say more  
18 than one number, so it might say two or three contributors  
19 and the likelihood ratio is this?

20 A. Yes, in some way you would express that uncertainty or  
21 the range of number of contributors that you've analysed  
22 the profile under.  
23

24 Q. Is that functionality currently being used by the  
25 Queensland laboratory?

26 A. I don't believe so. So it is a function that would  
27 have to be validated before a laboratory implements it. I  
28 didn't see evidence of that in the SOPs that I looked at.  
29

30 Q. Right. When was that created by the STRmix company?

31 A. I believe it was - it's been available for three years.  
32

33 Q. To your knowledge is it used in other laboratories  
34 around Australia?

35 A. I'm not certain. It's certainly used in South  
36 Australia. I'm not certain about other laboratories in  
37 Australia.  
38

39 Q. Right, thank you. We've looked at one part of the  
40 Standard Operating Procedure, there's one or two sections  
41 and a paper on mixture interpretation where you pull out  
42 particular examples that are set out in your report; is  
43 that right?

44 A. That's right.  
45

46 Q. And you comment that if they're required strictly or  
47 literally then they could lead to a systemic bias towards

1 over estimating contributors?

2 A. Yes, that's right.

3

4 Q. Then a second part of your analysis of this topic was  
5 to review case files to see whether there was an  
6 overestimation of contributors in particular instances?

7 A. Yes.

8

9 Q. And you reviewed 13 case files?

10 A. Yes, that's right.

11

12 Q. And across those 13 there were seven or eight samples,  
13 is that right, some which came from one case file, but  
14 there were seven or eight sampled in which you identified a  
15 greater number of contributors were attributed by the  
16 Queensland laboratory than you personally would have  
17 attributed?

18 A. Yes, that's right. And to just pick up on the last  
19 thing you said there, it's probably important to point out  
20 that my review of these profiles is my opinion and whilst I  
21 have been reviewing DNA profiles and analysing them for 17  
22 years, there are other forensic scientists who have been  
23 trained and have been reviewing profiles just as long or  
24 longer than I have, so I just want to stress that this is  
25 my opinion and it shouldn't be taken as the definitive  
26 truth on the number of contributors of these profiles.

27

28 Q. All right. I assume for some samples you're more  
29 confident that it should not have been a greater number of  
30 contributors than for others?

31 A. Yes.

32

33 Q. There would be some way the evidence of the extra  
34 contributor would be so low you don't really think that  
35 anyone else could have come to that reasonable conclusion,  
36 but there'd be others where there might be more reasonable  
37 differences of opinion, is that fair?

38 A. That's fair.

39

40 Q. Can we deal with one particular case that you've  
41 prepared a series of slides for us to explain how the over  
42 contribution or the overestimation occurs. Could I have on  
43 the screen EXP.0003.0003.0001\_R. Can you see that,  
44 Dr Taylor?

45 A. Yes.

46

47 Q. You're identifying there's a particular sample which

1 comes from the case that was reported at section 5.10 of  
2 your report, that assists in identifying it for those with  
3 unredacted copies but it doesn't matter what the case is  
4 for the purposes of discussing this, does it?

5 A. No.

6

7 Q. But it's a sexual offence?

8 A. That's right.

9

10 Q. In fact you identify it as an alleged rape?

11 A. Yes.

12

13 Q. Let's turn to the next page then of that document. On  
14 the right-hand side we have the electropherogram, the first  
15 part of that. The top is the zoomed out version and the  
16 bottom is the zoomed in of the same loci; is that right?

17 A. That's right. Within PowerPlex 21 there are four  
18 different dye lanes that are used and those - put all  
19 together those make a DNA profile. Within the Queensland  
20 Health case files you have the entire profile in full  
21 panned out scale and then you have that same profile again  
22 given in this zoomed in scale. Just to make a little bit  
23 easier to talk about what I've done is broken up those two  
24 different scales of DNA profile and I've just showed one  
25 dye lane per page, where the top half of the page is the  
26 full scale profile and the bottom half of the page is the  
27 zoomed in profile on a dye lane by lane basis.

28

29 Q. Can you explain how you've annotated this to show us  
30 what peaks were used to add a third contributor to this  
31 profile?

32 A. Yes. So on this DNA profile which we see looks like a  
33 number of peaks on a graph where each peak is annotated  
34 with two pieces of information, the top one is what's  
35 called the allelic designation and that's usually a number  
36 like 14 or 15 or 16, or in the case of a gender determining  
37 locus it will be X or Y, and the second number is  
38 representative of the intensity of that peak and relates to  
39 the Y scale on the very left-hand side of the dye lane.  
40 What I've done is mark certain peaks in certain ways and  
41 when this DNA profile was being interpreted, because it's  
42 an intimate swab from a victim of an alleged assault, what  
43 a typical analysis would do is to assume that victim's DNA  
44 is present and then using that information assign a number  
45 of contributors to the profile to then go on to analysis.  
46 So in this case I've marked the alleles that correspond to  
47 the victim's reference profile with blue arrows. So what

1 you can see as you look across the profile is that quite  
2 often those peaks are small, are the shorter peaks in the  
3 DNA profile, indicating that the victim is a minor  
4 contributor of DNA to the sample. Now in some instances  
5 those blue arrows will also be pointing to a big peak. So,  
6 for example, we could look at the region D3S1358, which is  
7 the second region that we see there, and we have one blue  
8 arrow pointing to the 15 peak and one blue arrow pointing  
9 to the 17 peak. Now what this means is that the victim in  
10 this case has a 15 and a 17 but because they're a minor  
11 contributor you would expect that of that 15 peak that you  
12 see there only a very small portion of it is being donated  
13 by the victim and the rest would be donated by this unknown  
14 main contributor of DNA to the profile. So that's the blue  
15 arrows. The red arrows I've used to identify artefactual  
16 peaks in the profile, and the reason I've done this is that  
17 Queensland Health use what they call sub-threshold peaks in  
18 their interpretations or particularly in their assignment  
19 of a number of contributors, so that if you were to see a  
20 number of low-level peaks that are not labelled, that are  
21 below the limit of reporting but above the limit of  
22 detection, you can use those peaks to assign a number of  
23 contributors. However that's only if they are  
24 representative of allelic material or DNA in the sample.  
25 There's a number of reasons that you can get low-level  
26 sub-threshold peaks simply as an artefact of generating a  
27 DNA profile. In this case for this sample there's a number  
28 of those low-level peaks that have been caused by, are an  
29 artefact known as pull up. So I've marked those with the  
30 red arrows. So none of those smallish perturbations of the  
31 baseline would be used in assigning a number of  
32 contributors. Then once you take into account the peaks  
33 from the victim and then the larger peaks from the main  
34 contributor to the profile you're left with a number of  
35 other small peaks in the profile which originate from  
36 another type of artefact called a stutter. These occur at  
37 known positions and at roughly known heights in a DNA  
38 profile. Queensland Health, as do other forensic  
39 laboratories, have an understanding of how high those peaks  
40 should be and an interpretation threshold which they would  
41 use to designate that peak is no longer being able to be  
42 stutter. Now all those potential stutter peaks that fall  
43 below the thresholds that Queensland Health uses I've  
44 marked with a green box, and when those peaks in stutter  
45 positions fall above the thresholds used by Queensland  
46 Health I've marked that with a purple box. So you can see  
47 there in this first dye lane there's one purple box around



1 a 16 peak at D3S1358.

2

3 Q. All right, thank you. Just speaking for a second of  
4 the second contributor, so the first contributor is the  
5 victim, that's assumed because it's an endocervical swab,  
6 so an intimate inside of the body swab?

7 A. Yes.

8

9 Q. And that's the blue arrows?

10 A. Yes.

11

12 Q. And then there are some peaks that don't have a blue  
13 arrow but are quite large, for example the Y peak in the  
14 gender locus or in the second locus along, D1S1656, there's  
15 two peaks there, 15 and 15.3?

16 A. Yes, that's right.

17

18 Q. Are these peaks the second contributor, that is the  
19 alleged - assumed to be the alleged perpetrator?

20 A. Yes.

21

22 Q. Then you're saying that the purple box peak is the one  
23 in this dye lane that you assume has been used to assign a  
24 third contributor?

25 A. Yes, that's right.

26

27 Q. All right. Shall we go to the next dye lane then. On  
28 the next page please. On the next page there's no purple  
29 boxes, so no peaks for the purported third contributor?

30 A. That's right.

31

32 Q. But there are peaks both for the victim and for the  
33 second contributor clearly there?

34 A. Yes, that's right.

35

36 Q. And to the third dye lane then, next page. Sorry,  
37 operator, can we go to the next page please. Thank you.  
38 Again, no purple boxes, so no stutter peaks that are above  
39 the Queensland Health stutter threshold that you assume  
40 were used for that purpose but we do have a first and  
41 second contributor clearly available?

42 A. Yes, that's right.

43

44 Q. And then finally, thank you operator, next page,  
45 please. This is the fourth dye lane and in this dye lane  
46 there is one peak with a purple box which is at position -  
47 in the locus position D12S391 at 21?

1 A. Yes, that's right.

2

3 Q. And that's the other one that you assume - so across  
4 the four dye lanes there's these two peaks that are above  
5 the stutter threshold that you assume have been used to add  
6 a third contributor?

7 A. Yes, that's right.

8

9 Q. If we turn to the next page please, operator. These  
10 are the two peaks, these are the two ones with purple boxes  
11 across the four dye lanes?

12 A. Yes, that's right.

13

14 Q. And you set out there that using the Queensland Health  
15 stutter threshold you'd expect those stutter peaks to be a  
16 certain height and they are in the first case 148 rather  
17 than 123, and in the second case 80 rather than 68?

18 A. Yes, so this is using the Queensland Health stutter  
19 ratios or stutter thresholds for those loci and for the  
20 stutter types. So you might expect, as you said, a peak up  
21 to 123RFUs for a D3S1358 in that position and that locus,  
22 and you see one there at 148RFUs and for that second locus  
23 you might expect a peak up to 68RFU but you see a peak  
24 there at 80.

25

26 Q. Would you consider that a significant exceeding of the  
27 stutter threshold?

28 A. No, in this instance we're talking about one or two  
29 tens of RFUs. I would personally consider it a very mild,  
30 being very mildly above the threshold and would be very  
31 slight evidence of a third contributor. I would not  
32 consider it evidence of a third contributor.

33

34 Q. All right. So looking at those stutter peaks, your  
35 view is that it's not evidence of a third contributor, no  
36 evidence at all?

37 A. You could see it as very slight evidence of a third  
38 contributor but in my opinion it wouldn't be enough for me  
39 to invoke that third contributor.

40

41 Q. And not just not enough but far from enough for you to  
42 invoke that third contributor there, is that fair?

43 A. Yes, that's fair.

44

45 Q. Can we turn to the next page then, page 7 please  
46 operator. Can you explain to us, Dr Taylor, what this  
47 table is that you've presented?

1 A. Yes, this is one of the outputs of the STRmix program  
2 that has been used to analyse this DNA profile as a three  
3 person mixture, so this is taken from the Queensland Health  
4 case file. We can look at this table of results to tell us  
5 whether or not STRmix required those peaks that were above  
6 the stutter threshold to be considered as allelic in that  
7 third contributor, as in did STRmix think that there was  
8 enough evidence of imbalance from those two peaks that a  
9 third contributor was justified? So in this particular  
10 table what we see is four columns. The first column are  
11 the various regions or the loci that are involved in the  
12 calculation, and then the next three columns are the three  
13 columns - one column for each contributor and then the  
14 alleles that have been assigned to that contributor with  
15 greater than 99 per cent confidence. So if we look at that  
16 first contributor column, contributor 1, STRmix has  
17 assessed it as coming from 23.45 per cent, that contributor  
18 is making up 23.45 per cent of the profile that's seen.  
19 Because a contributor has been assumed in this analysis,  
20 that being the victim, they will be put into that first  
21 contributor position and in fact their profile is known  
22 with certainty because you're telling STRmix that this is  
23 the first contributor to the profile. So I suppose what we  
24 can do is then look at the second contributor column and we  
25 see here that STRmix has explained 75.67 per cent of the  
26 profile as coming from this second contributor, now this is  
27 an unknown contributor to STRmix, STRmix hasn't been given  
28 a reference for this person, but you can see as we saw  
29 looking at the profile itself, they make up a majority of  
30 the DNA profile. That's reflected in the fact that STRmix  
31 is able to assign a complete DNA profile, so all the  
32 alleles at every region, to that contributor with greater  
33 than 99 per cent confidence. We can now look at the third  
34 contributor column and we can see that this contributor has  
35 been assigned by STRmix as contributing very little to the  
36 profile, so less than 1 per cent, 0.88 per cent, and there  
37 are no alleles that have been assigned at greater than 99  
38 per cent confidence at any region and that's signified by  
39 zeros. So specifically in this particular instance we  
40 would be looking at D3S1358 to see whether or not that 16  
41 peak was imbalance, whether that stutter was high enough  
42 that it had to be explained by a third contributor by  
43 STRmix, and in this case it hasn't because it hasn't been  
44 assigned in this table, and similarly at D12S391 we had  
45 that 21 peak that was a slightly high stutter, and again  
46 STRmix hasn't required that to be explained by a third  
47 contributor by virtue that there's no 21 peak listed for

1 contributor three at that region. So you can use that  
2 STRmix table as I suppose further guidance or further  
3 evidence that you don't need a third contributor to explain  
4 this profile.

5

6 Q. All right. Would you expect a scientist to be looking  
7 both at this table as well as the program to make their  
8 decision about the number of contributors?

9 A. So this table is only produced after the STRmix  
10 analysis, so this table would be produced once the  
11 scientist has assigned three contributors, analysed the  
12 profile and obtained the STRmix output. But certainly  
13 having obtained the output they could then use that as - to  
14 further interrogate their understanding of the profile and  
15 then perhaps lead to further re-workings based on what  
16 they've obtained.

17

18 Q. Is this result, that is the assigning of a third  
19 contributor on those very mild exceeding of the stutter  
20 threshold in only two peaks across all loci, is that a  
21 manifestation of one of the biases that you saw in the  
22 Standard Operating Procedure?

23 A. Yes, I believe so. It's indicative of in those seven  
24 or eight examples that I do highlight in my report, this is  
25 indicative of the sort of level or very minor evidence of  
26 additional contributors. They're just being used to assign  
27 that higher number.

28

29 Q. All right. You don't know the case context of this  
30 case, you don't know what the complainant's statement says  
31 or anything like that? Don't tell me any of the  
32 information but do you have it?

33 A. I don't recall anything, no.

34

35 Q. So you don't know whether having a third contributor  
36 matters for the case is my point?

37 A. No.

38

39 Q. But can we talk generally about what the significance  
40 might be of a third contributor in a sexual assault case.  
41 So can we go back to your report at page 8 please. It's  
42 EXP.0003.0002.0008\_R. Could we zoom in around lines 219 to  
43 225. So you identify there the negative consequence to  
44 overestimation is to incorrectly fail to exclude and  
45 sometimes include with low-level support known donors of  
46 DNA?

47 A. That's right.

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

26

27

28

29

30

31

32

33

34

35

36

37

38

39

40

41

42

43

44

45

46

47

Q. Then if we can zoom in at the bottom of the page and the top of the next page as well if we can, operator. You note that there's been mention of the impact that the number of contributors might have in terms of the way the results are interpreted by stakeholders, and by that you mean police, prosecution, defence lawyers, juries, courts?

A. Yes, that's right.

Q. The example is an intimate swab from a rape victim, if the rape victim says that there was only him or herself and perpetrator involved but the result comes back as three person, that is inconsistent with the version of events given by a complaint; is that right?

A. Yes, that's right. So that's an example where irrespective of the strength of evidence that's provided in the likelihood ratios, when you compare a reference to an evidence sample, just the very fact of the number of contributors being higher than what might be expected by one of the versions of events can have an impact on the case.

Q. Right. And that is - that's not the case for the likelihood ratio, that is a change in the number of contributors may not change the likelihood ratio very significantly?

A. That's right, and in many cases it won't change the likelihood ratio really at all. So, for example, in the case that we just looked at, that profile example, if you analyse that profile as coming from two people, the likelihood ratio that you obtained if you were to compare it, the evidence profile against a reference that matched that major contributor, wouldn't significantly change whether it was coming from two people or three people. So the strength of evidence wouldn't change in that case but stating that it came from either two or three people could be impactful to the case itself.

Q. Now, the sexual assault example might be an easy example to understand, but this could be relevant to other sorts of cases as well, is that right?

A. It's possible, yes.

Q. For example, a murder case and if an extra contributor is added on a swab taken from the victim's body, perhaps, it might suggest there was more people there, more people involved?

1 A. Possibly, yes.

2

3 Q. Or blood on a window sill for a burglary?

4 A. Yes.

5

6 Q. All right. Now, can we turn then --

7 A. I'll just add something on that topic.

8

9 Q. Yes?

10 A. It's not quite as obvious for those sorts of scenarios  
11 that you've just brought up simply because in the  
12 environment, in external environments you do tend to have  
13 background DNA that tends to be present on items. So the  
14 impact of that number of contributor assignment is not as  
15 great because there are other reasonable expectations of  
16 DNA being around, but I take your point that it could have  
17 an impact, it just wouldn't be as great.

18

19 Q. All right. And so the distinction you're drawing is  
20 between a window sill and an intimate swab like a high  
21 vaginal swab where you would not expect background DNA, as  
22 you describe it, you'd only expect DNA from people who have  
23 been there, if I can put it like that?

24 A. Yes.

25

26 Q. All right. So is it fair to say then that a concern  
27 has arisen for you about whether there is systemic  
28 widespread overestimation of contributors by the Queensland  
29 laboratory in sexual assault cases?

30 A. I think that that's a risk.

31

32 Q. All right. And that risk arises both from the Standard  
33 Operating Procedures and other documents and also from the  
34 review of the case files?

35 A. Yes, that's right.

36

37 Q. All right. But you're not able to say definitively  
38 whether that risk is something that's manifested on a  
39 widespread or systemic scale because of lack of time. If  
40 you reviewed 10,000 cases you could tell us, is that fair?

41 A. Yes, that's fair, but also I feel it would be, ideally  
42 there would be more than one person making that  
43 determination so that there's no, I guess, interpretational  
44 preferences playing into those opinions. So if there was  
45 two people both independently carrying out an assessment  
46 that would be ideal.

47

1 Q. So can we go to the recommendation now. Can we turn to  
2 p9 of your report, the next page, and lines 258 to 271.  
3 Could we expand that paragraph. So this is your  
4 recommendation to determine whether the risk you have  
5 identified has in fact come to fruition at the laboratory  
6 in a widespread way?

7 A. Yes.

8

9 Q. Because the risk has come to fruition in particular  
10 cases that you've reviewed, is that right?

11 A. That's right.

12

13 Q. So those cases, the risk has come to fruition, but the  
14 point of the recommendation is to see whether it's systemic  
15 or whether those cases are just are an unfortunate sample  
16 which turned up lots of overestimation?

17 A. Yes, that's right.

18

19 Q. All right. So you recommend an external review for  
20 swabs taken over the previous 12 months?

21 A. Yes.

22

23 Q. And all other cases that are currently unresolved  
24 before the courts?

25 A. Yes.

26

27 Q. Or with police, yes. All right. You confine the  
28 review to those where there's three or more people?

29 A. Yes.

30

31 Q. And those are the ones where there's most likely risk  
32 of overestimation?

33 A. Yes, and the cases where that assignment of a number of  
34 contributors may have an impact on how the information was  
35 heard or is going to be heard in court.

36

37 Q. All right. And then you say that ideally there'd be  
38 two people review each of those cases to determine whether  
39 there is overestimation in the particular case?

40 A. Yes.

41

42 Q. And the purpose of this review would serve two  
43 purposes, is that right, one is that it would identify  
44 whether there's a systemic problem with overestimation  
45 because it would be a wide sample size?

46 A. Yes.

47

1 Q. And the second would be to prevent a miscarriage of  
2 justice in the particular case, to correct an error if  
3 there is one?

4 A. Yes, that's right.

5

6 Q. All right. Can we zoom in then on paragraph 275 to the  
7 bottom of the page. Assuming the review is done, as you  
8 recommend, if there's no systemic over-assignment of number  
9 of contributors, then your review still will have prevented  
10 miscarriages of justice in particular cases?

11 A. Yes.

12

13 Q. All right. But there should still be a consideration  
14 of how those results are reported so that the uncertainty,  
15 the level of uncertainty about the number of contributors  
16 is clearly stated, is that right?

17 A. That's right, and also - so, for example, in the case,  
18 in the profile example that I looked at before, if the  
19 assessment from the independent review was that it was  
20 reasonable to have assigned three people to that profile,  
21 then the impact of saying that that profile has originated  
22 from three people might be able to be couched in some sort  
23 of language used in the DNA report that indicates that one  
24 of those people, if present, is very minor and very trace  
25 amounts and then perhaps, perhaps that impact of simply  
26 stating that it's from three contributors and giving no  
27 other contextual information would be lessened.

28

29 Q. I see, thank you. And then if there is a systemic  
30 over-assignment that could effect, as you say at line 284,  
31 any case from the Queensland laboratory which would be a  
32 wide scope?

33 A. Yes.

34

35 Q. And so you recommend effectively asking stakeholders,  
36 that is defence, prosecution, police to identify cases in  
37 which a review would be requested by one of them,  
38 presumably for the benefit of the case that they're  
39 running?

40 A. Yes, so at that point if there was systemic  
41 over-assignment that information would be presented to  
42 stakeholders and simply because it's not reasonable to  
43 re-review every profile and every case that's been  
44 resubmitted, I think the best way forward would be for, the  
45 stakeholders having been given that information, to then  
46 bring to Queensland Health any cases or any profiles that  
47 they think may have been effected that they want a



1 reassessment of.

2

3 Q. Thank you. And can we finally deal with just over the  
4 page on p10 at line 288 to 291, you recommend an ongoing  
5 monitoring of the performance of this issue where  
6 Queensland Health might every few years generate a set of  
7 low level mixtures and carry out a blind assignment so that  
8 an issue such as this would be picked up before it became  
9 too widespread, is that a fair reading of your  
10 recommendation?

11 A. Yes, it's almost like an internal proficiency, regular  
12 proficiency test of the analyst's ability to interpret the  
13 DNA profiles. It also has other flow on effects. For  
14 example, if there happens to be a drift in the performance  
15 of any particular instrument, then that would also be  
16 picked up in this kind of an exercise. So it has benefits  
17 to doing this, to carrying out this sort of exercise on a  
18 semi regular basis.

19

20 Q. Okay. That's the end of my questions. Is there  
21 anything that you wanted to add to your review or  
22 recommendations about the number of contributors issue?

23 A. No.

24

25 Thank you. Thank you, Commissioner. I'm not sure whether  
26 anyone else has any questions.

27

28 MR HUNTER: No questions.

29

30 MR RICE: No questions.

31

32 THE COMMISSIONER: Nobody else? No. Thank you very much,  
33 Dr Taylor, for your assistance today and also for all the  
34 work that you've done, it's been most helpful and it's very  
35 valuable.

36 A. Thank you.

37

38 Q. You're free to cut the link as soon as you wish or to  
39 remain, if for some peculiar reason you want to?

40 A. Thank you.

41

42 Thank you very much.

43

44 <THE WITNESS WITHDREW

45

46 MS HEDGE: Commissioner, that's all of the evidence in the  
47 public hearings.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25  
26  
27  
28  
29  
30  
31  
32  
33  
34  
35  
36  
37  
38  
39  
40  
41  
42  
43  
44  
45  
46  
47

THE COMMISSIONER: Mr Clarke and Mr Hunter, before I release everybody else, or say the matter's concluded, what's the position?

MR CLARKE: We haven't been able to agree on a position and so my client requires the Commission to hear its application.

THE COMMISSIONER: All right. I can do that. Anybody who is not interested is free to leave or remain as they choose.

We might take a ten minute break before you start and, Mr Clarke, your colleagues might arrange for you to have some room at the front of the Bar table so that you're in a better position. All right we'll adjourn to around 10 to five and see how we progress then.

#### SHORT ADJOURNMENT

THE COMMISSIONER: Mr Clarke, I might ask Mr Hunter some things first.

Mr Hunter, it seems to me that as a general proposition any document that's tendered in the Inquiry should be published unless there's a reason not to publish it or there are some matters in it that ought not be published. Would you agree that there ought to be a reason? I'm not saying there's an onus of proof upon you, but I'd have to be satisfied of that.

MR HUNTER: Yes.

THE COMMISSIONER: And then we come to the - I'll use the form of order that Mr Clarke has put forward and really insofar as I made an order of this kind earlier I guess QP numbers shouldn't be of interest to anybody and there would be a reason not to publish them, I suppose, would that be right?

MR HUNTER: Yes.

THE COMMISSIONER: Then we get to names of any person that might identify case files or investigations the subject of - the case files or investigations and that must be taken to be case files and investigations that are open.

1 MR HUNTER: Yes.

2

3 THE COMMISSIONER: Now, what would be the reason not to  
4 permit the publication of names that might identify  
5 investigations that are open?

6

7 MR HUNTER: Well the difficulty is that there have been  
8 materials tendered before you that, and we're not able to  
9 categorise this at the moment, but there is potentially  
10 material relating to hundreds of separate investigations,  
11 some of which have resulted in charges, some of which may  
12 not have, and a lot of them will relate to sexual offences,  
13 and so there's considerable sensitivity from the police  
14 point of view about the potential for the publication of  
15 names of people in circumstances where what's required to  
16 identify which matters do or do not relate to sexual  
17 offences and which matters are or are not sensitive is --

18

19 THE COMMISSIONER: Let's put sexual offences to one side,  
20 because they've got special considerations.

21

22 MR HUNTER: Yes. If there's been a charge they have  
23 special considerations.

24

25 THE COMMISSIONER: But if they've been charged there are  
26 special statutory considerations.

27

28 MR HUNTER: Yes.

29

30 THE COMMISSIONER: If nobody has been charged, then if the  
31 statute hasn't been engaged there are still matters of  
32 sensitivity involved that are similar to the statutory  
33 regime. So let's put that to one side. If we exclude  
34 sexual offence cases, then we're really dealing with other  
35 serious offences involving violence of a person generally,  
36 weren't we?

37

38 MR HUNTER: Yes.

39

40 THE COMMISSIONER: And in that category is there any  
41 sensitivity on the part of police in terms of potential  
42 harm to anybody or prejudice to their investigations?

43

44 MR HUNTER: The difficulty is at the moment we don't know  
45 which of the matters that have been placed before you may  
46 or may not have those sensitivities. I can think of two  
47 significant matters that - one of which is currently before

1 the courts, one of which is now about to be before the  
2 courts.

3

4 THE COMMISSIONER: Now we can exclude ones that are before  
5 the court because I understand Mr Clarke's draft would  
6 exclude, would not permit publication, relevant publication  
7 of matters in that category.

8

9 MR HUNTER: Well, the matter concerning the murder of Miss  
10 --

11

12 THE COMMISSIONER: That's a case where, so it seems from  
13 the newspaper reports, a prosecution, an actual prosecution  
14 is imminent. So let's put that to one side because we can  
15 identify that case. So cases that are currently the  
16 subject of prosecution, Mr Clarke doesn't seek any access  
17 to that at all. Fine. The particular case you're talking  
18 about can be excluded itself. So then we get to ongoing  
19 current investigations, because investigations that are not  
20 current in the sense that they're not going anywhere,  
21 they've concluded and nobody is doing anything, that can't  
22 justify any exclusion, so they're current investigations,  
23 and what's the sensitivity there in general and  
24 specifically?

25

26 MR HUNTER: Well I can't give you any specifics because we  
27 don't know which they are because of the way in which the  
28 material has been provided to you. It would involve an  
29 exercise of going back and matching data to particular  
30 cases to identify what they're about.

31

32 THE COMMISSIONER: So how do you suggest I proceed?

33

34 MR HUNTER: This is why the terms of any order should, we'd  
35 say, effectively put the onus on the applicant to, if there  
36 is some material that they wish to publish, to confirm with  
37 us whether it is or is not the subject of a current  
38 investigation and if it is not, well then their publication  
39 would not be in breach of the order. The alternative would  
40 be for us to go away and go through every document that  
41 we've provided to the Inquiry and sort them into different  
42 categories and that's a particularly onerous task.

43

44 THE COMMISSIONER: That's right, QPS has got a lot of work  
45 to do at the moment in relation to this Inquiry and other  
46 things that are similar, but --

47

1 MR HUNTER: The other sensitivity I suppose is this, and  
2 that's in the general sense, that any information that has  
3 been given to the Inquiry is likely to be incomplete  
4 insofar as it relates to a particular matter and so it's  
5 troubling to my client that there might be public  
6 discussion of, for example, forensic aspects of a  
7 particular matter in circumstances where not all of the  
8 relevant material has been placed before this Commission  
9 and is therefore not available to the applicant and can I  
10 give you an example of that.

11

12 Dr Wright's evidence or the reports about Dr Wright's  
13 evidence showed that because Dr Wright didn't have access  
14 to all of the material concerning the sample material from  
15 the suspect's vehicle, the publication resulted in the  
16 laboratory being unjustly criticised in respect of a  
17 failure to identify DNA in what were probably not blood  
18 samples. So that's a concern that we have as well.

19

20 THE COMMISSIONER: But that's not a legitimate concern in  
21 the sense that I'm not suggesting it's legitimate to - let  
22 me start again. That's not a legitimate concern of QPS  
23 because if The Australian decides to write a story and it  
24 turns out that the implications in that story or the  
25 imputations in it weren't justified for whatever reason,  
26 that's not something that the QPS can stand up here and  
27 say, 'We want to prevent that'. We all want to prevent it.  
28 The Australian wants to prevent it.

29

30 MR HUNTER: We're concerned that it might have an effect  
31 upon any prosecution that might ensue as a result of the  
32 ongoing investigation.

33

34 THE COMMISSIONER: Well, that's always a possibility  
35 generally, isn't it, in that anything's possible. You see,  
36 I thought the position of QPS was a concern that there are  
37 an identifiable category, there was an identifiable  
38 category of information, the publication of which might  
39 impinge on investigations by, you know, blabbing about who  
40 they're looking for or something of that kind, and I could  
41 understand that.

42

43 MR HUNTER: Our problem is that that may be the case, we  
44 just don't know at the moment, because of the way in which  
45 information was sought and provided to the Inquiry. We  
46 have provided, as you will appreciate, thousands of pages  
47 of documents concerning a Forensic Register or case files

1 and so forth and so some of them may be in that category,  
2 some of them may be not. The vast majority probably not,  
3 but there may be some in that category and for us to  
4 identify or determine that --

5  
6 THE COMMISSIONER: Your client would have to at least go  
7 through a sufficient number of documents to be able to  
8 identify examples of the kinds of things that you could  
9 then demonstrate if published might prejudice an ongoing  
10 investigation or cause prejudice or harm to something. At  
11 the moment it's a non-specific fear, which I don't suggest  
12 for a minute is baseless, I take it your client knows its  
13 business, of course, but it would be difficult to justify a  
14 general non-publication that something might happen but we  
15 don't know what, which is the position at the moment. What  
16 can you do, what can you and your client do within a  
17 reasonable time frame to identify the kinds of things that  
18 you're worried about so that Mr Clarke and his client can  
19 address it? Because at the moment they really can't - I'll  
20 be hearing submissions from Mr Clarke that all of this is  
21 general, nothing has been identified, and journalists are  
22 very careful and matters of that kind.

23  
24 MR HUNTER: Excuse me a moment?

25  
26 THE COMMISSIONER: Yes, please.

27  
28 MR HUNTER: What we were minded to suggest is that rather  
29 than have the QPS undertaking the exercise of sorting  
30 through these thousands of documents to identify --

31  
32 THE COMMISSIONER: Yes, that would be oppressive.

33  
34 MR HUNTER: That any order be premised upon the basis that  
35 before there's any complication, the applicant raises the  
36 particular documents with the respondent and the respondent  
37 can indicate whether there is any sensitivity or whether  
38 the matter relates to a current or a --

39  
40 THE COMMISSIONER: And how quickly could your client do  
41 that? Because what you're saying is not without precedent  
42 in litigation in which a party who wants to be at liberty  
43 to do something, but there's a risk of prejudice, is  
44 obliged to notify their opponent of something to allow the  
45 opponent to apply in most cases to a court to enjoin them.  
46 Here what you're suggesting is that they notify you that  
47 they want to disclose something to allow you an opportunity

1 to seek an non-publication order from me, but there are  
2 sensitivities in that because you're asking journalists to  
3 ask the subject of a story to vet a story. Well you're not  
4 asking for that, you're asking them to notify you that  
5 something will be revealed, not the story, but a fact will  
6 be revealed, so it's not that bad. Is that what you're  
7 asking for?

8  
9 MR HUNTER: It's something along those lines and if time  
10 constraints are a concern then any order --

11  
12 THE COMMISSIONER: They will be, I'm sure.

13  
14 MR HUNTER: Yes, any orders could contain within them a  
15 limitation period within you have to respond and then if  
16 there's no response well then it can be taken that there's  
17 no objection.

18  
19 THE COMMISSIONER: That's fine as an interim measure but in  
20 the end something more certain will have to be arrived at  
21 so that - because this Commission will end and the order  
22 will remain in place, but there won't be a Commissioner to  
23 make a non-publication order.

24  
25 Let me hear from Mr Clarke and see what the practical  
26 approach is. You can take it that I'm proceeding on the  
27 basis that the impetus is in favour of freedom to publish,  
28 whatever the applicable principle might be, and that QPS  
29 ought to show a reason why there ought to be a no  
30 publication in any particular case or according to some  
31 rule that we write down, but on the other hand you may be  
32 aware that I've got tens of thousands of pages of  
33 documents, hundreds of thousands of pages of documents and  
34 QPS, like Queensland Health, have been entirely open, as  
35 they're obliged to be, without redacting anything and so  
36 there is a real risk that something might be published by  
37 your client that unintentionally has a prejudicial effect.  
38 So within the time available, between now and when your  
39 client wants to write stories, it's not possible for them  
40 to go in and redact what they claim to be unpublishable, so  
41 what do you suggest? I have to be satisfied, but then  
42 again I'm not going to leave things open so that there's a  
43 risk of prejudice, so what do you suggest, Mr Clarke, have  
44 you got a proposition?

45  
46 MR CLARKE: Commissioner, my principal submission is that  
47 framework really puts the cart before the horse. Rather

1 than taking a blanket ban and it be on either my client or  
2 another media outlet having to bear the onus of bringing  
3 what in essence would be an application for an exemption --  
4

5 THE COMMISSIONER: No, no, no. The onus would be on  
6 Mr Hunter to establish that you ought not publish  
7 something.  
8

9 MR CLARKE: And so the framework around that in an ideal  
10 world, in my submission, would go something like this. The  
11 order could be made to simply withdraw the non-publication  
12 order at some time in the future, perhaps seven days or  
13 however long the QPS requires to formulate a view as to  
14 which particular specific matters they wish to be the  
15 subject of particular non-publication orders and in my  
16 respectful submission that's the ordinary course and what  
17 ought to --  
18

19 THE COMMISSIONER: What are you proposing, that what  
20 happens?  
21

22 MR CLARKE: What I propose is that there be an order that  
23 the non-publication order no.12, which is the one currently  
24 in force, be withdrawn.  
25

26 THE COMMISSIONER: Yes.  
27

28 MR CLARKE: But it only be withdrawn in, say, seven days  
29 from now, or whatever period the QPS requires so that  
30 there's not then a gap where there's no publication, no  
31 non-publication order at all in force, and the QPS use that  
32 time to identify the particular matters or particular  
33 evidence, that's it's not just the identifiers that may be  
34 the subject of non-publication orders, there may be  
35 particular evidence as well, and bring an application  
36 before the Commission, and that could be done on the  
37 papers, to avoid the Commission have to be reconvened.  
38

39 THE COMMISSIONER: We can be reconvene, although it's  
40 desirable for hearings to be live-streamed, we don't have  
41 to have the whole business re-established, we can have a  
42 hearing that's in public.  
43

44 MR CLARKE: Yes, those hearings will be on evidence. So  
45 the ordinary course, in my submission, that you would  
46 follow, and indeed needs to be followed in order to obtain  
47 a non-publication order, to satisfy the Commission that a



1 non-publication order ought be made is on evidence. So the  
2 Commission would be provided with evidence as to why in  
3 this particular case the balance of factors --

4  
5 THE COMMISSIONER: All right. Let me put it back to you so  
6 I understand what you're saying; are you saying that I  
7 ought to make some kind of non-publication order today, one  
8 that's not as wide as Order No. 12, and that I should do it  
9 on the basis that we will resume in a period of time, at  
10 which time I will vacate that order unless I'm satisfied  
11 that it, or some other order, should be made, is that the  
12 notion?

13  
14 MR CLARKE: Yes, on the basis of material provided by --

15  
16 THE COMMISSIONER: Yes, on the basis of - that's so. By  
17 whatever means - I'm not a court - so by whatever means one  
18 would expect an affidavit of some kind, but in any event,  
19 I'd be informed, and you would be informed in the first  
20 instance, of the reasons why a particular order is  
21 justified. So absent an application for an order, the  
22 status quo would be the order lapses at that point.

23  
24 MR CLARKE: Yes, and it might be, Commissioner, if such an  
25 application is filed, that my client doesn't take any  
26 objection to those particular specific orders that are  
27 sought.

28  
29 THE COMMISSIONER: That's right, when you've got factual  
30 basis for it.

31  
32 MR CLARKE: That's right, yes.

33  
34 THE COMMISSIONER: And the draft order you submitted limits  
35 the non-publication to information --

36  
37 MR CLARKE: Can I talk to it, Commissioner?

38  
39 THE COMMISSIONER: Go on.

40  
41 MR CLARKE: You've got now three copies of the draft order?

42  
43 THE COMMISSIONER: Yes. Which one do you want me to look  
44 at?

45  
46 MR CLARKE: The one I handed up this morning with the  
47 yellow highlight, the wording of that, as with the yellow

1 highlight, is a copy and paste from the current version of  
2 Order No. 12.

3  
4 THE COMMISSIONER: Yes, that's right.

5  
6 MR CLARKE: What at that seeks to do, that addition of the  
7 yellow highlighted wording, it seeks to limit it to case  
8 files or investigations that are the subject of current  
9 proceedings, and current proceedings in that context refers  
10 to proceedings current as of today, 25 November 2022.

11  
12 THE COMMISSIONER: So we can add the other one that was in  
13 the papers today because it;s

14  
15 MR CLARKE: I don't think so, because that's not a --

16  
17 THE COMMISSIONER: You don't submit so, you submit it's  
18 not.

19  
20 MR CLARKE: I submit it's not.

21  
22 THE COMMISSIONER: Why's that?

23  
24 MR CLARKE: Because it's not a prosecution in the relevant  
25 sense, as captured by this order.

26  
27 THE COMMISSIONER: No, no, it isn't, but - anyway, we'll  
28 deal with that separately.

29  
30 MR CLARKE: That can be tweets, and if the Commission is  
31 minded to included that --

32  
33 THE COMMISSIONER: Let's deal with that separately.

34  
35 MR CLARKE: Yes. On an interim basis I'm sure I can seek  
36 instructions on that as an interim basis, but if you're  
37 noting that will be subject to --

38  
39 THE COMMISSIONER: Well, everybody's going to be writing  
40 about that case, sop there's no secret about that case.

41  
42 MR CLARKE: The only thing that's not being reported on is  
43 the fact it's bee referred to in this inquiry, because it  
44 can't be.

45  
46 THE COMMISSIONER: Yes. Let's talk about that later  
47 because it may be a nothing. Right? So what you're --

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25  
26  
27  
28  
29  
30  
31  
32  
33  
34  
35  
36  
37  
38  
39  
40  
41  
42  
43  
44  
45  
46  
47

MR CLARKE: Commissioner, can I ask you to then have a look at the other copies provided.

THE COMMISSIONER: Yes.

MR CLARKE: There are slightly updated versions of that. There's one which shows some mark-ups just to be able to show the Commission what has changed from the existing version.

THE COMMISSIONER: Yes.

MR CLARKE: Then there's a clean version. You'll note immediately number 3 is struck out, and that's because nothing in the operation of (indistinct) --

THE COMMISSIONER: They're all not current.

MR CLARKE: That's unnecessary. It's just on - it should be then further amended to say "until" and date - "until" --

THE COMMISSIONER: Yes, I understand that. Let me have a look at it from that point of view. I'm not sure that that will do it, but I'll see what Mr Hunter says about it. Mr Hunter, the idea is that there be a refrain on publication until you're in a position - until a time within which it would be regarded as reasonable for your client to have come back with some specific propositions. So the first thing I'd ask you, if you think is a practical way to proceed, is whether I make an order of that kind, whether that's a practical way to proceed in the interim while your client has a think about it, never mind about the terms of the order for the moment?

MR HUNTER: I'm trying to ascertain --

THE COMMISSIONER: We can't determine this, it doesn't look like we're going to work out a system today.

MR HUNTER: I'm trying to ascertain the scale of the task.

THE COMMISSIONER: Yes.

MR HUNTER: And we understand that it's limited to things that have been tendered.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25  
26  
27  
28  
29  
30  
31  
32  
33  
34  
35  
36  
37  
38  
39  
40  
41  
42  
43  
44  
45  
46  
47

THE COMMISSIONER: Yes.

MR HUNTER: Although we note that the Commission's website doesn't as yet contain all of the exhibits that have been tendered.

THE COMMISSIONER: That's right.

MR HUNTER: There are still some that are yet to be uploaded.

THE COMMISSIONER: Yes.

MR HUNTER: We're concerned that a seven-day timeframe, I realise that's one of the terms, we realise a seven-day time frame is onerous, particularly bearing in mind our final submissions are due.

THE COMMISSIONER: That's right. But you're not going to be doing the vetting.

MR HUNTER: I'm not, but there are processes internally that need to be adopted by - the submissions need to go through the hierarchy as it were.

THE COMMISSIONER: Yes.

MR HUNTER: And my instructing solicitor, who would be predominantly the person doing the vetting, is also involved in that process.

THE COMMISSIONER: But the vetting isn't about legal issues, it's about police operational issues.

MR HUNTER: That's right, but nonetheless, as I understand it that's a task that will largely be supervised and done by her.

THE COMMISSIONER: Yes.

MR HUNTER: So that's a concern that we have whether seven days is sufficient. I'm reminded that there's a lot of Queensland Health documents that are also in the same category, so it's difficult right now --

THE COMMISSIONER: But that doesn't matter because what

1 you're looking at is not to identify specific things that  
2 you don't want published, although you might do that just  
3 by the way, it's to identify the kinds of things that you  
4 don't want published that can justify an order in terms  
5 that specify those kind of things. Isn't that right, or  
6 not?

7  
8 MR HUNTER: That can only be done by looking at a document,  
9 for example, that contains QP number and a name, and then  
10 going back to the investigator and trying to work out  
11 what's going on with that particular matter, whether  
12 there's sensitivity about it, whether it's ongoing or it's  
13 finalised. It won't be apparent just by looking at a  
14 document that it is or is not in the category, there will  
15 be need to be a check to see what that QP number, for  
16 example --

17  
18 THE COMMISSIONER: But the QP number, for example, ought  
19 not be of any interest to be published.

20  
21 MR HUNTER: Agree, but that's sometimes in the documents  
22 the only clue that we have, all we have a QP number and  
23 perhaps a name of a person from whom a swab was taken, and  
24 that won't assist us, just by looking at it, to determine  
25 who the defendant is, what the investigation relates to.

26  
27 THE COMMISSIONER: Yes. So how's your client going to  
28 identify what it is that's dangerous to publish?

29  
30 MR HUNTER: We have to cross-reference the specifics in  
31 each document in Q Prime, and identify which case it is.

32  
33 THE COMMISSIONER: But you're speaking about specific  
34 cases, I'm speaking about police investigators who would  
35 say, because this is a new problem that's arisen for those  
36 particular police, they would sit down with a pile of  
37 documents that have been tendered that contain details, and  
38 they ought to see that, "Well, we can't have this kind of  
39 thing published because this might happen. For example, to  
40 take a hypothetical case, "We don't want anything published  
41 about warrants that we have applied for and that have been  
42 granted to us that we haven't served yet. We don't want  
43 any information published that we have received, DNA  
44 results in particular cases that we're still  
45 investigating." And those ideas would pop out to them, and  
46 they could be made the subject of general propositions.

47

1 MR HUNTER: That's right, but the point I'm making is that  
2 there's really a two-stage process. The first is to  
3 identify which are the cases that are referred to in the  
4 myriad of documents you have, Commissioner, and then speak  
5 to the police involved.

6  
7 THE COMMISSIONER: Yes, but I'm talking about the notion  
8 that would appear to an investigator, an experienced  
9 investigator not involved necessarily in any of the cases,  
10 who would then say "Well, we don't want these kinds of  
11 things published" - rather than, it wouldn't be practical  
12 in the time that remains in this Commission for me to  
13 expect you to identify specific matters in all the  
14 documents. They'd have to be categories of matters and  
15 those sorts of things should become apparent, and I might  
16 think that it's better to err on the side of caution and  
17 make each category, you know, make them wider rather than  
18 narrower. I don't know, I haven't heard from Mr Clarke.  
19 But that kind of work wouldn't take days hunt.

20  
21 MR HUNTER: Just bear with me, Commissioner.

22  
23 THE COMMISSIONER: And the other thing, just before you  
24 consult with your colleagues, is this, we don't have to do  
25 this with specificity today. There'll have to be something  
26 done today, if I change anything that doesn't foreclose the  
27 position for your client.

28  
29 MR HUNTER: I understand that. Just bear with me.

30  
31 THE COMMISSIONER: Yes. I'm sorry, what I mean is we can  
32 come back on Monday, we can come back on the weekend, if  
33 necessary, but we can come back on Monday to reformulate  
34 the interim position. So it's not everything today.

35  
36 MR HUNTER: I understand. That sounds like a more workable  
37 solution.

38  
39 THE COMMISSIONER: What do you propose? Articulate it for  
40 me, please.

41  
42 MR HUNTER: That to the extent that interim orders are  
43 needed today, you make them. We're not sure that an  
44 interim order is required, although we apprehend from  
45 submissions that were made by our colleague that an order  
46 is sought that will permit publication of documents that  
47 relate to the matter that's in the news this afternoon. I

1 will make separate submissions about that. But that you  
2 allow us sufficient time to review the material, put  
3 together an affidavit that will exemplify the categories of  
4 matters about which we have concerns. We think that that  
5 could be done probably by the middle of next week, the  
6 second half of next week. That you hear the matter, then  
7 perhaps - if it's Thursday or Friday it won't be me, I'll  
8 be in Sydney.

9  
10 THE COMMISSIONER: Well, what about the case, I just can't  
11 remember the name of it, so I'm not being coy, but the case  
12 that was on the front page of The Courier some time today,  
13 don't worry about the case because it doesn't matter, what  
14 you're talking about is revealing contents of documents in  
15 relation to the case.

16  
17 MR HUNTER: Well, the reporting that I've seen today has  
18 all been completely anodyne, all it does is report the fact  
19 he's been apprehended and reiterates publicly known matters  
20 such as the fact that he allegedly absconded from Australia  
21 within a very short period of murder, leaving behind family  
22 members.

23  
24 THE COMMISSIONER: Yes, yes.

25  
26  
27 MR HUNTER: And that's the extent of it.

28  
29 THE COMMISSIONER: But a journalist can find out anything  
30 he or she wants and publish it within the law, but you're  
31 concerned about not permitting publication of the contents  
32 of documents relating to that case for the moment.

33  
34 MR HUNTER: For the moment, because --

35  
36 THE COMMISSIONER: There might be nothing there about the  
37 case that everybody doesn't know about, I don't know.

38  
39 MR HUNTER: As I understood the evidence, and it's been a  
40 while now, but as I understood it, it was to the effect  
41 that there were samples of - it turned out to contain the  
42 deceased's own DNA --

43  
44 THE COMMISSIONER: Yes.

45  
46 MR HUNTER: -- that were initially either no DNA or DIFP,  
47 and that when they were reworked came back as being a

1 complete match to her. I'm not certain of this, but I  
2 don't recall seeing anything about the punitive defendant  
3 and his DNA. But I don't want to be dogmatic about that.  
4 My concern really is that if the reports are true that this  
5 man is in custody in India, then presumably there will be  
6 proceedings brought to extradite him to Australia, and I  
7 don't pretend to have any familiarity with Indian  
8 extradition law, beyond the fact that I know we have a --  
9

10 THE COMMISSIONER: The position at the moment is that  
11 nobody else in the room - there might be a journalist who  
12 does know this - but nobody else in the room knows what  
13 might be in the documents that are very interesting to  
14 write a story about, and your client is intensely  
15 interested in a case and wants to ensure it's not  
16 prejudiced. So in relation to that case, subject to what  
17 Mr Clarke says, it might be right not to exclude the use of  
18 the documents. They can talk about the case, but the use  
19 of the documents is another thing, until your client's had  
20 a chance to look at it, which it might do as a matter of  
21 priority, I guess.  
22

23 MR HUNTER: Obviously we're concerned that - I don't know  
24 what submissions might be made in an Indian court about the  
25 prospects of a fair trial in this country, but we're  
26 concerned that anything reported here --  
27

28 THE COMMISSIONER: I'm obliged to proceed so as not to  
29 impinge upon a fair trial in this country, so the Indians  
30 shouldn't be too worried about what I do, I hope.  
31

32 MR HUNTER: I'm not suggesting anyone's concerned about  
33 what you might do, Commissioner, I'm more concerned about  
34 an argument being made about prejudicial --  
35

36 THE COMMISSIONER: Yes, but that argument will be made no  
37 doubt, but it won't be because of anything - anyway, let's  
38 not go there because it's outside our control, it's in the  
39 future. Can we get down to detail then? The current order  
40 is that subject to limited exceptions nothing be published  
41 - references to QP numbers and names and contact details,  
42 and names of police operations, and details that may  
43 identify investigations, that is details that might  
44 identify the existence of an investigation by police that  
45 are found in documents are to be published. That seems to  
46 me to be very wide, but is that what you want until say  
47 Monday when you've got a clearer picture? I don't mean



1 you'll do your work by Monday, but your client will have  
2 had a chance to think about things and talk to you, and you  
3 can come back with something with some more detailed  
4 propositions.

5  
6 MR HUNTER: Thank you.

7  
8 THE COMMISSIONER: How does that sound, Mr Clarke? I know  
9 it's unsatisfactory, but on the other hand your client's  
10 had since September to complain about the order and hasn't.

11  
12 MR CLARKE: Yes, that's so. Monday, I'm instructed, is  
13 fine. In respect of that particular example, I'm  
14 instructed that there isn't anything in the material, other  
15 than as described by my learned friend. But in terms of  
16 the interim position, just with respect to that case, and I  
17 don't purport to be an expert on extradition law in India  
18 either --

19  
20 THE COMMISSIONER: Forget about that.

21  
22 MR CLARKE: The current broad terms prevent the  
23 identification, not just the reporting of evidence before  
24 the Commission with respect to any particular --

25  
26 THE COMMISSIONER: The current terms are - oh, I see, that  
27 are - names that are contained in documents must not be  
28 published.

29  
30 MR CLARKE: That's so.

31  
32 THE COMMISSIONER: No, I understand. It's because I did  
33 extempore.

34  
35 MR CLARKE: Yes.

36  
37 THE COMMISSIONER: All right. Well then, it has to be  
38 changed to the extent that you will - this governs  
39 everybody, of course, and it's been ignored. So there we  
40 are.

41  
42 MR CLARKE: Well, it's been adhered to, as I understand it,  
43 names haven't been identified - in my --

44  
45 THE COMMISSIONER: Well, I think the man who's been  
46 identified in India's been identified, I don't know if he's  
47 named in the documents or not. Anyway.

1  
2 MR CLARKE: That's so. That's so, but Commissioner, what  
3 this limits is the publication of evidence given before  
4 this Commission with respect to that, it doesn't purport to  
5 extend beyond its bounds, and purport to regulate a  
6 publication of things in relation to cases or evidence  
7 outside the Commission. So that report, for example, all  
8 it does, and all that's missing is the sentence at the end  
9 of the report which says "And reader, this is FYI one of  
10 the cases that's been referred to in the inquiry", and  
11 that's absent.

12  
13 THE COMMISSIONER: But that's not a case or detail in a  
14 document tendered during public hearings.

15  
16 MR CLARKE: My understanding of the current form of the  
17 existing non-publication Order 12 is that in its effect it  
18 prevents the identification of cases before the Commission,  
19 and so a publication which said on the basis of, using the  
20 example we're talking about, if a media report was written  
21 about that and there was a sentence in it that said, "And  
22 reader, FYI this is a case that's been referred to in the  
23 Commission Inquiry", then that would offend this  
24 non-publication order, is my understanding of the effect of  
25 it.

26  
27 THE COMMISSIONER: It's one way of reading it, and so you  
28 should be concerned about that, rightly. So what do you  
29 suggest I do?

30  
31 MR CLARKE: Outside of --

32  
33 THE COMMISSIONER: Just let me put this to you, all right?

34  
35 MR CLARKE: Yes.

36  
37 THE COMMISSIONER: I'll keep the current order in place  
38 until Monday afternoon, and subject to some kind of  
39 obviously rational exception in relation to this recent  
40 case that doesn't preclude your client feeling that there's  
41 any risk of contravening this order by writing whatever  
42 your client wants to write about the case, but not to  
43 reveal the contents of documents. How does that sound?

44  
45 MR CLARKE: As an interim measure, that's acceptable.

46  
47 THE COMMISSIONER: Interim measure, yes. So why don't you

1 draft something in relation to that last proposition and  
2 show Mr Hunter, and I'll wait while you do it, and I'll  
3 make the - I'll leave the order in place but on the  
4 understanding that at a time convenient to both of you we  
5 can reconvene in whatever courtroom I'm given to use, and  
6 we'll see where we stand. How's that?  
7

8 MR CLARKE: Thank you, Commissioner. Monday afternoon I  
9 think is suitable to us.  
10

11 THE COMMISSIONER: All right. I'll withdraw while you  
12 draft a third exception in paragraph 3 relating to that  
13 particular matter which ensures that the only thing you  
14 can't do is to reveal the contents of documents as contents  
15 of documents. Well, you understand what we're talking  
16 about, don't you?  
17

18 MR CLARKE: Yes.  
19

20 THE COMMISSIONER: All right. I'll adjourn until I hear  
21 from you.  
22

### 23 SHORT ADJOURNMENT

24

25 THE COMMISSIONER: I understand you don't need me to do  
26 anything this afternoon, is that right?  
27

28 MR CLARKE: Yes. Commissioner, I've taken further  
29 instructions and, yes, my clients just require some time to  
30 carefully review the evidence and that will take until  
31 Monday.  
32

33 THE COMMISSIONER: Good. Now, Mr Clarke, Mr Hunter, I  
34 thought that I should tell you that one - the proposition  
35 that I think is applicable is this. I've compelled police  
36 to reveal documents for the purposes of this Inquiry. The  
37 full content of a particular document, say a case file, is  
38 not material to my Inquiry, there are particular parts of  
39 it that are particular to my Inquiry, and those are the  
40 parts that I require and in relation to which a question  
41 will arise whether it's in the public interest to restrain  
42 publication about those matters.  
43

44 In relation to the rest of the document which is not  
45 relevant to my Inquiry, except that it is a case file and  
46 so one can't ignore that it's a case file that contains a  
47 matter, I don't think it's right to regard the fact that

1 those other parts of a document that have had to be  
2 disclosed as part of what I'm actually interested in fall  
3 within the same category as the information that I'm  
4 actually using. The information that I'm actually using  
5 prima facie ought to be available to the public as part of  
6 the nature of a Commission of Inquiry, but the information  
7 that I'm not using is not in the same category and  
8 consequently the power that I had to compel production of  
9 documents generally, including matters irrelevant to my  
10 Inquiry, can't be regarded as a de facto right to  
11 information process.

12  
13 So insofar as, for example, evidence was given reliant upon  
14 documents that particular DNA tests in a murder  
15 investigation failed and then a particular DNA test in  
16 relation to the same murder investigation succeeded, and  
17 that there was a particular murder investigation, are  
18 matters that are central to the task of the Inquiry and  
19 ought prima facie be capable of publication. But they will  
20 be information in relation to that particular case that  
21 just happened to have been disclosed because it's  
22 impossible not to disclose it to me and those things might  
23 be of great public interest generally, but not because of  
24 my Inquiry. So I don't know that the same considerations  
25 apply to them.

26  
27 I formed my final view about that, but that sounds right to  
28 me. So you might both bear that in mind in case it comes  
29 to a point where I have to make a decision.

30  
31 And, Mr Clarke, thank you for the cases. And they do  
32 reflect the general proposition about open justice, but I  
33 don't think that they, those principles referred to by  
34 Justice McHugh, for example, are directly applicable to me  
35 because I'm not a judge and I'm not administering justice.  
36 I'm a Commissioner of Inquiry, an agent of the executive  
37 conducting an investigation, so the extent to which I have  
38 to proceed in a particular way depends upon the statute and  
39 the common law relating to fairness and so on, that apply  
40 to investigations conducted by the executive which are  
41 different from - different but in some way analogous to  
42 courts of law, but they're different, so the concepts are  
43 different.

44  
45 So if it comes to argument you might take that into account  
46 and see whether there are principles that you find in the  
47 cases that pertain particularly to Commissions of Inquiry

1 as opposed to judges who are in a much more stringent  
2 requirement of openness.

3

4 Thank you. We'll adjourn then until - well, we'll adjourn,  
5 and if required we'll reconvene at a time that you fix.

6

7

**AT 5.49 PM THE COMMISSION ADJOURNED**